

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

UNITED STATES OF AMERICA
FEDERAL TRADE COMMISSION
OFFICE OF ADMINISTRATIVE LAW JUDGES

In the Matter of:)
IMPAX LABORATORIES, INC,)
a corporation,) Docket No. 9373
Respondent.)
-----)

November 7, 2017
9:49 a.m.

TRIAL VOLUME 9
PART 1, PUBLIC RECORD

BEFORE THE HONORABLE D. MICHAEL CHAPPELL
Chief Administrative Law Judge
Federal Trade Commission
600 Pennsylvania Avenue, N.W.
Washington, D.C.

Reported by: Josett F. Whalen, Court Reporter

1 APPEARANCES:

2

3 ON BEHALF OF THE FEDERAL TRADE COMMISSION:

4 CHARLES A. LOUGHLIN, ESQ.

5 JAMES H. WEINGARTEN, ESQ.

6 J. MAREN SCHMIDT, ESQ.

7 ERIC M. SPRAGUE, ESQ.

8 Federal Trade Commission

9 Bureau of Competition

10 Constitution Center

11 400 7th Street, S.W.

12 Washington, D.C. 20024

13 (202) 326-3759

14 cloughlin@ftc.gov

15

16

17

18

19

20

21

22

23

24

25

1 APPEARANCES: (continued)

2

3 ON BEHALF OF IMPAX LABORATORIES:

4 EDWARD D. HASSI, ESQ.

5 MICHAEL E. ANTALICS, ESQ.

6 BENJAMIN J. HENDRICKS, ESQ.

7 O'Melveny & Myers LLP

8 1625 Eye Street, N.W.

9 Washington, D.C. 20006-4061

10 (202) 383-5300

11 ehassi@omm.com

12 -and-

13 STEPHEN J. McINTYRE, ESQ.

14 O'Melveny & Myers LLP

15 400 South Hope Street

16 18th Floor

17 Los Angeles, California 90071-2899

18 (213) 430-6000

19 smcintyre@omm.com

20

21

22

23

24

25

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

FEDERAL TRADE COMMISSION

I N D E X

IN THE MATTER OF IMPAX LABORATORIES, INC.

TRIAL VOLUME 9

PART 1, PUBLIC RECORD

NOVEMBER 7, 2017

WITNESS:	DIRECT	CROSS	REDIRECT	RECROSS	VOIR
FIGG			2072		
MICHNA	2098	2161			
ADDANKI	2195				
EXHIBITS	FOR ID IN EVID IN CAMERA STRICKEN/REJECTED				
CX					
(none)					
RX					
Number545		2140			
JX					
(none)					

1 P R O C E E D I N G S

2 - - - - -

3 JUDGE CHAPPELL: Okay. We're back on the
4 record.

5 Just so I'm clear, do we anticipate to have
6 testimony through Thursday? Or it depends on how this
7 week goes?

8 MR. HASSI: I think we do subject to -- so
9 schedule-wise, when Mr. Figg is done, Dr. Michna is
10 here and ready to go, and Dr. Addanki will follow him.
11 And then we have Mr. Cobuzzi, who is available on
12 Wednesday.

13 I mean, if we were to finish with Michna and
14 Addanki today, Cobuzzi is not available until tomorrow,
15 and if Mr. Hoxie is available after that, I think it
16 will go straight through. How long it takes I think
17 depends on how long Mr. Hoxie has, how long the crosses
18 are.

19 JUDGE CHAPPELL: But your rebuttal expert is
20 available?

21 MR. LOUGHLIN: He is available, Your Honor.

22 JUDGE CHAPPELL: I'm just trying to figure this
23 out.

24 After this witness is finished, you have one
25 more or two more this week?

1 MR. HASSI: Two more experts and one fact,
2 Your Honor.

3 JUDGE CHAPPELL: This week?

4 MR. HASSI: Yes, sir.

5 JUDGE CHAPPELL: All right.

6 Okay. Go ahead. Redirect.

7 - - - - -

8 Whereupon --

9 EDWARD ANTHONY FIGG

10 a witness, called for examination, having been
11 previously duly sworn, was examined and testified as
12 follows:

13 - - - - -

14 REDIRECT EXAMINATION

15 BY MR. HENDRICKS:

16 Q. Good morning, Mr. Figg.

17 A. Good morning.

18 Q. Do you remember yesterday that Mr. Weingarten
19 showed you two cases in which the Federal Circuit
20 Court of Appeals reversed a claim construction ruling
21 but declined to remand the case?

22 A. Yes, I remember those cases.

23 Q. And do you remember testifying that you
24 strongly suspect that a record had been developed at
25 the trial court in which the federal court could decide

1 those issues?

2 A. Yes.

3 Q. I'd like to direct you to CX D-03, which is in
4 the white binder. This is a case captioned
5 Merck & Company v. Teva Pharmaceuticals.

6 A. 03?

7 Q. Yes, sir.

8 A. Yes, I have it.

9 Q. Do you remember Mr. Weingarten asking you
10 questions about this case yesterday?

11 A. He asked me some questions. Yes.

12 Q. Can you turn to page 12 of the opinion.

13 A. The Bates number 12 or the --

14 Q. I think they're identical.

15 A. Okay. Yeah, they are.

16 Yes. Okay.

17 Q. Was there a dissent to this opinion?

18 A. Was there a what?

19 Q. A dissent. A dissenting opinion filed?

20 A. Oh. Yes. Judge Rader dissented from the claim
21 construction ruling.

22 Q. And if you turn to page 14?

23 A. Yes.

24 Q. At the very bottom of the second column, did
25 Judge Rader write, "Accordingly, the district court did

1 not err in construing the disputed claim terms" and
2 then describe those terms?

3 A. I see that. Yes.

4 Q. Can you then turn to page 10 of the opinion --
5 or I'm sorry -- page 9, and it's footnote 10 of the
6 majority opinion.

7 A. Yes.

8 Q. Did the majority note, in footnote 10, "It
9 makes no difference to this conclusion whether the
10 court begins with the claim construction set forth by
11 the panel or the dissent"?

12 A. I see that.

13 Q. What was the claim construction set forth by
14 the dissent?

15 A. This case dealt with a patent to a class of
16 drugs called bisphosphonates for treating
17 osteoporosis. And the claims specified -- there were
18 two claims, one directed to a method of treating
19 osteoporosis and another to a method of preventing it.
20 For the treatment method the dose was about
21 75 milligrams and for preventing it -- or excuse me --
22 it was about 70 and for preventing it it was about 35.

23 So the dispute centered around the meaning of
24 the word "about," and the district court had construed
25 that to mean exactly 70 and exactly 35. The court of

1 appeals disagreed and reversed that claim construction
2 and said that "about" means approximately.

3 There was prior art that disclosed the same
4 weekly dosing but using doses of 80 and 40 I believe.
5 They were close to the doses.

6 And there was evidence in the record that the
7 inventors had conceded that those differences would
8 have made no difference in the effectiveness, so the
9 court concluded that it didn't even need to rely on the
10 claim construction, as you pointed out in claim 10, but
11 the court also noted that on the record, and
12 particularly the inventors' concession, they could
13 rule that the invention was obvious as a matter of
14 law.

15 So they had a record on which to base their
16 decision.

17 Q. And that comports with your testimony yesterday
18 that you strongly suspect that a record had been
19 developed at the trial court?

20 A. Yeah. My suspicion was borne out.

21 Q. I'd like to direct you next -- well, before I
22 move on, was there anything else in this case that you
23 would like to discuss that distinguishes this case?

24 A. Well, the distinguishing feature from -- I
25 mean, we're distinguishing it from what would the

1 appeal record have looked like had the Impax-Endo case
2 been decided by the trial court and then appealed to
3 the Federal Circuit.

4 And the point I was trying to make yesterday is
5 that even under the Impax claim construction, there was
6 a dispute between the parties' experts as to whether
7 the material in the Impax product had hydrophobic
8 properties or not. That was a factual dispute. Even
9 if that had made its way into the record, in my
10 opinion, the Federal Circuit would not have resolved
11 that factual dispute on appeal.

12 I also don't think it would have made it into
13 the record because there would have been no reason for
14 Impax to have of put those arguments into the record
15 based on a claim construction that the court had
16 already rejected.

17 And in this case, there was a record on which
18 the court could decide the issue.

19 Q. Thank you for that explanation.

20 Will you please next turn to CX D-004.

21 A. Yes.

22 Q. The next tab in the white binder.

23 A. Yes, I have it.

24 Q. And this case is captioned Saffran v.

25 Johnson & Johnson?

1 A. Yes.

2 Q. Do you remember Mr. Weingarten asking you
3 questions about this case during his
4 cross-examination?

5 A. I do.

6 Q. Can you turn to page 11 of this case, please.

7 A. I'm there.

8 Q. Under the heading Infringement?

9 A. Right.

10 Q. Is this where the court is applying facts to
11 its new claim construction?

12 A. It -- it is -- I would state it the other way
13 around. The court is applying its claim construction
14 to the facts that were in the record.

15 Q. Thank you for that clarification.

16 And if you turn -- or if you look under the
17 heading Device?

18 A. Yes.

19 Q. About halfway down, did the court write, in
20 applying its claim or -- or applying the facts to its
21 claim construction that "But that layer is akin to
22 paint on a chain link fence, not a continuous sheet
23 wrapped around the mesh, and open holes remain between
24 the struts of the accused devices -- as Saffran has
25 acknowledged"?

1 A. Yes, I see that language.

2 Q. Does Saffran's acknowledgment of this fact mean
3 there was a fact -- there was no factual dispute in
4 relation to this fact?

5 A. Yes. There almost could not have been a
6 factual dispute here.

7 The device that was at issue in this case was a
8 stent that is put into a blood vessel to -- to treat a
9 lesion like a plaque in a blood vessel.

10 The patent here had to do with a -- the
11 discovery of a membrane that had certain permeation
12 characteristics that could be used to surround a stent
13 and prevent molecules that are involved in the repair
14 of the lesion escaping back into the blood, so this
15 sheet or this sheath would act as a barrier to that.

16 The accused device, the stent, the Cordis --
17 the Johnson & Johnson stent, was actually more like a
18 tube made of a wire mesh. It had openings or holes in
19 it and sort of like a -- if you would think of a
20 miniature piece of chicken wire wrapped into a tube.

21 Johnson & Johnson coated their stent with a --
22 those wires in the stent with a coating that served to
23 deliver a therapeutic agent but did not require -- did
24 not involve at all a sheet or a sheath.

25 The district court construed the claim as

1 encompassing the coating on the Johnson & Johnson
2 stent, the wires of the stent. The Federal Circuit
3 disagreed with that and said, based on everything we
4 see in the record, the claim -- the word "device" in
5 the claim requires a continuous sheet, not coatings on
6 these wires and, as they characterized it here, not
7 like paint on a chain link fence.

8 So once the Federal Circuit construed the claim
9 as they did, there really could not be any dispute that
10 the Johnson & Johnson device did not have a continuous
11 sheath or sheet.

12 Q. And the Federal Circuit noted that Saffran
13 acknowledged that very fact?

14 A. Yes. And they -- the Federal Circuit pointed
15 out that Saffran acknowledged that. They hardly could
16 avoid acknowledging it. The structure of the device
17 was front and center in the record that was created in
18 the district court.

19 Q. Let's move to the header for the construction
20 of "release means."

21 A. Yes. I'm sorry -- yeah. I see it. On page 9.

22 Q. You're there?

23 A. Uh-huh.

24 Q. It's on page 11. It's right below the device
25 language we were discussing.

1 A. Oh, okay.

2 Q. Here, did the court write, "In addition, our
3 construction of the 'release means' limitation provides
4 a separate and independent basis for a judgment of
5 noninfringement"?

6 A. Yes.

7 Q. And if you turn the page, but in the same
8 paragraph, did the circuit court write, "Saffran has
9 not argued otherwise. Moreover, Saffran stipulated
10 before trial that he would not pursue any infringement
11 arguments representing that so-called 'hydrophobic'
12 interactions are equivalent to hydrolyzable bonds, and
13 he is therefore precluded from doing so now"?

14 A. Yes, the court did say that.

15 Q. Does the fact that Saffran stipulated that it
16 would not make any infringement arguments under this
17 claim construction comport with your testimony
18 yesterday that you strongly suspected there was a
19 record that had been developed at the trial court that
20 allowed the Federal Circuit to decide the issue?

21 A. It's entirely consistent with my suspicion.

22 Q. Is there anything else about this case that
23 you'd like to tell the court?

24 A. I can explain that last point a little -- in a
25 little more detail if you would like.

1 The -- the patented device, the sheet, had
2 therapeutic agents attached to it through bonds that
3 could be hydrolyzed or broken by the action of water.
4 And that would serve to release these therapeutic
5 agents gradually to the area of the body that needed
6 them.

7 The district court construed that term very
8 broadly such that it would encompass things other than
9 agents that were attached by hydrolyzable bonds. The
10 Federal Circuit disagreed with that and said everything
11 in the record indicates that that term means that the
12 bonds have to be hydrolyzable by water.

13 There was no dispute, as you see in the
14 language you read. There was no dispute that the
15 Johnson & Johnson system did not involve hydrolyzable
16 bonds. Instead, in that system, the therapeutic agent
17 was embedded in the coating, and it was slowly
18 released by diffusion rather than by hydrolysis of
19 those bonds.

20 So there was a clear record. Once the
21 Federal Circuit reached its claim construction, there
22 was really no dispute between the parties as to the
23 applicability of that claim construction to the facts
24 of the case.

25 Q. Mr. Figg, does anything in the two cases we

1 just discussed change your opinion about the
2 likelihood of a remand in the Impax-Endo Hatch-Waxman
3 litigation?

4 A. They do not. I think that the trial court
5 records on which the Federal Circuit based its decision
6 in these cases just simply would not have existed, and
7 there would have been a factual dispute among the
8 parties that the Federal Circuit would not have
9 resolved on appeal.

10 Q. In light of these cases that we just discussed,
11 what is your opinion about the likelihood of a remand
12 at the Federal Circuit court level in the Impax-Endo
13 Hatch-Waxman litigation?

14 A. Yeah. As I testified yesterday, I think it
15 was highly likely, almost a certainty, that if there
16 was a reversal of the court's claim construction and
17 an adoption of the Impax claim construction, the -- the
18 Federal Circuit would have remanded for further trial
19 on the issues of infringement and validity.

20 Q. I'd like to shift gears.

21 Yesterday, Mr. Weingarten asked you a number of
22 questions -- let me rephrase that.

23 Do you remember if Mr. Weingarten asked you
24 questions regarding a litigation between Impax and Endo
25 in which Endo asserted breach of contract and patent

1 infringement claims against Impax?

2 A. I remember some questions about that, yes.

3 Q. Did Mr. Weingarten ask you whether you had seen
4 the complaint in that case?

5 A. I don't recall being asked about the
6 complaints.

7 Q. I would like to offer Complaint Counsel
8 Exhibit 3437, and this is the amended complaint to that
9 lawsuit.

10 Your Honor, may I approach the witness and give
11 him this, this document?

12 JUDGE CHAPPELL: You said you want to offer it?

13 MR. HENDRICKS: I want to present it to the
14 witness.

15 JUDGE CHAPPELL: Oh. Go ahead.

16 MR. HENDRICKS: Just for the record, it's in
17 evidence. It's on JX 02.

18 JUDGE CHAPPELL: All right.

19 MR. WEINGARTEN: Your Honor, before
20 Mr. Hendricks gets started, may I have a continuing
21 objection that this complaint and therefore none of the
22 testimony about the complaint relates to any opinions
23 expressed in the report.

24 JUDGE CHAPPELL: Is that the document you asked
25 the witness about on cross?

1 MR. WEINGARTEN: I think I asked if he had
2 ever seen it before his report, the answer to which
3 was no.

4 MR. HENDRICKS: Your Honor, Mr. Weingarten
5 asked yes- -- he asked two questions related to this
6 document yesterday I believe.

7 JUDGE CHAPPELL: All right. Your objection is
8 noted.

9 MR. WEINGARTEN: Thank you, Your Honor.

10 JUDGE CHAPPELL: Go ahead.

11 BY MR. HENDRICKS:

12 Q. Can you identify this document, Mr. Figg?

13 A. Yes. This appears to be the original complaint
14 in the contract dispute between Endo and Impax filed in
15 August of 2016.

16 Q. Can you look at the document number at the top
17 of the page where -- can you tell me what document
18 number this was on the court's docket?

19 A. It says "Document 13."

20 Q. Would that indicate that it was the amended
21 complaint?

22 A. Oh. It probably was if there had been twelve
23 earlier documents filed.

24 Q. So do you recognize this to be the amended
25 complaint?

1 A. Let me just take a quick look at it.

2 MR. WEINGARTEN: Your Honor, I rise to object
3 on the grounds of foundation. I think Mr. Figg just
4 testified he's never seen it before and has no basis to
5 know whether it's the amended complaint or not.

6 JUDGE CHAPPELL: Based on the objection, you
7 need to lay a foundation.

8 MR. HENDRICKS: I will, Your Honor.

9 THE WITNESS: Yes. I believe this to be
10 the --

11 JUDGE CHAPPELL: There's nothing pending.

12 THE WITNESS: I'm sorry, Your Honor.

13 JUDGE CHAPPELL: No question is pending.

14 THE WITNESS: I'm sorry, Your Honor?

15 JUDGE CHAPPELL: No question is pending.

16 THE WITNESS: Oh, I thought there was. Sorry.

17 BY MR. HENDRICKS:

18 Q. After receiving Mr. Hoxie's rebuttal report,
19 did you review this document?

20 A. I did.

21 Q. Can you identify this document?

22 A. Yes. I can tell from the -- some of the
23 content of it that it was the amended complaint.

24 Q. And do the claims brought by Endo in this
25 complaint affect the opinions that you offered in your

1 report about the scope of the patent license in the
2 settlement and license agreement?

3 A. No, they do not.

4 MR. WEINGARTEN: Your Honor, I object. I asked
5 him had he ever seen it before. He said he had not.
6 And now they're attempting to introduce new opinions
7 not expressed in the report.

8 JUDGE CHAPPELL: Well, to the extent you asked
9 him about it, depending on what the record shows, to
10 the extent you asked him about it on cross and whether
11 it had anything to do with his opinion, in fairness,
12 he gets to say on redirect whether it affected his
13 opinion or not and why. If that's in the record, it's
14 allowed; if not, it won't be considered.

15 MR. WEINGARTEN: Thank you, Your Honor.

16 JUDGE CHAPPELL: I don't consider it a new
17 opinion for a witness to explain something he may have
18 said on cross based on your questions.

19 MR. WEINGARTEN: Thank you, Your Honor.

20 BY MR. HENDRICKS:

21 Q. Mr. Figg, if you turn to page 25 of this
22 document, under the heading Prayer for Relief --

23 A. So we're looking at --

24 Q. I apologize.

25 A. Yes, I'm on 25, Prayer for Relief. Thank you.

1 Q. It's a lengthy document because there are some
2 attached exhibits to the complaint, but we won't be
3 looking at those.

4 Is this where a plaintiff, in your opinion,
5 would typically list the remedies that they're seeking
6 in a complaint?

7 A. Yes. I -- that's what I understand "prayer for
8 relief" to mean.

9 Q. What remedies did Endo seek from Impax in this
10 lawsuit?

11 A. They asked -- there were several here.

12 They asked for a declaratory judgment that
13 Impax had materially breached the agreement.

14 They asked for a declaratory judgment that
15 Impax had breached the implied covenant of good faith
16 and fair dealing.

17 They asked for a declaratory judgment that
18 Impax had infringed the so-called new patents.

19 They asked for damages arising out of the
20 alleged breach.

21 They asked for compensatory damages and such
22 other relief as is appropriate for Impax' infringement
23 of the new patents.

24 They asked for a declaration that the case was
25 exceptional under section 285 of the patent statute.

1 They asked for an order that Impax'
2 infringement of the '122 and '216 patents was willful
3 and asked for an award of treble damages or enhanced
4 damages.

5 They asked for an award of attorney fees.

6 They asked that they be awarded costs and
7 expenses and such other and further legal and
8 equitable relief as the court may deem just and
9 proper.

10 Q. Did Endo ask for an injunction of Impax'
11 marketing of oxymorphone ER?

12 A. They did not.

13 Q. Does Complaint Counsel Exhibit 3437, the
14 amended complaint, contain any admissions made by Endo
15 regarding the scope of the license in the settlement
16 and license agreement?

17 MR. WEINGARTEN: Your Honor, I object. This is
18 far outside the scope of what I brought up on
19 cross-examination.

20 If they intended to try to do this on direct,
21 that would be one thing, but they're now attempting a
22 brand-new direct exam on the contents of this
23 complaint when I asked, as Mr. Hendricks said, two
24 questions.

25 MR. HENDRICKS: And those two questions,

1 Your Honor, opened the door -- the two questions were
2 specifically aimed at this very document, and they
3 opened the door to questions on redirect about this
4 very document.

5 And I will limit my questioning to questions
6 about the document to which Mr. Weingarten referred the
7 witness during his cross-examination.

8 MR. WEINGARTEN: Your Honor, the transcript
9 does not reflect that I referred to document CX 3437.
10 It's not even in the binder that we provided to the
11 witness.

12 MR. HENDRICKS: Because we have the rough
13 transcript from yesterday, on page 236 -- and this is
14 the rough, the unofficial transcript -- Mr. Weingarten
15 asked: Sir, did you not address or discuss any
16 subsequent -- I -- yes -- any subsequent litigation
17 between Endo and Impax regarding the license in their
18 June 2010 settlement; correct?

19 Mr. Weingarten continued to ask: In fact, you
20 first saw the complaint -- and this is the document
21 we're discussing today -- that Endo filed against Impax
22 after you served your expert report; correct?

23 Mr. Weingarten also asked: And you didn't do
24 any review of the pleadings -- this is a pleading in
25 that case -- that had to do with the subsequent

1 litigation until you saw Mr. Hoxie's rebuttal;
2 correct?

3 MR. WEINGARTEN: Your Honor, if I may, my
4 question was: You didn't look at this until after you
5 had submitted your report.

6 If he wanted to ask he did or contradict that,
7 that's one thing, but he can't use that as a venue to
8 then get into a whole new direct exam about the
9 substance of this document.

10 JUDGE CHAPPELL: The document is in evidence.
11 I can read it myself. Unless you lay a better
12 foundation, the objection is sustained.

13 MR. WEINGARTEN: Thank you, Your Honor.

14 JUDGE CHAPPELL: And I mean a foundation
15 connecting it to the cross.

16 BY MR. HENDRICKS:

17 Q. Mr. Figg, during the cross-examination
18 yesterday, did Mr. Weingarten ask whether you're aware
19 of the fact that there was a later lawsuit?

20 A. Yes. I recall that he did ask that.

21 Q. Do you remember answering "yes, I am aware
22 there was subsequent litigation"?

23 A. I believe that's what I said. Yes.

24 Q. And even before you filed your expert report in
25 September, were you aware of this subsequent

1 litigation?

2 A. I had been informed just in a very passing way
3 that there was subsequent contract litigation between
4 Endo and Impax.

5 Q. And in his rebuttal report, did Mr. Hoxie
6 discuss that contract litigation?

7 A. Yes. He discussed it quite extensively.

8 Q. And is this the complaint -- or sorry. Strike
9 that.

10 Did Mr. Weingarten yesterday ask you about your
11 review of the complaint and pleadings in that
12 subsequent litigation yesterday?

13 A. Yes.

14 I think that the gist of Mr. Weingarten's
15 questions was he was challenging the opinion that I
16 had offered in my report that Impax was able to
17 negotiate a license that ensured that Impax would not
18 be sued for infringement of patents that would issue to
19 Endo later. And he -- he referred to the subsequent
20 litigation as in a way contradicting or impeaching that
21 opinion that I had provided.

22 Q. And in your response to Mr. Weingarten's
23 questions, would you like to clarify your position
24 about the complaint and the pleadings that he asked you
25 about?

1 MR. WEINGARTEN: Your Honor, I object. I
2 don't understand. There's been no foundation laid.
3 He just asked him -- general questions of the witness
4 now about what I may or may not have asked on cross.

5 JUDGE CHAPPELL: The pending question will be
6 allowed. Overruled.

7 THE WITNESS: Can you repeat the question,
8 please.

9 JUDGE CHAPPELL: Let her read it. I don't want
10 to hear another objection.

11 (The record was read as follows:)

12 "QUESTION: And in your response to
13 Mr. Weingarten's questions, would you like to clarify
14 your position about the complaint and the pleadings
15 that he asked you about?"

16 THE WITNESS: Well, as I had explained to
17 Mr. Weingarten, that particular sentence in my report
18 was perhaps poorly worded because it's impossible for
19 someone to ensure that they won't be sued
20 subsequently. It's very easy for someone to file a
21 lawsuit. But it didn't change my view that Impax was
22 able to negotiate a license that provided Impax with
23 rights and freedom to operate under patents that would
24 issue to Endo after the settlement and license
25 agreement.

1 And so after seeing Mr. Hoxie's report, I -- I
2 was curious, you know, was I wrong about that, and so I
3 did go back and look at these pleadings, this
4 complaint, and other documents that were referred to by
5 Mr. Hoxie and they're relevant to this issue.

6 And as I read the complaint, as I read it, I
7 noted, for example, in paragraph 26 of this complaint,
8 Endo acknowledged that the settlement and license
9 agreement had granted to Impax a license and -- and
10 then later in this complaint they also acknowledged
11 that there was a license to subsequent patents.

12 The -- as you had elicited from me a few
13 minutes ago, notably, while this complaint has a claim
14 for patent infringement based on Endo's argument that
15 Impax had breached the agreement by not engaging in
16 good-faith negotiations, they never disputed that there
17 was a license and they never asked for an injunction to
18 take Impax' product off the market.

19 The way I viewed all of this and the way it
20 played out was, this was simply an effort by Endo to
21 get additional money in the form of royalty payments
22 from Impax. And the fact that, as we noted yesterday,
23 that when Endo brought suits on the later patents
24 against a number of other generic companies based on
25 the original Opana ER generic product, they did not sue

1 Impax, and the only rational reason that they would not
2 have sued Impax was they recognized that Impax was
3 licensed under those patents, and I think that is
4 acknowledged in these pleadings as well.

5 JUDGE CHAPPELL: I want to follow up on my
6 rulings I've made in this regard now that I've heard
7 this information and pondered it.

8 I find it unacceptably unfair that an expert
9 cannot tell us about his position when his opinion has
10 been attacked by a rebuttal expert. This response will
11 be considered.

12 Go ahead.

13 MR. HENDRICKS: Thank you, Your Honor.

14 BY MR. HENDRICKS:

15 Q. Mr. Figg, I'd like you to turn to
16 paragraph 32 of the amended complaint.

17 A. Okay. I'm there.

18 Q. You mentioned in your testimony that Endo made
19 admissions that the settlement and license agreement
20 indeed licensed Impax for future patents.

21 Is there language in paragraph 32 that -- or is
22 the language in paragraph 32 what you were referring to
23 when you made that testimony?

24 A. Yes.

25 MR. WEINGARTEN: I'm sorry, Your Honor. I'm

1 going to object on the grounds that after giving a very
2 long, narrative response, Mr. Hendricks is now
3 attempting to lead the witness to particular parts of
4 the complaint which, again, are outside the scope of
5 what I asked about on cross.

6 JUDGE CHAPPELL: It's leading. That's
7 sustained.

8 MR. WEINGARTEN: Thank you, Your Honor.

9 BY MR. HENDRICKS:

10 Q. In paragraph 32 of the amended complaint, did
11 Impax admit that "the parties entered into a compromise
12 pursuant to which Impax and Endo agreed that Impax
13 would have a license to any patents issuing from the
14 pending patent applications and other patents Endo
15 might acquire"?

16 MR. WEINGARTEN: I apologize, Your Honor. It's
17 still leading if he just says "did he" and reads a
18 quote to him from the complaint.

19 JUDGE CHAPPELL: Sustained.

20 BY MR. HENDRICKS:

21 Q. Mr. Figg, in your expert opinion as a patent --
22 as an attorney with 40 years of experience, can you
23 provide -- can you tell us what your opinion is -- let
24 me -- let me start that question over. I apologize.

25 In your experience -- using your experience as

1 an attorney with 40 years of experience, can you please
2 tell us what paragraph 32 says.

3 A. Yes. This is the paragraph to which I was
4 referring in my answer a few moments ago.

5 And in this paragraph Endo acknowledges that
6 the settlement and license agreement provided Impax
7 with a license to any patents issuing on pending
8 applications, meaning -- and I'm paraphrasing here --
9 meaning patent applications that were pending at the
10 time of the settlement and license agreement, and other
11 patents that Endo might acquire, so that would include
12 patents like the Johnson Matthey patent and the
13 Mallinckrodt patent that we talked about yesterday that
14 Endo later acquired. And they are acknowledging here
15 that the settlement and license agreement provided
16 Impax with rights under those patents.

17 MR. HENDRICKS: Your Honor, may I confer with
18 counsel briefly? I think I can wrap up quickly.

19 JUDGE CHAPPELL: Go ahead.

20 (Pause in the proceedings.)

21 MR. HENDRICKS: That's all I have, Your Honor.

22 JUDGE CHAPPELL: Recross?

23 MR. WEINGARTEN: No, Your Honor, nothing
24 further. Thank you.

25 JUDGE CHAPPELL: Thank you. You may stand

1 down.

2 THE WITNESS: Thank you, Your Honor.

3 JUDGE CHAPPELL: Call your next witness.

4 MR. HASSI: Your Honor, respondents call
5 Dr. Michna.

6 We'll send someone to get him.

7 MR. LOUGHLIN: Your Honor, could I just note
8 for the record that my colleague Maren Schmidt will
9 handle the witness for complaint counsel.

10 JUDGE CHAPPELL: Thank you.

11 MS. SCHMIDT: Good morning, Your Honor.

12 - - - - -

13 Whereupon --

14 EDWARD MICHNA

15 a witness, called for examination, having been first
16 duly sworn, was examined and testified as follows:

17 MR. ANTALICS: Good morning, Your Honor.

18 JUDGE CHAPPELL: Good morning.

19 I just want to say something to the attorneys.

20 What just happened with this previous expert
21 was semantics. An expert whose opinion has been
22 attacked by rebuttal can give me a response to that
23 attack or that contrary opinion.

24 Where we got in trouble there was the
25 examining attorney used common language and said do

1 you have an opinion about that, which invokes an
2 objection for a new opinion.

3 It's not a new opinion that that expert is
4 giving. It's a response to someone else's opinion
5 about his original opinion. Using the word "opinion"
6 is not some magic word that's going to mean that a
7 response is not admissible.

8 Any questions?

9 MR. LOUGHLIN: No, Your Honor.

10 MR. HASSI: No, Your Honor. Thank you.

11 JUDGE CHAPPELL: Go ahead.

12 MR. ANTALICS: Thank you, Your Honor.

13 JUDGE CHAPPELL: I bring that up because we're
14 going to have more experts and I don't have to plow
15 that ground again.

16 Go ahead.

17 MR. ANTALICS: May we approach the witness,
18 Your Honor?

19 JUDGE CHAPPELL: Yes.

20 Go ahead.

21 MR. ANTALICS: Thank you, Your Honor.

22 - - - - -

23 DIRECT EXAMINATION

24 BY MR. ANTALICS:

25 Q. Dr. Michna, could you please state your full

1 name for the record.

2 A. Edward Michna.

3 Q. And Dr. Michna, without getting into all the
4 details of your testimony, could you tell us generally
5 what you're hear to talk about today.

6 A. I'm here to talk about the clinical use of
7 extended-release opioids and the various options.

8 Q. I'd like to talk a little bit about your
9 background.

10 Are you presently employed?

11 A. I am.

12 Q. And by whom?

13 A. Brigham & Women's Hospital in Boston,
14 Massachusetts.

15 Q. And what is your position at Brigham & Women's?

16 A. I'm a staff anesthesiologist practicing pain
17 management.

18 Q. We'll talk a little bit more about that in a
19 minute.

20 Do you have an undergraduate degree?

21 A. I -- well, I started off as -- as a pharmacist,
22 and then after pharmacy school at Rutgers I went to law
23 school and obtained a J.D., and then I went to medical
24 school and got an M.D.

25 Q. Okay. How long did you practice as a

1 pharmacist?

2 A. I practiced between the time I graduated and
3 the third year of medical school, so approximately
4 seven or eight years.

5 JUDGE CHAPPELL: So let me get this right.

6 You have a pharmacy certificate or degree.

7 THE WITNESS: I'm a -- I have a bachelor's in
8 pharmacy and I'm a registered pharmacist.

9 JUDGE CHAPPELL: And you have a J.D.

10 THE WITNESS: I have a J.D.

11 JUDGE CHAPPELL: And an M.D.

12 THE WITNESS: And an M.D.

13 JUDGE CHAPPELL: What's next?

14 THE WITNESS: That's what my mother asks.

15 BY MR. ANTALICS:

16 Q. Okay. Doctor, after medical school --

17 JUDGE CHAPPELL: You must enjoy the
18 university.

19 THE WITNESS: I like learning. Yes.

20 BY MR. ANTALICS:

21 Q. Doctor, after medical school, what did you do?

22 A. After medical school, I did an internal
23 medicine internship at Monmouth Medical Center in
24 New Jersey --

25 Q. Okay.

1 A. -- followed by a residency in anesthesia at
2 Brigham & Women's Hospital, followed by a pain
3 management fellowship at also Brigham & Women's
4 Hospital in Boston.

5 Q. Okay. Do you have a specialty?

6 A. My primary specialty is anesthesia. My
7 subspecialty is pain management and also in palliative
8 care medicine.

9 Q. Could you describe what palliative care
10 medicine refers to.

11 A. It's basically caring in terms of pain and
12 symptoms for patients that are dying or suffering an
13 end-of-life disease.

14 Q. Okay. After your fellowship at
15 Brigham & Women's, what did you do next?

16 A. I was hired to be on staff at Brigham & Women's
17 Hospital. I practiced anesthesia as well as pain
18 management.

19 Q. And since when have you -- you're still
20 currently at Brigham & Women's?

21 A. I'm currently at Brigham & Women's, yes.

22 Q. And that's since approximately what date? Do
23 you recall?

24 A. July of 1996.

25 Q. Okay. Do you hold any titles or leadership

1 positions at Brigham & Women's?

2 A. I am a director of the Pain Trials Center at
3 Brigham & Women's. It's a research arm where we do
4 investigator-initiated research, clinical research, and
5 we are also involved in doing FDA approval trials of
6 the Phase II and Phase III kind.

7 Q. Have any of those involved opioids?

8 A. Several.

9 Q. Okay. Do you also treat patients at
10 Brigham & Women's?

11 A. Yes. I am clinically treating patients four to
12 five days a week.

13 Q. And how many patients do you typically see in a
14 given day?

15 A. On average it's about thirty patients. It may
16 be more, may be less.

17 Q. Do you do any teaching?

18 A. I do.

19 We are -- we have one of the largest pain
20 fellowship programs in the country. We have ten pain
21 fellows.

22 We also have all the anesthesia residents.
23 They have -- they're required to rotate through the
24 pain field.

25 We also have other residents from other

1 programs across the country that rotate with us.

2 And we also have medical students both from
3 Harvard and from other medical schools.

4 Q. Have you done any writing in your field?

5 A. I have. I have approximately fifty
6 peer-reviewed articles.

7 Q. Are you a member of any societies or committees
8 that are related to pain management?

9 A. I am.

10 I'm currently on the board of the
11 American Pain Society. I also serve on their public
12 policy committee.

13 And I am also chairman of the Pain Care
14 Coalition, which is an advocacy effort at the federal
15 level involving the three major pain societies.

16 I also am on various committees for the
17 anesthesia society and also the American Association of
18 Pain Medicine.

19 Q. Okay. Do you do any consulting work for the
20 government or pharmaceutical companies?

21 A. I've done both.

22 I've been a consultant for multiple
23 pharmaceutical companies in terms of clinical trials
24 and trial development and as an expert in pain
25 management.

1 I've also served on the FDA advisory committee
2 for anesthesia and analgesia. I've also been an
3 invited speaker at these meetings, at the advisory
4 panel meetings for various medications.

5 MR. ANTALICS: Your Honor, at this time I'd
6 like to tender Dr. Michna as an expert in the fields of
7 pain management and opioid therapy for the treatment of
8 pain, by reason of his education, training and
9 professional experience.

10 JUDGE CHAPPELL: Any objection?

11 MS. SCHMIDT: No, Your Honor.

12 JUDGE CHAPPELL: Any opinions that meet the
13 proper legal standards will be considered.

14 MR. ANTALICS: Thank you, Your Honor.

15 BY MR. ANTALICS:

16 Q. Dr. Michna, what is an opioid?

17 A. An opioid is a medication that is derived from
18 opium that's used to treat pain.

19 Q. And could you tell us a little more
20 specifically how opioids actually treat the pain.

21 A. Opioids work at what is called the mu receptor,
22 and by acting at that receptor site it modulates one's
23 perception of pain.

24 Q. Are there different formulations of opioids?

25 A. There are.

1 Q. Could you describe the different --

2 A. There's three general classes. There is an
3 ultra fast --

4 JUDGE CHAPPELL: Hold on a second. You need to
5 wait for him to finish.

6 THE WITNESS: Okay. I'm sorry.

7 JUDGE CHAPPELL: He was in the middle of a
8 question.

9 BY MR. ANTALICS:

10 Q. Could you first just describe the different
11 types, and then we'll get into the characteristics of
12 each.

13 A. There is three types. One is the
14 ultra-fast-acting. One is called immediate release.
15 And the other is extended release.

16 Q. Okay. With respect to the ultra fast, could
17 you describe what that is.

18 A. It's typically a medication that is absorbed
19 through the mouth. It has onset for initial pain
20 relief in about 15 minutes. It's for pain that comes
21 on very suddenly and may dissipate within an hour.
22 It's typically utilized for cancer pain treatment.

23 Q. Okay. Thank you.

24 What is an immediate-release opioid, the second
25 type that you mentioned?

1 A. An immediate-release opioid is a short-acting
2 opioid that has -- that's taken orally, that has an
3 onset time between 30 and 45 minutes and may last from
4 three to six hours. It's used for acute pain and for
5 chronic pain.

6 Q. Okay. Can you give us an example of a product
7 that we might recognize as an immediate-release
8 opioid.

9 A. Well, the most common one that we utilize is
10 oxycodone. Most people know it by Percocet, which is a
11 combination of oxycodone and Tylenol.

12 Q. Okay. Now, could you describe the third class,
13 the extended-release opioid. What is that?

14 A. So an extended-release opioid provides
15 continuous blood level of a particular drug over
16 several hours. Usually the products that are
17 available are over an eight-hour period to
18 twenty-four hours. There's some patch formulations
19 that last from three days up until seven days.

20 Q. Doctor, are you familiar with the term
21 "FDA indication"?

22 A. Yes.

23 Q. Okay. What is an FDA indication?

24 A. It's the indication that the FDA has approved
25 the medication to be used for clinically.

1 Q. Are there different indications for any of the
2 extended-release opioids?

3 A. No.

4 Q. So are the indications the same for all of the
5 extended-release opioids?

6 A. They are.

7 Q. Okay. Has the indication for extended-release
8 opioids changed over time?

9 A. They have.

10 Q. Has -- have the indications changed for any
11 particular opioids, extended-release opioids, over
12 time?

13 A. When the indication has changed, it has been
14 for the entire class of extended-release opioids.

15 Q. Okay. Doctor, is there any scientific
16 evidence that one opioid is more effective generally
17 than any other in treating any particular group of
18 patients?

19 A. No. There have been no clinical trials or
20 studies to show that.

21 Q. Okay. Is there any scientific evidence that
22 one opioid is more effective than another in treating
23 pain from any disease or injury?

24 A. No. There haven't been any documented studies
25 showing that.

1 JUDGE CHAPPELL: Is there such a thing as
2 medical evidence?

3 THE WITNESS: You mean clinical?

4 Our -- the way we use these medicines are all
5 the same and for the same indication, and there's no
6 difference clinically in our use of these medications.

7 JUDGE CHAPPELL: I'm just trying to figure out
8 for the record what you mean when you say "no
9 scientific evidence."

10 THE WITNESS: Well, I mean that there's --
11 there's no evidence of either scientific or clinical
12 that one is better than another.

13 BY MR. ANTALICS:

14 Q. Have all of the extended-release opioids been
15 proven to work?

16 A. Yes.

17 Q. Doctor, do all people react the same way to
18 medications, in your experience?

19 A. No.

20 Q. Do all patients react the same way to opioids?

21 A. No.

22 Q. Okay. So is it possible that an individual
23 patient may tolerate one opioid better than another?

24 A. Yes.

25 Q. Okay. Could you explain why that is.

1 A. Well, we're all different physiologically in
2 the way we tolerate medications. Some people have very
3 high tolerance. Some people have side effects.
4 There's a lot of variability.

5 Q. Okay. You referred to side effects.

6 Can you give us an example of a side effect
7 from an opioid.

8 A. Well, one of the most common side effects from
9 an opioid is constipation.

10 Q. And what do you do if a patient had the side
11 effect of constipation from an opioid?

12 A. Well, we typically have patients take a
13 laxative prior to starting, in anticipation that it
14 might be an issue. Should they then further
15 experience it, we would try additional perhaps
16 prescription laxatives. There are now actually
17 medications that actually directly oppose the effects
18 of opioids on the GI tract to reverse the
19 constipation.

20 Q. Do you monitor the patients when you give them
21 opioids?

22 A. Yes.

23 Q. Okay. Could you describe how you monitor the
24 patients.

25 A. Well, we -- whenever we start any medicine, we

1 educate the patient about potential side effects and
2 effectiveness and what to look for.

3 And after we start a medicine, of course we
4 always tell the patients, please, if you have any
5 problems, to call us, we're always available.

6 And then we'll eventually follow up with the
7 patient to evaluate the efficacy and any potential side
8 effects.

9 Q. Based on your experience, could most people use
10 most extended-release opioids effectively?

11 A. Yes.

12 Q. Are you familiar with the term "REMS program"?

13 A. Yes.

14 Q. Could you describe what "REMS program" refers
15 to.

16 A. REMS is Risk Evaluation and Mitigation
17 Strategies. It was part of FDA legislation. The
18 purpose of it was to assure the benefits of a
19 particular medication outweigh the risks, so it allows
20 the FDA, when they identify there might be a potential
21 problem, to institute actions that try to assure that
22 that balance is maintained in the benefits over the
23 risk.

24 Q. Is there a REMS program for extended-release
25 opioids?

1 A. There is.

2 Q. Have you been involved in that?

3 A. I have been.

4 When the first discussions came up, I was -- we
5 were invited as part of the pain societies, and I
6 represented the American Pain Society at many of the
7 meetings.

8 I also served on one of the FDA advisory
9 committees that addressed this issue.

10 Q. Which of the extended-release opioids are
11 partici- -- had participated in that REMS program?

12 A. The REMS for extended-release opioids is a
13 class-wide REMS, so all the medications that are
14 designated extended-release opioids.

15 Q. You mentioned all of them are in, but I think
16 you also mentioned earlier that some people could react
17 differently to certain opioids?

18 A. That's correct. But the risk -- the
19 risk-benefit of opioids is across the class. There are
20 some differences in dosing and potential drug
21 interactions, but the -- the risk-benefit is a
22 class-wide problem.

23 Q. Are you familiar with the term
24 "comorbid condition"?

25 A. Yes.

1 Q. And what is meant by "comorbid condition"?

2 A. Well, it's different medical problems that a
3 patient may suffer from, from heart disease, liver
4 disease, kidney disease, or some other medical
5 ailment.

6 Q. Are you aware of any comorbid condition for
7 which the patient would not have multiple options among
8 the extended-release opioids?

9 A. No.

10 Q. Doctor, how do patients end up coming to see
11 you in the first instance?

12 A. A majority of our patients are referred by
13 other physicians, usually primary care physicians,
14 other specialists, orthopedic surgeons, neurosurgeons,
15 neurologists.

16 Q. And what is the procedure you go through when a
17 new patient comes to see you?

18 A. So we -- when we first see a patient, we do an
19 extensive history and physical. We obtain prior
20 medical records. And occasionally we'll actually even
21 speak to the referring physician to find out more
22 information about the patient and any other issues that
23 he wanted us to address in the consultation.

24 Q. Doctor, if you conclude that the patient needs
25 an opioid and the patient has never before taken an

1 opioid, what kind of opioid do you start that patient
2 on?

3 A. We always start a patient on a short-acting
4 opioid. And indeed, it's in the latest guidelines
5 from the Centers for Disease Control regarding the use
6 of opioids that you always start with a short-acting
7 agent first.

8 Q. Why do you start with the short-acting agents
9 first?

10 A. Well, again, we don't know how an individual
11 patient is going to react to a medication, so we
12 prefer to have a shorter-acting drug that's not going
13 to be -- linger along. If somebody has a side effect,
14 we want to reduce the period of time that that patient
15 is going to have the side effect and intervene.

16 Q. Do you at some point then change the patient
17 from an immediate-release opioid to another type of
18 opioid?

19 A. Well, it depends. I mean, for acute pain,
20 it's usually a short episode, and those patients are
21 not placed on a long-acting opioid.

22 For more chronic conditions, they might be very
23 doing very well on the short-acting opioid and we would
24 continue them on it.

25 In other situations, perhaps dose is -- we have

1 to increase the dose. The patient is experiencing
2 frequent bouts of what we call breakthrough pain or
3 pain that expresses itself in between the dosing of
4 medications. In those situations, consideration may be
5 given to using a long-acting opioid, which maintains
6 the blood level of the medication more constant over a
7 long period of time, to try to mitigate those periods
8 of additional pain.

9 Even with that, we may use short-acting opioids
10 in combination for those inevitable periods where
11 patients have increased pain.

12 Q. Between --

13 JUDGE CHAPPELL: Wait a second.

14 Did I understand you to say that you may
15 prescribe a long-acting and a short-acting opioid at
16 the same time?

17 THE WITNESS: That's correct.

18 What happens is, if I may, if you want me to
19 talk about this, people have differing pains
20 throughout the day. It depends on activity.

21 There's three types of what we call
22 breakthrough pain.

23 There's pain that occurs at the end of dose,
24 meaning the dose is wearing off, and that's called
25 end-of-dose breakthrough pain.

1 There's spontaneously evoked pain, which
2 frequently occurs in cancer frequently when a tumor is
3 invading nervous tissues, and that -- that occurs. A
4 patient could just be sitting and all of a sudden has
5 this extreme pain. And it comes out of nowhere, which
6 is similar to what I described with the treatment of
7 the ultra-short-acting opioids.

8 And then there's what we call incident pain,
9 which is, I'm going to have pain if I move around, so
10 if -- if you move your -- you know, stressing a
11 particular joint or area of the body that has the
12 disease that's causing the pain, it might exacerbate
13 during that period, so you'll have an increased pain
14 during that period.

15 JUDGE CHAPPELL: When you prescribe a
16 long-acting and short-acting opioid to the same
17 patient --

18 THE WITNESS: Yes.

19 JUDGE CHAPPELL: -- is it usually -- is it the
20 same brand?

21 THE WITNESS: Oh, it wouldn't be the same --

22 JUDGE CHAPPELL: Or the same molecule?

23 THE WITNESS: It can be the same molecule.

24 But sometimes -- there is a philosophy out there that
25 using different opioids in connection -- in

1 conjunction with each other, you might get a better
2 pain response.

3 JUDGE CHAPPELL: At the same time.

4 THE WITNESS: At the same time.

5 The breakthrough pain medicine is as needed.
6 It's not around-the-clock.

7 So you -- the breakthrough medicine you take
8 only when you need it based on the labeling, so I
9 might write a breakthrough medicine as take every four
10 hours as needed, so a patient might not take any of it
11 throughout the day but, say, at night has some
12 activity and suddenly has an increase in their pain.
13 They're allowed at that point to take that additional
14 medicine.

15 JUDGE CHAPPELL: So just in layman's terms,
16 someone had surgery and they've taken -- I don't
17 know -- a twelve-hour opioid, and six hours in for some
18 reason they have intolerable pain. In that case,
19 that's a person that would have a short-acting to add
20 to the one they've already taken?

21 THE WITNESS: Well, we typically don't use
22 long-acting agents for postsurgical pain, but if that
23 was the case, yes, that's correct.

24 JUDGE CHAPPELL: Is that to prevent abuse so
25 that that patient doesn't just pop another long-acting

1 because of the pain?

2 THE WITNESS: No. The purpose is to
3 adequately treat that person's pain in a safe manner.
4 It is possible that people -- you know, patients don't
5 always do what I tell them to do or what their doctors
6 do, and that's entirely possible, that somebody would
7 self-medicate. Obviously, we educate against that, but
8 it does happen.

9 MR. ANTALICS: Thank you, Your Honor.

10 BY MR. ANTALICS:

11 Q. Doctor, between immediate-release opioids and
12 the extended-release opioids, is one more effective
13 than another?

14 A. No. In fact, there was a -- it's all about
15 the individual, as I explained before.

16 And in fact, there was a recent article on
17 comparing long-acting hydrocodone versus short-acting
18 hydrocodone in a cancer population, and the results of
19 the study showed that equal -- both were equally
20 effective in terms of pain relief and in terms of their
21 side effect profile.

22 Q. Doctor, when you're going to prescribe a
23 short-acting opioid, what are the factors that you
24 consider?

25 A. Well, there are many. We look at what the

1 patient has tolerated in the past.

2 JUDGE CHAPPELL: Hold on a second.

3 Did you tell us what you mean by "short-acting
4 opioid"?

5 THE WITNESS: I did. I can restate it.

6 JUDGE CHAPPELL: Please do.

7 THE WITNESS: A short-acting opioid is one that
8 has an onset time of 30 to 45 minutes and typically
9 lasts in terms of its effect between three hours and
10 six hours.

11 JUDGE CHAPPELL: All right.

12 BY MR. ANTALICS:

13 Q. I'm sorry, Doctor. I may have caused that
14 confusion.

15 When you referred to immediate-release opioid
16 before, is that the same thing in your mind as a
17 short-acting opioid?

18 A. It is.

19 Q. Okay.

20 A. I apologize.

21 JUDGE CHAPPELL: Immediate release includes a
22 drug that lasts six hours?

23 THE WITNESS: It can, depending on the
24 particular medication.

25 JUDGE CHAPPELL: All right.

1 BY MR. ANTALICS:

2 Q. Okay. Doctor, you were describing some of the
3 factors you take into consideration when you prescribe
4 an immediate-release opioid.

5 A. So we look at the patient's prior experience,
6 what opioids they've tolerated in the past, what
7 opioids they haven't.

8 There's personal preference. Most physicians
9 are comfortable prescribing a certain opioid as their
10 choice and they tend to prescribe that. But there are
11 multiple options to prescribe.

12 Q. Okay. What opioid do you personally generally
13 prescribe as an immediate-release?

14 A. As immediate release, it would be oxycodone
15 is -- in the area of the country that I practice, we
16 typically -- you know, oxycodone or Percocet products
17 are the ones that we choose. There's variation across
18 the country --

19 JUDGE CHAPPELL: Hold on a second.

20 So the record is clear, you just said
21 oxycodone, Percocet, and some other name I didn't
22 understand.

23 Are you saying, just so we're clear, are those
24 three different things or are those the same drug?

25 THE WITNESS: Oxycodone is the opioid. In the

1 medication called Percocet, there is Tylenol also with
2 it.

3 So it's more often that Percocet is prescribed
4 than the plain oxycodone.

5 JUDGE CHAPPELL: What was the other one you
6 mentioned?

7 THE WITNESS: I'm not sure what I said.

8 JUDGE CHAPPELL: I thought I heard a third one,
9 but fine.

10 BY MR. ANTALICS:

11 Q. Doctor, have you prescribed immediate-release
12 opioids other than Percocet or oxycodone?

13 A. I have.

14 Q. Okay. Have the other immediate-release opioids
15 been effective as well?

16 A. Yes.

17 Q. Have you observed what immediate-release opioid
18 other physicians in other parts of the country
19 prescribe?

20 A. Yes.

21 As I said, in my local area, a lot of people
22 write for oxycodone. In other parts of the country,
23 physicians prefer using a hydrocodone product as their
24 short-acting.

25 Q. Now, with respect to extended-release opioids,

1 what are the factors that are important to you when
2 you're prescribing an extended-release opioid?

3 A. Again, we start with the patient, you know, if
4 there's a medication, an opioid, that they've tolerated
5 before or not tolerated.

6 Again, it's our own -- as practitioners, our
7 comfort, what opioid that we usually go for because
8 that's what our -- we were used to in our training or
9 that's what we tend to use and we have a good
10 familiarity with those.

11 And then also it's what is covered by the
12 insurance companies. You know, they -- there are
13 certain opioids that they cover and others that they
14 don't.

15 Q. Okay. Doctor, if you're --

16 JUDGE CHAPPELL: How do you determine, when a
17 patient is there and you're prescribing a medication --
18 you said it depends on -- could depend on what's
19 covered by insurance.

20 How do you know what insurance covers with a
21 patient sitting in front of you?

22 THE WITNESS: Well, I mean, typically we
23 know -- at least in what very restrictive area that I
24 practice in, we know that branded products most likely
25 are not covered.

1 But we also -- we have electronic medical
2 records. And when we put the prescription in that
3 record, up on the screen it will tell me right away
4 whether that is a covered product by this -- the
5 patient's insurance company, and it will also detail,
6 you know, copays and -- which is a fee that a patient
7 also pays at the pharmacy.

8 JUDGE CHAPPELL: So before you write the
9 script, you're looking at an electronic medical
10 record, and the patient's insurance is there and
11 whether that drug is covered or how it's covered?

12 THE WITNESS: Well, we don't write
13 prescriptions for the most part anymore. It's all
14 done electronically through the system. But that's
15 correct.

16 When I put the drug order in the system, as
17 I'm ready to print it or electronically send the
18 prescription to the pharmacy, I will get an immediate
19 feedback as to whether that's a covered medication for
20 that insurance company, also what level of additional
21 pay that the patient has to pay at the pharmacy.

22 JUDGE CHAPPELL: Is that information, to your
23 knowledge, available just in large hospitals or is
24 that even in small towns where doctors see patients?

25 THE WITNESS: Well, the electronic -- as part

1 of the healthcare reform, the electronic medical
2 record has become commonplace throughout the country.
3 I can't say if -- you know, I don't know how many --
4 what percentage of practitioners actually have that
5 system, but it's -- it's becoming more and more
6 universal and it's -- I believe it's mandated by the
7 healthcare reform.

8 JUDGE CHAPPELL: So you don't have to really go
9 into what's on a formulary; it just pops right up there
10 in front of you.

11 THE WITNESS: Well, nowadays, yes. In the --
12 in the -- before these, we would be informed
13 directly -- well, first of all, by a patient saying,
14 Look, doc, I can't -- this is such a high cost for me,
15 can you prescribe something else. Or the pharmacist
16 would immediately call us and say, This is not a drug
17 that this patient can receive without a prior
18 authorization from the insurance company.

19 And we used to have and still have drug
20 representatives that would detail us on their
21 medication, so when they came to visit, they would tell
22 us, Here's our product, it's on most insurances, it's
23 at this level or covered or not covered, and that's the
24 way we would have information.

25 JUDGE CHAPPELL: When they come to visit, the

1 drug reps, when they come to visit bearing gifts and
2 free lunches?

3 THE WITNESS: Not anymore.

4 JUDGE CHAPPELL: Not anymore?

5 BY MR. ANTALICS:

6 Q. Doctor, do patients ever tell you that they're
7 not satisfied with the first extended-release opioid
8 that you give them?

9 A. Yes.

10 Q. Okay. Are there alternatives for those people
11 who are not satisfied with the first extended-release
12 opioid that they're given?

13 A. Yes. There are multiple.

14 Q. Okay. Have you ever seen a patient who did not
15 have multiple alternative options among the
16 extended-release opioids?

17 A. No.

18 Q. Doctor, can patients be safely switched from
19 one extended-release opioid to another with equal
20 therapeutic effect?

21 A. Yes. It's probably done thousands of times
22 each day.

23 Q. What are the reasons or some of the reasons
24 anyway for switching extended-release opioids?

25 A. Well, there are several.

1 The first instance could be that you have a
2 patient on the extended-release opioid and with time
3 there's what we call a tolerance that occurs where the
4 patient doesn't get -- quite get the same pain relief
5 with that medication. And we typically would address
6 that but perhaps by increasing the dose.

7 But if that process continued and patients
8 were still then having pain, at that point the option
9 would be to say, well, maybe opioids aren't the way to
10 treat your problem, or we could rotate you or change
11 you to an alternative long-acting opioid.

12 Q. Okay. But are there reasons other than what
13 you just described for switching a patient to a
14 different opioid, extended-release?

15 A. Yes.

16 There are times when the formulary or the
17 tiering of the drug on the insurance program changes
18 and where a drug was covered at one point, it soon
19 would be not covered, and at that point we would have
20 to rotate the patient to an alternative medication.

21 And then there's another approach that's --
22 again, it would be similar to what I described when the
23 medication is -- is losing efficacy, and we would
24 rotate to another opioid to perhaps provide greater
25 relief at a lower dose for the medication.

1 Q. Doctor, have you seen or heard of any cases
2 where the switching of extended-release opioids has
3 not been able to be accomplished safely and
4 effectively?

5 A. No.

6 Q. Do some patients prefer not to switch?

7 A. Sure. I think we're all -- as humans, we're
8 afraid of the unknown, so you could understand, if a
9 patient has been on a medication for months or years
10 and getting good pain relief, that there would be some
11 anxiety about switching to a medication that they --
12 that may not have that same effect.

13 Q. Does that anxiety mean that the drugs are not
14 therapeutically equivalent?

15 A. No.

16 Q. Okay. Could you explain how you go about
17 switching a patient from one extended-release opioid to
18 another.

19 A. It depends on the dose that a patient is on.

20 If a patient is on a relatively low dose of
21 medication, we'll directly switch from one medication
22 to another. What we'll do is we'll consult conversion
23 tables that show relative equivalency of the two
24 medications, and then typically we'll cut that dose in
25 half or more just to err on the safe side in terms of

1 how patients react to it.

2 We always want to give less because we could
3 always give more. We just don't want to give too much
4 to cause a side effect.

5 Q. Is changing an extended-release opioid a
6 complex process?

7 A. No.

8 Q. Does switching a patient from one
9 extended-release opioid to another involve any
10 monitoring by the physician?

11 A. Yes.

12 It could be as simple as, when we're on some of
13 these lower doses, we would switch them and we'd have
14 them call us, you know, immediately if there was any
15 issues. And we would see them in follow-up in a short
16 order most likely. And if at that visit they weren't
17 getting adequate relief, we would increase the dose and
18 then again schedule another follow-up visit.

19 JUDGE CHAPPELL: Are the patients happy with
20 that follow-up?

21 I mean, a patient who is in pain and the drug
22 isn't working, I'm sure the last thing they want to do
23 is get in the car and come see you again.

24 THE WITNESS: Well, we do -- you know, a lot
25 of it is based on education. You know, we take a lot

1 of effort to talk to the patients with these
2 expectations.

3 And what I failed to say was that additionally
4 to the change in the medication, we'll provide them
5 with the short-acting or the immediate-release opioid
6 on top of it, so should we be underdosing them, they'll
7 have additional medicine that they could utilize to
8 treat that, the pain that might occur, because of the
9 first switch.

10 And in my experience, patients don't mind
11 seeing physicians. Actually, there's evidence to show
12 that when patients see doctors more frequently, they
13 actually have lower overall pain levels.

14 JUDGE CHAPPELL: It may not be seeing
15 physicians they have a problem with. It may be driving
16 through traffic, sitting in waiting rooms all day they
17 have a problem with.

18 THE WITNESS: I think patients are concerned
19 with treating their pain. And as opposed to treating
20 heart disease, pain is a little bit different. And
21 patients ultimately want to seek relief, and I don't
22 think our patients ever mind coming to us, seeking our
23 advice and help to treat their pain.

24 BY MR. ANTALICS:

25 Q. Is there any expense involved in switching

1 extended-release opioids?

2 A. Well, these are -- these involve follow-up
3 visits which are not well-compensated, to tell you the
4 truth. They're fairly low reimbursement.

5 And in fact, a lot of the switching I described
6 is driven by insurance companies, so obviously they've
7 calculated that the -- the savings they have on the
8 medication front more than makes up for the additional
9 cost of the follow-up visit.

10 Q. Doctor, you talked a little bit about insurance
11 before.

12 What role, if any, does the patient's insurance
13 coverage play in the choice of the extended-release
14 opioid?

15 A. It plays a major role.

16 Q. Okay. In what ways is insurance playing a
17 major role?

18 A. Well, insurance companies want to use
19 effective drugs that cost the insurance company the
20 least amount of money and cost the patient the least
21 amount of money, so they encourage the use of the
22 lower-cost medications, which are frequently the
23 generics.

24 Q. Okay. Can you describe what a formulary is?

25 A. A formulary is a list of drugs, and the list

1 prioritizes them in terms of their cost and what the
2 cost is to the patient.

3 It's an encouragement for us to use the
4 lower-cost medications.

5 Q. And could you describe once again -- you used
6 the term "copay" before. What does "copay" mean?

7 A. A copay is what the patient pays for the
8 medication at the pharmacy level.

9 Q. Okay. And did you say that as a physician
10 you're made aware of the copay?

11 A. I'm made aware based on the -- as I said, on
12 the electronic medical record. It will appear on the
13 screen.

14 Q. Okay. And is there a particular company that
15 does a lot of the electronic medical records?

16 A. Well, we use the Epic system, and I believe
17 Epic is -- has about 70 percent of the market in the
18 country.

19 Q. Okay. Who determines which drugs get preferred
20 status on a formulary?

21 A. It's determined by the insurance company and
22 their pharmacy directors.

23 Q. Do you know whether brand name drugs can ever
24 move up to a more preferred tier on a formulary?

25 A. Yes, they can. Frequently it occurs when the

1 pharmaceutical company gives what is called a rebate to
2 the insurance company, meaning they'll give them a
3 discount on the medication.

4 Q. And how do you know that, Doctor?

5 MS. SCHMIDT: Your Honor, I object on the lack
6 of foundation. This is not in Dr. Michna's report.

7 MR. ANTALICS: Your Honor, Dr. Michna has
8 extensive testimony in the report about insurance
9 coverage and formularies, and I'm laying the foundation
10 as to how he knows how formularies operate.

11 JUDGE CHAPPELL: Well, let's see. You haven't
12 been with us for a day or so, but the way it works,
13 when an expert is on the stand and there's an
14 objection beyond the report, you have to lay a
15 foundation indicating where it is in the report with
16 the witness or show it to the opposing attorney.

17 MR. ANTALICS: Okay.

18 MS. SCHMIDT: Thank you, Your Honor.

19 MR. ANTALICS: Paragraphs 21 --

20 JUDGE CHAPPELL: You don't have to say it on
21 the record.

22 MR. ANTALICS: Okay.

23 (Pause in the proceedings.)

24 MS. SCHMIDT: Your Honor, I have not been able
25 to look at each of the paragraphs, but from my very

1 recent reading of the report, what Dr. Michna has
2 testified to so far about copays and how brands move
3 up or down tiering levels is in none of the paragraphs
4 that Mr. Antalics has just directed me to.

5 JUDGE CHAPPELL: All right. She's not
6 satisfied. The objection is pending while you attempt
7 to lay a foundation and show through the witness where
8 it is in his report.

9 MS. SCHMIDT: Thank you, Your Honor.

10 BY MR. ANTALICS:

11 Q. Doctor, do you have a copy of your report up
12 there in front of you?

13 A. I believe so. Yes.

14 Q. It's tab 1 in the binder.

15 Doctor, if I could, could I direct your
16 attention to first paragraph 51 and ask you if that
17 refers to the placement and status of medications on
18 formularies.

19 (Document review.)

20 A. Yes. I mean --

21 Q. Does it all --

22 A. I'm sorry?

23 Q. Are you finished?

24 A. It talks about the status and the placement on
25 the formularies.

1 Q. Okay. Does it also talk about formulary
2 designations creating incentives for physicians to
3 prescribe lower-cost products?

4 A. Yes.

5 Q. Okay. Does it also talk about whether a
6 medication is on a formulary and what its status is on
7 that formulary is usually determined by the cost of the
8 medication to the plan?

9 A. Yes.

10 Q. Does it also talk about how lower-cost
11 medications, either generics or brand medications, for
12 which the healthcare company has negotiated rebates
13 from the drug manufacturer are typically placed on the
14 formulary's preferred tier?

15 A. Yes.

16 MR. ANTALICS: Is that sufficient, Your Honor?

17 MS. SCHMIDT: Your Honor --

18 JUDGE CHAPPELL: The objection is overruled.

19 MS. SCHMIDT: Okay. Thank you, Your Honor.

20 May I just note, Your Honor, for the record
21 that the paragraph to which Mr. Antalics has just
22 referred Dr. Michna does not include a single citation,
23 and Dr. Michna is not being proffered as an expert on
24 insurance or formularies but rather as an expert on
25 pain management and the use of opioids in pain

1 management.

2 JUDGE CHAPPELL: That will be something for you
3 to inquire into on cross.

4 MS. SCHMIDT: Thank you, Your Honor.

5 BY MR. ANTALICS:

6 Q. Doctor, as I started to ask you, how is it that
7 you came to form -- or to your views about rebates
8 being provided to manufacturers? How did you come to
9 that knowledge?

10 A. Well, there's several ways.

11 One, I -- several years ago, I served on the
12 State of Massachusetts Drug Utilization Review Board,
13 which is the state Medicaid. And the Drug Utilization
14 Review Board would review medications for the
15 formulary status for the Massachusetts Medicaid
16 program. And we would frequently hear about, you know,
17 which medications they were receiving rebates for. And
18 a lot of this -- this information is privileged, but,
19 you know, in that setting I was privy to it.

20 I've also served on -- at consultants meetings
21 where clinicians and insurance form- -- medication --
22 pharmacy directors were present. And the purpose of
23 the meetings was to discuss how these medications
24 could be at a higher level in the tiering on the
25 insurance formularies as well as how they would be made

1 more readily available to their patients.

2 So I had lots of discussions with pharmacy
3 directors and learned a lot about the whole process
4 from them.

5 Q. Doctor, are branded drugs, in your
6 experience -- I'm sorry -- are generic drugs, in your
7 experience, always cheaper for the insurance company
8 than are branded drugs?

9 A. Well, part of what I've learned at some of
10 these meetings was that that's not always the case. In
11 fact, they -- they related to me that with these
12 rebates sometimes for the -- you know, the branded
13 product was actually cheaper than the generic.

14 Q. And is it possible then that the branded drug
15 could be on a more preferred tier than a generic
16 product?

17 A. Yes.

18 Q. Do formularies vary from insurance company to
19 insurance company?

20 A. Yes. And in fact, in insurance companies,
21 depending on the plan, there's different formularies
22 for all the plans.

23 Q. Do different insurance companies have the same
24 extended-release opioid on different tiers at times?

25 A. Could you repeat the question.

1 Q. Sure.

2 Do different insurance companies have the same
3 extended-release opioid on different tiers at times?

4 A. Yeah. Frequently. And again, it probably
5 deals with whatever rebate that particular insurance
6 company has received as an incentive from that drug
7 manufacturer.

8 Q. How frequently do formularies change, in your
9 experience?

10 A. Well, in the past, it used to be every January
11 we would anticipate there would be changes, but now, in
12 the last few years, there have been formulary changes
13 made throughout the year. Whenever they would get a --
14 you know, a rebate or there was a change in their
15 pricing, they would make a change in the formulary.

16 Q. Doctor, I'd like to direct your attention now
17 to a document that is marked for identification only as
18 RX 545.

19 This document is not in evidence at this time,
20 Your Honor. This is one of the ones we talked about at
21 the status conference.

22 Can you put that on the screen.

23 Doctor --

24 MS. SCHMIDT: I'm sorry, Your Honor. Could we
25 just get a clarification of what this is being offered

1 on because if it's a demonstrative -- I'm just trying
2 to get clarification on what this document is being
3 offered for, if it's trying to be admitted as an
4 exhibit or if it's a demonstrative, as we did not
5 receive this 24 hours in advance and it's not on
6 JX 2.

7 MR. ANTALICS: Your Honor, this is not a
8 demonstrative. This is one of the documents that we
9 had offered at the last prehearing conference, and
10 there was an objection to it, and Your Honor I believe
11 said we should wait and you can offer it through a
12 witness if you so choose, and you'll make a decision
13 down the road.

14 So we are offering this -- or we will be
15 offering, after I lay a foundation, this document not
16 for the truth of the matter asserted therein but for
17 nonhearsay purposes.

18 JUDGE CHAPPELL: If you're attempting to lay a
19 foundation, go ahead.

20 MR. ANTALICS: Thank you, Your Honor.

21 BY MR. ANTALICS:

22 Q. Can you identify, Doctor, what RX 545 is?

23 A. Yes, I can.

24 It is a -- it's a formulary for CIGNA Insurance
25 Company covering a couple of their HMO plans.

1 Q. Are you familiar with this document?

2 A. I am.

3 Q. Okay. Did you rely on this document in writing
4 your expert report?

5 A. I did.

6 Q. And it was cited within your expert report?

7 A. That's correct.

8 Q. Where did this document come from?

9 A. This came from the CIGNA website.

10 Q. And do you rely as a physician on information
11 contained in formularies like this in your day-to-day
12 job?

13 A. Yes.

14 MR. ANTALICS: Okay. Your Honor, I'd like now
15 to offer RX 545 into evidence, not for the truth of the
16 matters asserted therein.

17 JUDGE CHAPPELL: For what reason? What's your
18 theory of admissibility?

19 MR. ANTALICS: I'm sorry?

20 JUDGE CHAPPELL: What's your theory of
21 admissibility?

22 Not for the truth is not a theory of
23 admissibility.

24 MR. ANTALICS: No, no, no. It's relevant to
25 how price competition works in the industry, and the

1 fact that CIGNA puts out a formulary such as this, it
2 affects the way physicians prescribe their product
3 regardless of whether a particular statement in -- in
4 the formulary itself is being offered for the truth of
5 the matter. The fact that it lists different drugs in
6 different tiers has real-world practical effects by
7 itself.

8 JUDGE CHAPPELL: Did the witness say it's
9 something he relied on?

10 THE WITNESS: It was cited in my report.

11 MS. SCHMIDT: Your Honor, we object to the
12 admission of the document. The only place that I see
13 that it is cited in this report as well as the other
14 formularies cited in this report are one general string
15 cite for the supposition that "The formularies for
16 different healthcare companies vary widely."

17 They cite to no specific pages. They cite to
18 no reason of why particular formularies were pulled,
19 how they were pulled, how they're relevant or any
20 particular entries in those formularies.

21 Given this lack of use within his report and
22 our lack of ability to test for any of his reliance on
23 specific issues within these very lengthy documents at
24 his deposition as he was not being -- there's nothing
25 in his report to indicate that he was going to be

1 testifying at length about these documents, we object.

2 JUDGE CHAPPELL: It's being offered not for the
3 truth of the matter. RX 545 is admitted.

4 The objection is overruled.

5 (RX Exhibit Number 545 was admitted into
6 evidence.)

7 MR. ANTALICS: Thank you.

8 MS. SCHMIDT: Thank you, Your Honor.

9 BY MR. ANTALICS:

10 Q. Doctor, I'd like to direct your attention first
11 to what's page 4 of the formulary, but it's the Bates
12 number is RX 545-6.

13 And I'd like to direct your attention to the
14 middle box that is labeled Key.

15 Yes, that's the one. Thank you.

16 And I'd just like to ask you a couple of
17 questions about this, Doctor.

18 And on the right-hand column where it says
19 "PA - This drug requires prior authorization," could
20 you describe what that means.

21 A. Prior authorization is a requirement for
22 additional paperwork and documentation explaining to
23 the insurance company why we as clinicians want to
24 utilize that medication for our patient.

25 Q. And then just down from that, where it says

1 "ST - This drug has step therapy requirements," how do
2 you utilize information like that?

3 A. Well, step therapy requirements are typically
4 that a patient has to have tried and failed several
5 lower-cost alternative medications prior to the
6 insurance company allowing the payment for the other
7 drug.

8 Q. Okay. Now I'd like to direct your attention to
9 the next page, which is -- has Bates number RX 545-7.

10 And the chart in the middle of the page, if you
11 could highlight that.

12 Okay. Now, Doctor, if I could direct your
13 attention down to the left-hand side, the lower
14 left-hand side of that chart, where it lists various
15 tiers, could you describe what tier 1 is.

16 A. Tier 1 is titled Preferred Generic Drugs, so
17 these are the medications that we're encouraged to use
18 because they're the lowest cost and they're frequently
19 associated with the lower copays for the patient.

20 Q. Okay. And on this formulary they have lower
21 copays for tier 1 drugs?

22 A. That's correct.

23 Q. Okay. Now, tier 2 also lists generic drugs.

24 Could you describe what tier 2 is.

25 A. Well, tier 2 is generic drugs that are more

1 costly to the insurance company, and they're also more
2 costly to the patient in terms of the copay that they
3 have to pay at the pharmacy when they get the -- they
4 receive the prescription.

5 Q. Okay. Now, tier 3 where it says "Preferred
6 Brand Drugs," could you describe what that means to
7 you.

8 A. So these are more expensive medications that
9 are branded, but they are preferred in that they're --
10 of the branded medications, they're a lower cost to the
11 insurance company. But again, to the patient this --
12 the patients incur even a greater copay that they have
13 to pay when they pick up the prescriptions.

14 Q. Okay. And then if we could turn to
15 tier 4 where it says "Nonpreferred Drugs," what does
16 that refer to?

17 A. Those are typically branded drugs that are even
18 more expensive to the insurance company and also more
19 expensive to the patient in terms of their -- the copay
20 that they have to pay at the pharmacy.

21 So in general, the purpose of this is to
22 incentivize clinicians to use the lower-cost
23 medications that are equally effective.

24 Q. Okay. Now, could you turn to page 6 of this
25 document. That's 6 of the document itself. Its Bates

1 number is RX 545-8.

2 And I'd like to direct your attention to the
3 right-hand column where it says "Opioid Analgesics,
4 Long-Acting," all right, the part that's blown up in
5 front of you there.

6 A. Okay.

7 Q. Could you just --

8 MS. SCHMIDT: Excuse me, Your Honor. None of
9 this is in Dr. Michna's report.

10 MR. ANTALICS: As I mentioned before,
11 Your Honor, Dr. Michna talked extensively about the
12 role of formularies in controlling costs, and I'm just
13 asking him to highlight some of the underlying facts
14 that led to his opinions in his report.

15 MS. SCHMIDT: Your Honor, if I may be heard.

16 That would have been fine if they had opted to
17 actually include some of those things in the report.
18 They did not, and this is the first time we're hearing
19 from Dr. Michna on this.

20 JUDGE CHAPPELL: Why do I sense a fear of
21 formularies on your side of the room, Counselor?

22 MS. SCHMIDT: Your Honor --

23 JUDGE CHAPPELL: Because formularies exist,
24 we've had a lot of witnesses talk about them. Why is
25 there such a fear on your side of formularies?

1 MS. SCHMIDT: Your Honor, there -- I
2 apologize. I don't mean to give off that impression.
3 There is not a fear of formularies.

4 There is, however, a frustration that, one,
5 there seems to be -- a majority or at least a large
6 portion of Dr. Michna's testimony seems to be
7 addressing things that were not discussed in the -- in
8 detail in his report or even discussed at all.

9 And even more fundamentally, Your Honor, is
10 that Dr. Michna, as I mentioned earlier, we do not
11 object to him being proffered as an expert in pain
12 management and the use of opioids to treat pain.
13 However, we do object to his use as an expert in
14 formularies or in medication pricing or in any ways in
15 which the insurance works, and he has not been
16 proffered as such.

17 JUDGE CHAPPELL: The expert is not a fact
18 witness. He's limited to what's in his report. And
19 without a better foundation, the objection is
20 sustained.

21 MS. SCHMIDT: Thank you, Your Honor.

22 MR. ANTALICS: Could I be heard further on
23 that, Your Honor?

24 JUDGE CHAPPELL: You can be heard. I've heard
25 you already on the same topic, but go ahead.

1 I've said the same thing I said a hundred times
2 in this trial. The expert is limited to what's in the
3 report, and if there's an objection that something is
4 outside the report, you lay a foundation with the
5 witness showing it's within the report or you move
6 along.

7 Experts are not here to give us facts. They're
8 here to give us opinions, and those opinions are locked
9 in.

10 BY MR. ANTALICS:

11 Q. Dr. Michna, earlier, you testified that you
12 relied on this formulary in arriving at your opinions
13 in your report.

14 A. That's correct.

15 Q. Okay. And part of what you said in the report
16 was that the formularies were used to -- for -- by the
17 insurance company in order to direct physicians and
18 patients to the lowest-cost effective drugs.

19 A. That's correct.

20 Q. Okay. Is the information that was -- it's not
21 in front of you now, but is information on formulary
22 placement that is contained within the formulary
23 itself -- was that part of the information that you
24 used in arriving at your opinion?

25 A. Yes.

1 MR. ANTALICS: Your Honor, may I proceed with
2 another couple of questions on this document or should
3 I move on?

4 JUDGE CHAPPELL: The current question has been
5 objected to and sustained. Next question.

6 BY MR. ANTALICS:

7 Q. Dr. Michna, without reference to any particular
8 document -- you can put that away -- do the formularies
9 list for each particular drug what tier placement that
10 particular drug has?

11 A. Yes.

12 Q. Thank you.

13 Is it common, Doctor, for insurance companies
14 to have formularies?

15 A. Yes. I believe it's universal. And again, the
16 goal is for cost savings. They want to effectively
17 treat their insureds, but they want to do it at the
18 lowest possible cost.

19 Q. Doctor, I believe you mentioned rotate or the
20 concept of rotation therapy earlier.

21 Could you describe what is meant by "rotation
22 therapy."

23 A. Well, it is -- typically, as I described
24 before, it's -- it's a thought process where and a
25 clinical treatment process where patients -- some

1 patients, not all patients, with time become what we
2 would describe as tolerant of medications, meaning the
3 drug or the medication doesn't have the same
4 pain-relieving effects that it did six months ago, a
5 year ago or two years ago.

6 At that point, you can increase the dose of
7 the medication or you can decide that it might be more
8 effective to change that patient from one long-acting
9 opioid to another, in the hopes that you regain that
10 pain relief at a much lower dose with a new
11 medication.

12 Q. And have you personally used rotation therapy
13 in your practice?

14 A. Yes.

15 Q. Okay. And when you've utilized rotation
16 therapy, have you always been able to find alternative
17 extended-release opioids that were effective?

18 A. Yes.

19 Q. Doctor, do you write prescriptions for
20 oxymorphone ER?

21 A. I do.

22 Q. Okay. In what types of cases have you
23 prescribed oxymorphone ER?

24 A. There are many different situations, some of
25 which patients come to my practice already on the

1 medication and, if I agree to continue prescribing, I
2 will continue to prescribe for them.

3 There are other instances where I have a
4 patient on one extended-release opioid, and as we
5 talked about, insurance companies make a decision that
6 that medication is no longer going to be paid for, and
7 they offer us alternatives. And some of those
8 alternatives in the recent past have been Opana as one
9 of them, which is oxymorphone extended release, so in
10 those situations I have switched.

11 The particular instance was OxyContin or
12 oxycodone extended release. The option that they gave
13 us was, since it wasn't covered anymore, to transition
14 that patient over to oxymorphone ER. And in fact, I
15 did that several times.

16 Q. Doctor, have you ever seen or heard of a
17 patient who was on oxymorphone ER who did not have
18 multiple alternatives among the other extended-release
19 opioids?

20 A. No.

21 Q. Have you ever seen any patient on any other
22 extended-release opioid who did not have multiple
23 options among the extended-release opioids?

24 A. No.

25 Q. Doctor, if theoretically there was such a

1 person out there who could only use oxymorphone ER,
2 could you identify that person in advance?

3 A. No.

4 Q. Is there any group of people for whom
5 oxymorphone ER is the only option to treat their pain?

6 A. No.

7 Q. Is there any group of people for whom any
8 other particular extended-release opioid is the only
9 option?

10 A. No.

11 Q. Is there any medical condition for which
12 oxymorphone ER is the only option to treat the pain
13 associated with that medical condition?

14 A. No.

15 Q. Is there any medical condition for which any
16 other extended-release opioid is the only option?

17 A. No.

18 Q. Okay. Doctor, in Dr. Savage's expert report,
19 she talked about oxymorphone ER being available in
20 both an injectable and oral form. Do you recall that?

21 A. I do.

22 Q. Okay. In your view, Doctor, is having
23 oxymorphone ER in an injectable version and a tablet
24 form a clinically relevant differentiating factor?

25 A. No. In my over twenty-year career, I have

1 never seen -- and I've worked in many hospitals --
2 I've never seen oxymorphone IR stocked in any of them.

3 Q. What is the typical practice, if there is a
4 practice, typical practice, in hospitals?

5 A. Well, typically we use, you know, several
6 different injectable forms in the hospital whether
7 it's in the operating room or in -- on the patient
8 floor.

9 I mean, the -- as I spoke to earlier, the most
10 common opioid that's given to patients when they're
11 discharged from the hospital, at least in the
12 Northeast, is oxycodone-containing products. And there
13 is no IV form of oxycodone available, so, by
14 definition, a majority of the patients are on
15 different IV formulations in the hospital or in the
16 operating room than the oral formulation that they're
17 discharged home on.

18 JUDGE CHAPPELL: What's the common brand name
19 for oxycodone?

20 THE WITNESS: Well, it's generic, so in the
21 short-acting form it's oxycodone. The branded names
22 would be the combination with Tylenol that I described
23 earlier, the Percocet or -- and then in the extended
24 release there is -- OxyContin is the brand name notable
25 opioid for the long-acting.

1 There recently are additional long-acting
2 oxycodone compounds that are now on the market that are
3 branded also.

4 BY MR. ANTALICS:

5 Q. Doctor, Dr. Savage talked about something
6 called CYP450 in her report. Do you recall that?

7 A. I do.

8 Q. Can you describe what CYP450 is.

9 A. Cytochrome P450 is a pathway of metabolism in
10 the liver where a majority of the medications that we
11 prescribe generally in medicine are metabolized or
12 broken down in.

13 Q. Can the various different medications interact
14 with one another in that system?

15 A. Yes. Frequently, since a lot of the
16 medications we prescribe, you know, concurrent meds for
17 depression and other diseases, are metabolized through
18 that system, there can be effects on the other drugs
19 when they're coprescribed.

20 Q. Okay. Is oxymorphone ER at all related to the
21 CYP450 system?

22 A. Oxymorphone is metabolized, but it's not
23 metabolized through that system.

24 Q. Now, is that a clinically relevant
25 differentiating factor, in your view?

1 A. No.

2 Q. And can you describe why.

3 A. Well, as I already described, most of our
4 patients are on multiple other medications before we
5 prescribe any of the pain medicines, and our approach
6 is always the same. We always start at varying low
7 doses and we titrate the dose up to effect or side
8 effect, so we always err on the side of safety, so we
9 start with very low doses and we work our way up.

10 So if there was such an effect, you know, we
11 would just -- we'd get pain relief at a much earlier
12 point in the titration than not if it was suppressing
13 it. And if it was inducing the enzymes, meaning
14 causing a more rapid metabolism, it would just result
15 in a patient being on a higher dose, so that would be,
16 you know, the way we would approach it anyway.

17 Q. Okay. Is there a test available to determine
18 differences in the way people metabolize drugs
19 differently through the CYP450 system?

20 A. Yes.

21 Q. Have you ever seen anyone perform that test?

22 A. I have never performed it, I haven't seen
23 anybody perform it, and I'm not even sure if it's
24 covered by insurance.

25 Q. Okay. In your experience, do pharmaceutical

1 companies sometimes promote differentiating factors
2 that are not clinically relevant?

3 A. Yes.

4 Q. Okay. Have you had any experiences with Endo
5 concerning the CYP450 issue?

6 A. Yes.

7 Several years ago, there was a consultants
8 meeting, and it was -- there was a lot of marketing
9 people at that meeting. And the purpose of it was
10 the -- their sales of --

11 MS. SCHMIDT: Objection, Your Honor. I move to
12 strike. None of this is in his report.

13 And by "none of this" I mean his discussion of
14 previous interactions with Endo on the CYP450 issue.

15 MR. ANTALICS: Your Honor, this is a response
16 to Dr. Savage -- well, first of all, he speaks about
17 CYP450 in the report, as I think counsel acknowledges,
18 but it's also a response to Dr. Savage's criticism of
19 his report in her testimony at trial.

20 JUDGE CHAPPELL: I'll allow him to respond to
21 her testimony.

22 MR. ANTALICS: Okay.

23 JUDGE CHAPPELL: If that's what it is, I'll
24 allow it. Overruled.

25 MS. SCHMIDT: Thank you, Your Honor.

1 JUDGE CHAPPELL: He's had no way he could have
2 responded to what testimony she gave here in court.

3 MS. SCHMIDT: Understood, Your Honor.

4 Could we just ask for the courtesy of being
5 directed to which testimony of Dr. Savage she -- I was
6 just asking if we could be directed to the testimony to
7 which he's responding now as Dr. Savage testified for
8 several hours.

9 JUDGE CHAPPELL: He's not going to have to cite
10 you page and line.

11 MS. SCHMIDT: Okay.

12 JUDGE CHAPPELL: But he should have a
13 good-faith belief in what he's representing. If not,
14 there are bigger problems.

15 MS. SCHMIDT: Thank you, Your Honor.

16 BY MR. ANTALICS:

17 Q. Go ahead, Doctor.

18 A. Could you repeat your question. I got lost
19 there a little bit.

20 JUDGE CHAPPELL: Let her read the question.

21 (The record was read as follows:)

22 "QUESTION: Have you had any experiences with
23 Endo concerning the CYP450 issue?"

24 THE WITNESS: I have.

25 I was several years ago invited to a

1 consultants meeting. It involved some of the
2 marketing people from Endo. And it was a result of the
3 fact that they weren't selling as much of the
4 extended-release oxymorphone Opana that they
5 anticipated, and they were looking at ways they could
6 better market the medication.

7 And during that meeting, they brought up to
8 us, the consultants, what we thought about this aspect
9 of the metabolism and whether that would be -- would
10 resonate with clinicians. And universally we said no
11 because it's really not clinically relevant.

12 MR. ANTALICS: I have nothing further,
13 Your Honor.

14 JUDGE CHAPPELL: Will there be any cross?

15 MS. SCHMIDT: Yes, Your Honor.

16 MR. LOUGHLIN: Your Honor, could I raise a
17 question before we take a break if that's what you're
18 going to do?

19 JUDGE CHAPPELL: Go ahead.

20 MR. LOUGHLIN: I'd like a clarification on
21 your ruling about witnesses being able to respond to
22 things that were not in their report.

23 I understood when you were talking earlier to
24 be saying that if we open the door on cross to
25 something that a witness -- if we ask on cross about

1 something that the witness said in response to another
2 expert, that that was fair game, but I did not
3 understand you to be saying that a witness could now in
4 direct examination respond to material in expert
5 reports that we have not brought out.

6 So in other words, we're hearing for the very
7 first time a response to an expert that was not in
8 anybody's expert report.

9 Is that what you meant?

10 JUDGE CHAPPELL: The ruling I just made was I'm
11 allowing this expert to respond to what was brought out
12 in testimony in the trial.

13 Did you not understand that?

14 MR. LOUGHLIN: I understood your ruling,
15 Your Honor, but earlier -- this whole -- this whole
16 case we've been operating under an instruction that if
17 it's not in the report, it's not coming in. And I
18 understood earlier, in response to Mr. Figg's
19 testimony, that you were going to allow them to
20 respond -- the expert to respond to something that was
21 brought up on cross-examination.

22 JUDGE CHAPPELL: No. My ruling was, if I
23 recall, I'm allowing an expert to respond to something
24 in a rebuttal report that says that expert was wrong.
25 I'm allowing them to explain themselves or respond to

1 that.

2 That doesn't mean a new opinion. That means an
3 expert can say, "The sun was out yesterday." Your
4 rebuttal expert can say, "She's wrong. The sun wasn't
5 out yesterday." On the stand, the first expert can
6 say -- can address that, not with a new opinion, but
7 can defend themselves and explain and respond to that
8 accusation that they are wrong. I'm allowing that.

9 That's fair response. That's not a new
10 opinion. I'm not going to allow that expert in my
11 example to say, "No, the sun was out that day and
12 15 people told me it was." That's -- you know, I'm not
13 going to allow the opinion to change. But I'm going to
14 allow someone to defend their opinion. That's what I'm
15 allowing.

16 MR. LOUGHLIN: Okay. I want to be clear.

17 JUDGE CHAPPELL: And the reason I'm allowing
18 that is, you get to have a rebuttal expert report, and
19 respondents don't get to come back with anything after
20 that.

21 MR. LOUGHLIN: I understand how the scheduling
22 order is set up, Your Honor, but what you're allowing
23 is for them to be able to provide responses that we
24 have never heard before.

25 JUDGE CHAPPELL: That's fine.

1 MR. LOUGHLIN: I want to make sure that's what
2 you intend.

3 JUDGE CHAPPELL: It's a very narrow ruling, and
4 that is that someone can respond to a criticism that
5 was made in a rebuttal report. It's only those that
6 were criticized in a rebuttal report, and I don't think
7 it will apply to anybody except the patent guy.

8 MR. LOUGHLIN: I think it just applied to
9 Dr. Michna.

10 JUDGE CHAPPELL: Well, I mean, you've got one
11 as far as a rebuttal expert witness goes. You have
12 rebuttal reports that have come out. And the way I
13 understand the timing, respondent doesn't get to file a
14 surrebuttal or a reply to a rebuttal, whatever you call
15 it, whatever you want to call it, they don't get to
16 respond.

17 I'm not allowing new opinions, but whether
18 you've heard it or not I do not care. If someone wants
19 to explain and defend themselves, I'm allowing that.
20 That just makes sense and that's fair.

21 MR. LOUGHLIN: All right. I wanted to make
22 clear that was what you were doing now so that I
23 understand the rules.

24 JUDGE CHAPPELL: It's very limited. It's not
25 wide open. It's not a wide road for anyone to run

1 down, and I'm not allowing new opinions.

2 And the way I understood the way it was
3 presented, someone in a rebuttal report said you're
4 wrong and probably here's why. The expert hadn't had a
5 chance to reply to that. I'm allowing that reply. I'm
6 not allowing new opinion.

7 So you're not going to hear any new opinions
8 that you haven't heard before. To the extent it's an
9 opinion, I won't consider it. I'm allowing what I
10 consider fair response to a rebuttal where the witness
11 hasn't had a chance to say, I disagree.

12 MR. LOUGHLIN: Okay. I just want to make sure
13 I understood it because it sounded new to me,
14 Your Honor.

15 JUDGE CHAPPELL: Well, it might have sounded
16 new to you, but it's the first time I've heard it
17 presented in the way it was presented at the time. And
18 I perceived it to be an unfair situation where someone
19 has the right to respond to a criticism in another
20 expert's report. Again, no new opinions.

21 Anything else?

22 MR. LOUGHLIN: No, Your Honor.

23 JUDGE CHAPPELL: We're going to take a morning
24 break. We'll reconvene at 12:05.

25 We're in recess.

1 (Recess)

2 JUDGE CHAPPELL: Let's go back on the record.

3 I want to go back to the ruling I made
4 earlier. My ruling was, just so everyone is clear,
5 that an expert's opinions are supposed to be proffered
6 in the report. And my ruling was, based on my
7 understanding, that when an opposing expert brings out
8 an opinion during their testimony in trial, then an
9 opposing expert can respond to that new information.
10 And that's how narrow it is.

11 And if that's not what occurred before the
12 break, then the answer won't be considered. That's
13 the way I'm ruling on it. I'm allowing fair response
14 to something new that comes up from one side's expert
15 during trial so that during trial an opposing expert
16 can respond to that.

17 I'm not allowing new opinions to be thrown out
18 there.

19 Any questions?

20 MR. LOUGHLIN: No, Your Honor.

21 JUDGE CHAPPELL: Go ahead with cross.

22 MS. SCHMIDT: Good afternoon, Your Honor.

23 And may it please the court.

24 My name is Maren Schmidt on behalf of complaint
25 counsel.

1 - - - - -

2 CROSS-EXAMINATION

3 BY MS. SCHMIDT:

4 Q. Good afternoon, Dr. Michna. We met in Boston
5 on October 3 of this year when I took your deposition.

6 How are you today, Dr. Michna?

7 A. I'm well. Thank you.

8 JUDGE CHAPPELL: Hold on a second.

9 Mr. Loughlin?

10 MR. LOUGHLIN: Yes, Your Honor.

11 JUDGE CHAPPELL: You told me earlier that you
12 heard something you hadn't heard before?

13 MR. LOUGHLIN: Yes.

14 JUDGE CHAPPELL: Those are your words.

15 What is it you hadn't heard before?

16 MR. LOUGHLIN: My prior understanding was
17 that --

18 JUDGE CHAPPELL: Not my ruling. I'm talking
19 about the testimony.

20 MR. LOUGHLIN: Oh.

21 JUDGE CHAPPELL: What testimony? I thought you
22 were referring to you heard testimony you hadn't heard
23 before. That's the way I understood you, what you
24 said.

25 MR. LOUGHLIN: From Dr. Michna. I understood

1 Dr. Michna gave testimony about his experiences with
2 Endo that we had not heard before.

3 JUDGE CHAPPELL: All right. And I was told, it
4 was represented to me, that he was responding to
5 something your expert said in testimony. That was the
6 basis of my ruling.

7 MR. LOUGHLIN: I agree, Your Honor.

8 JUDGE CHAPPELL: And my assumption would be
9 that whatever they're saying your expert said they
10 weren't aware of before the trial, before testimony.

11 I don't know. I don't read the reports. The
12 evidence I'm hearing for the first time. I don't know
13 what the reports say. I don't know what the
14 depositions say.

15 MR. LOUGHLIN: My understanding was that they
16 were having Dr. Michna respond to something that was in
17 Dr. Savage's report and that Dr. Michna was giving some
18 new information about his experience with Endo that we
19 had never heard before. And my understanding
20 previously was that was not allowed.

21 JUDGE CHAPPELL: That's not allowed if it's
22 something that respondent was aware of that was in the
23 expert report.

24 My ruling was, I'm allowing it based on my
25 understanding from what I was told was it was something

1 that was brought out in testimony for the first time by
2 Dr. Savage.

3 MR. LOUGHLIN: Then I -- maybe I'm mistaken,
4 Your Honor. I did not understand that they hadn't
5 heard about this for the first time in Dr. Savage's
6 trial testimony. I believe that it was -- they heard
7 about this beforehand.

8 JUDGE CHAPPELL: I don't know who's mistaken
9 and who's not. But my ruling is, something that comes
10 out for the first time in testimony by an expert, an
11 opposing expert will have a chance, in fairness, to
12 respond to that. That's my ruling.

13 MR. LOUGHLIN: Understood, Your Honor.

14 JUDGE CHAPPELL: Go ahead.

15 BY MS. SCHMIDT:

16 Q. Dr. Michna, is there anything that may affect
17 your ability to give complete, truthful testimony
18 today?

19 A. No.

20 Q. And I will just note, if we look at any
21 documents this morning, we will publish them to the
22 screen before you, but there are also paper copies in
23 the binder placed at your chair, and I will direct you
24 to the documents if you need to look at them.

25 Dr. Michna, for your appearance at your

1 deposition on October 3 you were compensated \$10,000?

2 A. That's correct.

3 Q. And for your appearance in court today you are
4 being compensated \$18,000?

5 A. That's correct.

6 Q. And for all of your services in this matter,
7 including preparing your report, consulting with
8 counsel and reviewing materials, you are compensated at
9 \$750 hour an hour?

10 A. That's correct.

11 Q. Approximately how many hours have you billed to
12 date?

13 A. I haven't calculated it. I -- any number I
14 would -- it's probably inaccurate.

15 Q. And in the past you've also been paid by Endo
16 to do promotional speaking for Opana ER?

17 A. Yes. Years ago.

18 Q. And these were dinner speeches promoting
19 Opana ER to prescribers?

20 A. Yes.

21 Q. And you were paid by Endo for making those
22 speeches?

23 A. Yes.

24 Q. And at the time you made those speeches, you
25 were not a frequent prescriber of Opana ER?

1 A. I prescribed it. I don't know how many times I
2 prescribed it, though. I don't remember.

3 Q. Would you call yourself a frequent prescriber
4 of Opana ER?

5 A. I mean, I don't know what you mean by
6 "frequent." As a percent of all my long-acting
7 opioids, it would, you know, be fairly low.

8 Q. And in your speeches regarding Opana ER you
9 promoted the benefits of Opana ER?

10 A. They weren't speeches. As you may or may not
11 know, all the slides are approved with a company with
12 the FDA, and we're limited to basically reading the
13 slides off the presentation. And then if we get
14 individual questions, we can respond.

15 Q. And so those would be slides prepared by
16 Endo Pharmaceuticals for you to present --

17 A. With the FDA's approval, yes.

18 Q. And what were some of those differences that
19 you -- or I'm sorry. Let me rephrase that.

20 JUDGE CHAPPELL: Hold it.

21 I want someone to take a piece of
22 8-1/2" x 11" paper and a Sharpie and I want someone to
23 write the words, in large letters, "Slow down and speak
24 up," and I want her to lay it right there in front of
25 her.

1 MS. SCHMIDT: I'm writing it myself,
2 Your Honor.

3 JUDGE CHAPPELL: Thank you.

4 MS. SCHMIDT: In red.

5 JUDGE CHAPPELL: Slower and louder.

6 MS. SCHMIDT: Thank you.

7 JUDGE CHAPPELL: By the way, it's not the
8 first time we've done this. It's also been done with
9 an expert witness where a sign was hung on counsel
10 table for the witness to look at, who just kept
11 speaking too fast.

12 Go ahead.

13 MS. SCHMIDT: Thank you, Your Honor.

14 BY MS. SCHMIDT:

15 Q. But the purpose of those presentations was to
16 promote the benefits of Opana ER?

17 A. The purpose of the presentations is to provide
18 an educational program in regards to a particular drug
19 product. Yes.

20 Q. And that was for Opana ER and paid for by
21 Endo.

22 A. For those particular ones, that's correct,
23 yes.

24 Q. Thank you.

25 And I believe earlier today you talked about

1 taking an individualized approach in treating your
2 patients?

3 A. I'm not sure if I mentioned it today, but yes,
4 that is my philosophy and that's, you know, our general
5 philosophy in the pain management world.

6 Q. And that extends to taking an individualized
7 approach to opioid therapy?

8 A. We -- we treat the patient based on their prior
9 experiences, as I've described before, so we treat
10 patients as individuals, and we prescribe according to
11 prior history, medical conditions, et cetera.

12 Q. And there is variability from person to person
13 in terms of the way they respond to drugs?

14 A. We never know how a patient is going to
15 respond. As I think I testified earlier, they may have
16 adverse events. It's un- -- you know, it's impossible
17 to predict that, yes.

18 Q. And it is your opinion that there is no
19 reliable way of identifying which delivery system or
20 opioid is most compatible with an individual patient
21 beyond trial and error?

22 A. Well, I think that's a fairly wide, broad -- we
23 can maybe take those in steps.

24 Q. Do you -- do you -- actually, I believe that is
25 your opinion in paragraph 55 of your report.

1 Do you recall including that statement in your
2 report?

3 A. If you could show me my report, I'll -- that
4 would be great.

5 Q. Sure.

6 If you want to look at the binder.

7 A. Sure.

8 Q. And it's in the tab marked RX 549.

9 And Ms. Durand, if you wouldn't mind publishing
10 this to the screen as well. We're going to take a look
11 at paragraph 55.

12 A. I'm sorry. What was the tab?

13 Q. It's the tab marked RX-549, the rebuttal expert
14 report of --

15 A. I got it now. It was hidden behind the others.
16 Sorry.

17 Q. No problem.

18 And paragraph 55 is on page dash --
19 RX-549.0024.

20 And Ms. Durand, if you could highlight the last
21 sentence of paragraph 55.

22 A. Okay. Yes, I see it.

23 Q. So do you -- you do agree that there is no
24 reliable way of identifying which delivery system or
25 opioid is most compatible with an individual patient

1 beyond trial and error?

2 A. Yes.

3 Q. And not everybody tolerates every opioid?

4 A. That's correct.

5 Q. And some individuals may tolerate one opioid
6 better than another?

7 A. That's correct.

8 Q. And you have stated that about 50 percent of
9 people don't tolerate the first opioid you try them on;
10 is that correct?

11 A. Approximately. Yes.

12 Q. And some people may not be able to take a
13 specific opioid because of other medical conditions?

14 A. In -- yes.

15 What we're referring to is, say there is a
16 patient with severe liver disease. In that particular
17 instance, if they really have poor liver function,
18 morphine would probably not be a drug that you'd want
19 to give them. Yes.

20 Q. And what is it about morphine that would
21 contraindicate it for a patient with severe liver
22 disease?

23 A. Morphine has a multitude of active
24 metabolites, meaning degradation products in the
25 metabolism that act as active agent. And in liver

1 disease, when you have a slow metabolism, that means
2 there could be what we call an accumulation of those
3 products in the bloodstream and add to sedation and
4 other adverse events.

5 Q. And if you only had one long-acting opioid
6 product, approximately 50 percent would fail on a trial
7 of it; is that correct?

8 A. Yes.

9 Q. Do you recall stating at your deposition that,
10 quote, if you don't know what you're doing with any of
11 these drugs, you should not be prescribing them?

12 A. That's correct. I think no practitioner or
13 clinician should ever write for a medication they
14 don't know what the side effects are or the effects
15 are, yes.

16 Q. So if someone is going to prescribe a
17 long-acting opioid, he should be educated about the
18 drug he's prescribing?

19 A. He or she should have a working knowledge of
20 that product and the potential side effects,
21 complications, drug interactions, yes.

22 Q. And that includes an understanding of the
23 potential side effects?

24 A. That's correct.

25 Q. That also includes an understanding of how the

1 drug is dosed?

2 A. That's correct.

3 Q. It also includes an understanding of how you
4 approach increasing or decreasing the dose?

5 A. Yes.

6 Q. And that also includes an understanding of the
7 particular characteristics of the drug?

8 A. The individual characteristics, yes.

9 Q. Earlier today you discussed a program called
10 REMS; is that correct?

11 A. Yes.

12 Q. And that is a specific class -- and that is a
13 specific class-wide REMS for long-acting opioids?

14 A. There is a class-wide REMS for -- that the FDA
15 has initiated for long-acting opioids, yes.

16 Q. And that is the program you were discussing in
17 your direct testimony today?

18 A. That's correct.

19 Q. And the REMS program for long-acting opioids
20 aims to reduce inappropriate prescribing, misuse and
21 abuse of those drugs; is that correct?

22 A. REMS, as I stated earlier, is -- is meant --
23 it's meant by the legis- -- you know, the Congress and
24 the legislature to assure that the benefit of the drug
25 exceeds the risks that were perceived.

1 Q. And the risk that the FDA is concerned about
2 with long-acting opioids is inappropriate prescribing,
3 misuse and abuse of those drugs?

4 A. I don't -- it's been a while since I, you know,
5 read the reason why they instituted it, but generally,
6 the risks with opioids are as you stated, yes.

7 Q. And at your deposition in October we looked at
8 one document from the REMS program called the FDA
9 Blueprint for Prescriber Education for Extended-Release
10 and Long-Acting Opioid Analgesics.

11 Do you recall that?

12 A. I do.

13 Q. Okay. I'd like to turn back to that document
14 again. It is document CX 3355, also located in your
15 binder.

16 And Ms. Durand, if we could start at
17 page 3355-001.

18 And if you could highlight the first two
19 sentences of that paragraph, please.

20 Oh, I'm sorry. I meant to direct you to the
21 middle paragraph where it's -- after the -- after the
22 two bullet points.

23 And this reads (as read): FDA developed core
24 messages to be communicated to prescribers in the
25 blueprint for prescriber education (FDA Blueprint),

1 published the draft FDA blueprint for comment and
2 considered the public comments when finalizing the FDA
3 blueprint. This final blueprint contains the core
4 educational messages.

5 Do you understand this document to be
6 communicating the core educational messages of the REMS
7 program for long-acting opioids?

8 A. Basically this is guidance to those that
9 develop an educational program in compliance with the
10 REMS. Yes.

11 Q. Okay. If you could turn to page CX 3355-006 to
12 007.

13 And Ms. Durand, if you could highlight all of
14 that section VI.

15 And this reads, "Specific drug information for
16 ER/LA opioid analgesic products. Prescribers should be
17 knowledgeable about specific characteristics of the
18 ER/LA opioid analgesic products they prescribe,
19 including the drug substance, formulation, strength,
20 dosing interval, key instructions, specific information
21 about conversion between products where available,
22 specific drug interactions, use in opioid-tolerant
23 patients, product-specific safety concerns, and
24 relative potency to morphine. The attached table is a
25 reference."

1 Dr. Michna, do you agree that prescribers
2 should be knowledgeable about specific characteristics
3 of the long-acting opioid product they prescribe?

4 A. Yes.

5 Q. Do you agree that prescribers should be
6 knowledgeable about the drug substance of the
7 long-acting opioid product they prescribe?

8 A. I'm sorry. Could you repeat that.

9 Q. Do you agree that prescribers should be
10 knowledgeable about the drug substance of the
11 long-acting opioid product they prescribe?

12 A. You mean the drug molecule that's involved.

13 Q. Yes.

14 A. Yes.

15 Q. Do you agree that prescribers should be
16 knowledgeable about the formulation of the long-acting
17 opioid product they prescribe?

18 A. By "formulation" you mean the length of time
19 that it acts for, yes.

20 Q. Well, I'm actually just looking at what the FDA
21 says for formulation.

22 What do you understand them to mean by
23 "formulation"?

24 A. Formulation is the -- the -- the technical way
25 that the drug is released, so some of the

1 extended-release formulations release over 24 hours and
2 others over eight hours. That's what I was referring
3 to.

4 Q. And do you agree that prescribers should be
5 knowledgeable about the formulation of the long-acting
6 opioid product they prescribe?

7 A. Yes.

8 Q. And do you agree that prescribers should be
9 knowledgeable about the strength of the long-acting
10 opioid product they prescribe?

11 A. Yes.

12 Q. Do you agree that prescribers should be
13 knowledgeable about the dosing interval of the
14 long-acting opioid they prescribe?

15 A. Yes.

16 Q. Do you agree that prescribers should be
17 knowledgeable about specific information about
18 conversion between products where available of the
19 long-acting opioid product they prescribe?

20 A. Yes.

21 Q. Do you agree that prescribers should be
22 knowledgeable about specific drug interactions of the
23 long-acting opioid product they prescribe?

24 A. Yes.

25 Q. Do you agree that prescribers should be

1 knowledgeable about the use of long-acting opioid
2 products they prescribe in opioid-tolerant patients?

3 A. Yes.

4 Q. Do you agree that prescribers should be
5 knowledgeable about product-specific safety concerns of
6 the long-acting opioid product they prescribe?

7 A. Yes.

8 Q. And do you agree that prescribers should be
9 knowledgeable about the relevant potency to morphine of
10 the long-acting opioid product they prescribe?

11 A. Yes.

12 Q. And Dr. Michna, you don't just prescribe one
13 brand of long-acting opioid, do you?

14 A. No.

15 Q. You prescribe several different long-acting
16 opioids?

17 A. Yes.

18 Q. You prescribe OxyContin?

19 A. Yes.

20 Q. You prescribe methadone hydrochloride?

21 A. Yes.

22 Q. You prescribe morphine sulfate ER?

23 A. Yes.

24 Q. And that's frequently known as MS Contin?

25 A. MS Contin was the original brand, but,

1 you know, now it's generic.

2 Q. And you prescribe fentanyl?

3 A. Yes. Fentanyl patch.

4 Q. And you prescribe oxymorphone ER?

5 A. Yes.

6 Q. And you prescribe Hislinga ER,

7 H-I-S-L-I-N-G-A (sic)?

8 A. Hysingla.

9 Q. Oh. Thank you.

10 A. Yes. It's a long-acting hydrocodone product.

11 I believe I've written a prescription for it. I think,

12 as I said in my deposition, that it's a brand-new

13 product. It's very restricted by formularies, so,

14 you know, I think, if I prescribed it, it's been a very

15 small amount.

16 Q. Okay. But you prescribe the product that you

17 feel is the best for your patient in his or her

18 clinical situation?

19 A. Yes.

20 Q. And your priority is the safety and health of

21 your patient?

22 A. Ultimately, yes.

23 Q. You also prescribe numerous short-acting

24 opioids?

25 A. I do.

1 Q. Do you recall testifying that, quote, I
2 prescribe them all?

3 A. Yes.

4 Q. Okay. And if a patient presents a risk of
5 abuse, but the clinical scenario calls for an opioid,
6 you prefer to prescribe morphine or methadone instead
7 of oxycodone, hydrocodone or hydromorphone products; is
8 that correct?

9 A. That's correct.

10 Q. And that's your preference because morphine
11 and methadone enter the central nervous system more
12 slowly than oxycodone, hydrocodone and hydromorphone
13 products?

14 A. That's correct.

15 Q. And I believe you testified earlier today that
16 you sometimes rotate a patient from one long-acting
17 opioid to another?

18 A. That's correct.

19 Q. And you sometimes discontinue opioid therapy
20 altogether; is that correct?

21 A. Certainly. Yes.

22 Q. But generally speaking, you can't just abruptly
23 stop treatment with a long-acting opioid?

24 A. Well, you can if it's at a very low dose.

25 Q. But in other situations you need to wean the

1 patient off of a long-acting opioid?

2 A. Certainly. Obviously, there are clinical
3 scenarios when the risk to the patient is such that,
4 you know, you totally stop the medication, as you can
5 understand, if there's a significant change in their
6 health, they end up in an ICU unit, they're on a
7 ventilator, they're no longer taking oral opioids, and
8 they have some condition where you're worried about
9 saving their life, not giving them pain medicines, so
10 in those situations you can abruptly stop the
11 medicine.

12 Q. But in other situations, your practice is to
13 wean a patient off of a long-acting opioid?

14 A. Again, unless there's a clinical scenario that
15 would prohibit that.

16 Q. Okay. But generally, in your practice, you do
17 wean a number of your patients off of long-acting
18 opioids.

19 A. Certainly. Yes.

20 Q. And why is it that you wean them rather than
21 abruptly stopping treatment?

22 A. Well, depending upon the dose and the amount
23 of time that a patient has been exposed to an opioid,
24 they become what I described earlier as tolerant to
25 that medication. And if you abruptly stop the

1 medication, they will go through a withdrawal
2 syndrome.

3 And if you want me to describe that, I will,
4 but --

5 Q. Yes, please.

6 What is withdrawal syndrome?

7 A. When you abruptly -- I mean, the opioid acts
8 throughout the body and it has various effects. We
9 already talked about constipation, so when you
10 abruptly stop an opioid, you can get diarrhea. You can
11 get nervous chills. You can get anxiety associated
12 with it.

13 Q. It may resemble a severe flu-like illness?

14 A. They get body aches and pains. Yes.

15 Q. And it is your opinion that patients can be
16 safely switched to a new long-acting opioid, quote,
17 assuming the switch is performed slowly and with the
18 proper understanding of the medications; is that
19 correct?

20 A. Yes.

21 Q. And you agree that it's important to follow the
22 proper steps and protocols when switching a patient's
23 long-acting opioid?

24 A. Well, I'm not sure what you're referring to,
25 protocols. There are really no protocols. It's

1 clinical acumen I would say and experience. There
2 isn't a stepwise approach. You have to use your
3 experience.

4 And again, it all depends on the patient, the
5 clinical scenario, how high the dose is and how long
6 the patient has been on it, determines how quickly you
7 can wean somebody from an opioid.

8 Q. Do you agree testifying at your deposition
9 that, quote, if you follow the proper steps and
10 protocols that I described earlier, you know, it can be
11 an uneventful process?

12 A. Yes.

13 Q. Dr. Michna, what is a conversion table?

14 A. It was -- pertaining to opioids obviously;
15 right?

16 Q. Yes. Thank you.

17 A. It's a table that was developed using healthy
18 males, and it was an attempt to try to make an
19 estimate of equivalency in terms of the effectiveness
20 and the pain-relieving abilities of one opioid to
21 another.

22 Q. And they use morphine as the universal metric
23 for conversion?

24 A. I believe it -- it's -- it's termed the
25 morphine equivalence. You have to pick one agent, and

1 I guess they picked morphine, so they compare the
2 equivalency of the other opioids versus morphine since
3 it's been around probably the longest.

4 Q. And those conversion tables are still based
5 solely on studies in healthy, young males?

6 A. Yes.

7 Q. So the conversion tables are not always
8 precise?

9 A. Which is why I explained -- yes. Which is why
10 I explained that we always, you know, cut them in half
11 and then even, you know, based again on our feelings,
12 we might even go much lower than that.

13 Q. So the conversion tables are more of a
14 framework or a best estimate?

15 A. They're a place to start. And then, as I said,
16 for safety concerns, and you know, we always err on the
17 side of safety, right, so we'll dose at a much lower
18 level than that, again, because a lot of times people
19 might respond even at the lower level where they're at
20 a different level with the other medication.

21 Q. Are you familiar with the term "incomplete
22 cross-tolerance"?

23 A. I am.

24 Q. And what does that mean?

25 A. Well, it describes, much like I was just

1 speaking about, where just because opioids are
2 equivalent based on this table doesn't mean that the
3 patients will respond the same at that dosing, so it
4 might require more of the medicine or it might require
5 less, so when you go from one opioid to another, it's
6 unknown at which level you're going to get a
7 therapeutic response.

8 Q. And I believe earlier today you testified that
9 in a relatively simple case you would start by cutting
10 the opioid prescription in half -- or I'm sorry -- the
11 dosage in half from their current opioid to the new
12 opioid?

13 A. What I described is, when you have a very low
14 dose of medication, that for the new opioid you'd use
15 that table as a framework, and usually we would at
16 least cut it in half. And again, there might be
17 clinical scenarios we would even go much lower than
18 that. Yes.

19 Q. And then how do you -- what's the next step
20 after cutting it in half?

21 A. Well, you give it to the patient.

22 Q. And is that the end of the process?

23 A. Well, it may be. If that patient reports that
24 they're having adequate pain relief and they're doing
25 well, that's the end of the process.

1 Q. And if not?

2 A. Then it might require an evaluation to see,
3 you know, if the patient is in pain, no side effects.
4 The next step might be to increase the dose of the
5 medication.

6 Q. And in a patient that was on a moderate to high
7 level of a long-acting opioid, how would your process
8 differ?

9 A. Again, it depends on the clinical scenario,
10 but typically what we would do is -- you can switch
11 one to the other, but I tend to -- using the same
12 approach we've described before, on very high doses, I
13 tend to start the new opioid at a very low dose and
14 decrease the old opioid down and at the same time
15 providing short-acting or immediate-release opioids as
16 a buffer in case we're underdosing the patient too
17 much.

18 Q. And then you would slowly decrease the
19 original opioid and gradually increase the new opioid?

20 A. Well, again, it depends on the dose. It might
21 be, you know, you know, one or two steps or it might be
22 a few more than that. It depends on the dose.

23 Q. Okay. And for a patient that has been on a
24 long-acting opioid for a very long time at high
25 levels, do you recall testifying at your deposition

1 that the conversion for those patients might need to be
2 done in an inpatient setting?

3 A. If you're going to take them totally off the
4 medicine, there are some people that just can't
5 wean -- we're talking about weaning. That was an
6 example of weaning off of opioids I believe.

7 And when a patient can't as an outpatient wean
8 because they just -- for anxiety reasons, for a
9 multitude of reasons, they just can't tolerate it, it
10 might require inpatient detoxification, yes.

11 Q. I'm sorry. At your deposition did you not call
12 that switching or down-titrating, that that would be
13 the process?

14 A. No. I believe that was a specific example of
15 when somebody is on a high dose of opioids and we were
16 taking them totally off opioids.

17 We don't admit people when we're switching
18 opioids.

19 Q. And opioids act to relieve pain by binding to
20 opioid receptors that are found mainly in the central
21 and peripheral nervous systems and the GI tract; is
22 that correct?

23 A. Yes.

24 Q. And are you familiar with the term
25 "subtype differences"?

1 A. There are mu receptor subtype differences,
2 correct.

3 Q. And what are those?

4 A. There are a number of these receptors that are
5 of different, you know, entities and proteins
6 basically.

7 Q. And different people have different mu receptor
8 subtypes; is that correct?

9 A. They have different ones and they change with
10 time.

11 Q. And can they also change with exposure to an
12 opioid over time?

13 A. They can, yes.

14 Q. And the differences in subtype -- mu receptor
15 subtypes from one person to another is what is thought
16 to explain some differences in how we react to
17 different opioids?

18 A. It's -- it's -- yes. It's a possibility. I
19 don't know how proven it is, but the thought is that
20 that might explain some of it. Yes.

21 Q. And to switch a patient from branded Opana ER
22 to generic oxymorphone ER, you would not need to go
23 through a -- to switch a patient from branded Opana ER
24 to generic oxymorphone ER, you would not need to
25 down-titrate a patient and go through the rotation

1 process; is that correct?

2 A. Typically, because it's the same molecular
3 entity, we would probably not engage in that, but, as I
4 also testified, you know, there's variability in
5 generics in terms of patients' responses, so, you know,
6 they might get less pain relief or they might get
7 slightly more, depending on the product.

8 Q. But you would start by doing a one-to-one
9 conversion?

10 A. Typically I would, yes.

11 Q. And Dr. Michna, you do not keep track of the
12 prices of long-acting opioids; is that correct?

13 A. On a daily basis, no.

14 Q. So you are not aware of fluctuations in price
15 for any specific brand drug of opioid?

16 A. Well, I'd be -- I'd be aware of it if there's
17 dramatic changes because the -- you know, the insurance
18 coverage would certainly change.

19 Q. So you're aware of dramatic changes but not of
20 fluctuations in price.

21 A. Unless it is -- clinically impacts, meaning
22 there's a change in the tiering because of that or drug
23 availability to the patient or a patient's copay, which
24 I'd certainly hear about.

25 Q. And that's what at your deposition you deemed a

1 dramatic event?

2 A. Dramatic changes. Yes.

3 Q. And you don't know all the formularies of your
4 patients' insurers?

5 A. I don't think anybody knows all the
6 formularies.

7 Q. You don't pore through the formularies?

8 You don't pore through the formularies?

9 A. Maybe if I wanted to go to sleep at night, but
10 no, I don't pore through the formularies.

11 Q. And your experience is specific to
12 Massachusetts?

13 A. I only have practiced in Massachusetts. Yes.

14 Q. And I believe at your deposition you testified
15 that Massachusetts has a long history of managed care?

16 A. That's correct.

17 Q. And that Massachusetts has a reputation for
18 being aggressive in formulary management?

19 A. I think I was referring to the -- what I
20 referred to before, the MassHealth formulary has --
21 was historically one of the first to really be
22 restrictive.

23 Q. And what is MassHealth?

24 A. It is the state Medicaid of Massachusetts.

25 Q. I'd like now to look at a few passages in the

1 expert report of Dr. Seddon Savage. In your binder,
2 that is CX 5002.

3 And Ms. Durand, if you could turn to
4 page CX 5002-007.

5 In looking at paragraph 12, Dr. Savage writes,
6 in this first sentence, "The experience of pain and its
7 treatment is highly individual."

8 Dr. Michna, do you agree with that statement?

9 A. Well, I agree to the fact that patients'
10 responses to medications vary, yes.

11 Q. Do you disagree with anything in that
12 statement?

13 A. Well, if you're talking about the experience of
14 pain, I mean, that can vary, but, you know, to use the
15 term "highly individual," I'm not sure I agree with
16 that exact term. But bottom line is, we all experience
17 pain differently, and we respond to therapies
18 differently. Yes.

19 Q. And looking at the next sentence, Dr. Savage
20 writes, "Pain patients differ significantly with
21 respect to their experience of pain in response to
22 different potentially painful stimuli (such as
23 injuries, illness or strains)."

24 Do you agree with that statement?

25 A. Well, it goes with the prior statement that we

1 all respond differently to pain and the experience of
2 pain.

3 Q. So you agree with that statement?

4 A. Yes.

5 Q. And she continues, "This is due to numerous
6 variables including biogenic predisposition, prior pain
7 experiences, psychosocial differences, medical
8 comorbidities, and environmental context."

9 A. Yes.

10 Q. Do you agree with that statement?

11 A. I do.

12 Q. Ms. Durand, if you could -- you're a step ahead
13 of me, but I actually just -- actually, that's fine.

14 Looking at paragraph 13, we're going to start a
15 little bit in the middle of the paragraph.

16 On the fourth line, she writes, "When drugs are
17 used in pain treatment, it is important to understand
18 that there are also notable differences among
19 individuals with respect to their responses to
20 different drugs."

21 Do you agree with that statement?

22 A. I'm sorry. I was trying to find it.

23 Q. Oh, I'm sorry.

24 A. That's okay. I'll look on the screen.

25 Q. Would you like me to read it again?

1 A. No. I can read it myself. Thank you.

2 (Document review.)

3 Again, it's what we've said before, that
4 patients respond differently to different medications.
5 Yes.

6 Q. And she continues, "This is due to individual
7 variations in molecular binding, as well as cellular
8 and other host responses to the drug (pharmacodynamic
9 effects)."

10 Do you agree with that statement?

11 A. Well, I think it's due to more than that. I
12 think we -- in her first statement she went through the
13 whole list. It's biogenetics, which is, you know, your
14 disposition, your prior pain experiences and your
15 psychosocial issues, your comorbidities, your
16 environment, how you grew up, who your parents were, so
17 I don't want to limit it just to that.

18 Q. So not limiting it just to that, you would
19 otherwise agree with --

20 A. Otherwise, yes --

21 Q. Okay.

22 A. -- if we're thinking about the whole inclusive
23 thing.

24 Q. And continuing into her next statement, "There
25 are also variations in absorption, distribution and

1 metabolism of drugs (pharmacokinetic effects) as well
2 as other factors such as psychological status,
3 expectations, or drug tolerance that may affect drug
4 responses."

5 Do you agree with that statement?

6 A. That's true. Yes.

7 Q. And she continues, "As such, treatment of each
8 pain patient must be individualized and tailored to the
9 unique needs of the individual."

10 Do you agree with that statement?

11 A. In general. I mean, if -- well, you know,
12 "individualized" meaning should we use opioids at all
13 or should we not, should we use injection therapy for
14 that patient, should we not use any medicine, should we
15 use medicines that work on a neuropathic, so I would
16 agree on a global standpoint that's what we mean by
17 "individualization of care."

18 Q. Do you disagree with anything in that
19 statement?

20 A. Based on the context that I just said, yes, I
21 agree.

22 Q. Yes, you do disagree with something in that?

23 A. No, no. I agreed but with the caveat of what I
24 just said, in the context of what I just said.

25 Q. Okay. Thank you.

1 Now, moving on to paragraph 16, which is on
2 CX 5002-008, and Dr. Savage writes, "Combined with the
3 significant individual variation in pain patients and
4 in the types of pain they experience, this means that
5 individual patients may respond differently to
6 different long-acting opioids."

7 Do you agree with that statement?

8 A. That's correct. I mean, again, this is the
9 same statement over and over again. This is about
10 individualization of care and the fact that we respond
11 differently and that certainly we respond differently
12 for all the reasons that we've said before.

13 Q. And she continues, "And it is difficult to
14 predict how a given patient will react to any given
15 drug."

16 Do you agree with that statement?

17 A. That's correct.

18 Q. You can set that aside. Thank you.

19 A. Okay.

20 MS. SCHMIDT: Your Honor, may I have a moment
21 to confer with counsel?

22 JUDGE CHAPPELL: Go ahead.

23 MS. SCHMIDT: Thank you.

24 (Pause in the proceedings.)

25 I have no further questions, Your Honor

1 JUDGE CHAPPELL: Any redirect?

2 MR. ANTALICS: No, Your Honor.

3 JUDGE CHAPPELL: Thank you. You may stand
4 down.

5 THE WITNESS: Thank you.

6 JUDGE CHAPPELL: Next witness.

7 (Pause in the proceedings.)

8 Progress report? Where's the witness?

9 MR. HASSI: I hope he's in the office down the
10 hall. I'll go check, Your Honor.

11 (Pause in the proceedings.)

12 Your Honor, it's going to be a couple minutes.
13 They stepped outside and they're coming through
14 security.

15 (Pause in the proceedings.)

16 (Discussion off the record.)

17 MR. HASSI: Sorry for the delay, Your Honor.

18 Respondents call Dr. Sumanth Addanki.

19 My colleague, Steve McIntyre, will be doing his
20 direct examination.

21 - - - - -

22 Whereupon --

23 SUMANTH ADDANKI

24 a witness, called for examination, having been first
25 duly sworn, was examined and testified as follows:

1 MR. McINTYRE: May it please the court.

2 - - - - -

3 DIRECT EXAMINATION

4 BY MR. McINTYRE:

5 Q. Dr. Addanki, can you please introduce yourself
6 by stating your full name for the record.

7 A. My name is Sumanth Addanki. That's spelled
8 S-U-M-A-N-T-H A-D-D-A-N-K-I.

9 Q. And Dr. Addanki, can you please tell the court
10 about your educational background.

11 A. I grew up and went to college in India, where
12 I studied economics and engineering. I got my
13 master's degree in economics in India. I worked for
14 the government briefly for the planning commission in
15 India. And then I came to this country in 1980 to join
16 the Ph.D. program at Harvard.

17 Q. And can you please describe your studies at
18 Harvard.

19 A. At Harvard I had two fields of specialization,
20 econometrics, which is the use of statistics and
21 statistical methods to analyze economic data, and the
22 other field was finance, which is the study of capital
23 markets.

24 I also worked during my time at Harvard on a
25 large project funded by the National Science Foundation,

1 where we studied the research and development
2 activities, patenting, R&D expenditures, and the like,
3 of firms both in the U.S. and abroad.

4 Q. And Dr. Addanki, did you do any teaching while
5 you were at Harvard?

6 A. Yes, I did. Throughout my tenure at Harvard.
7 I taught first as a teaching assistant and then as an
8 instructor on the faculty. I taught econometrics and
9 statistics.

10 Q. And what degree did you receive from Harvard?

11 A. I received my Ph.D. in economics in 1986.

12 Q. Dr. Addanki, I want to turn to the first
13 exhibit to your report. Your report is in evidence as
14 RX 547. And this should be in the first tab of the
15 binder that is placed next to you on the table.

16 We're going to turn to RX 547.0089.

17 Do you recognize this document, Dr. Addanki?

18 A. Yes, I do. It's my CV.

19 Q. And what is your current position?

20 A. Well, I'm a managing director at NERA Economic
21 Consulting. And NERA is also known as
22 National Economic Research Associates.

23 I've been there for 31 years. I was called a
24 senior vice president before this, but then someone
25 changed all the titles, so now I'm known as a managing

1 director.

2 Q. And generally, what does NERA do?

3 A. NERA is a firm of applied microeconomists,
4 which means that we do research and do consulting and
5 study the way firms interact with one another in
6 markets, how firms interact with customers in markets,
7 and how market outcomes then get shaped by those
8 interactions.

9 Q. And do you yourself specialize in any
10 particular kinds of economic inquiry?

11 A. Yes. Within the field of applied
12 microeconomics I have three areas of specialization.

13 The first is the economics of antitrust and
14 competition policy.

15 The second is the economics of intellectual
16 property.

17 And the third is the economics of calculating
18 patent damages or other kinds of economic damages.

19 Q. And have you lectured or published articles in
20 these areas?

21 A. Yes, I have.

22 I wrote some of the early treatises on the
23 calculation of economic damages in patent infringement
24 cases.

25 I've written a number of articles and given a

1 number of speeches and lectures on various of the
2 areas of specialization and various combinations of
3 them.

4 A past chairman of the Federal Trade Commission
5 invited me to testify at hearings they were holding on
6 innovation-based competition in a global economy, and I
7 gave that testimony.

8 I have -- I've been invited to speak and
9 lecture on these subjects on numerous occasions.

10 Q. And who, generally speaking, are your clients
11 at NERA?

12 A. I have different kinds of clients. For the
13 most part, they're corporations, large and small, both
14 U.S. corporations and foreign ones.

15 I have worked for government agencies.

16 I've worked for nonprofit entities, trade
17 associations, and occasionally even private
18 individuals.

19 Q. Can you tell us a bit more about your work for
20 government agencies?

21 A. Certainly.

22 I've been retained several times by the U.S.
23 Department of Justice, by their Antitrust Division, to
24 serve as the outside expert, the economic expert, for
25 cases that they were planning to take to court, either

1 investigating a merger or investigating various kinds
2 of conduct of firms.

3 I helped the FTC prepare for trial in a merger
4 case it was bringing to court where it was challenging
5 a hospital merger.

6 I've worked for --

7 JUDGE CHAPPELL: Which case was that?

8 THE WITNESS: Sorry?

9 JUDGE CHAPPELL: Which case was that?

10 THE WITNESS: This was Poplar Bluff,
11 Your Honor.

12 JUDGE CHAPPELL: What state is Poplar Bluff
13 in?

14 THE WITNESS: Poplar Bluff is Missouri.

15 JUDGE CHAPPELL: I didn't hear you.

16 THE WITNESS: Missouri.

17 JUDGE CHAPPELL: Missouri?

18 THE WITNESS: Yes.

19 JUDGE CHAPPELL: When was that? A few years
20 ago?

21 THE WITNESS: I believe that was in 1997.

22 I was not the trial witness. I was helping the
23 trial witness in that case.

24 JUDGE CHAPPELL: That was before my time.

25 Thank you.

1 THE WITNESS: I have then also worked for
2 state AGs, state agencies, for New York and
3 New Hampshire, serving as an antitrust expert.

4 I've worked for the Canadian government on a
5 couple of occasions serving as an economic expert.

6 BY MR. McINTYRE:

7 Q. And I believe you mentioned intellectual
8 property and economic damages as two areas of
9 specialization.

10 Do you have any experience calculating economic
11 damages in patent infringement cases?

12 A. Yes.

13 In addition to writing some of the early
14 articles on the economics of calculating these damages,
15 I've actually calculated them on numerous occasions and
16 testified about them in federal court on numerous
17 occasions.

18 Q. And what experience do you have with the
19 pharmaceutical industry?

20 A. Well, the large project I worked on at Harvard
21 when I was a graduate student, because it was studying
22 the R&D and patenting activities of firms, and because
23 the pharmaceutical industry is probably one of the most
24 prolific in terms of patenting, it was a focus of our
25 investigations and our study.

1 So my study of the pharmaceutical industry goes
2 back decades. I'd say that really in the last 18 years
3 I've spent a great deal of my time studying various
4 aspects of the pharmaceutical industry and in my
5 consulting and my writing.

6 Q. You just mentioned your writing.

7 Have you published any articles on this topic?

8 A. I've published several articles on various
9 aspects of the economics of the pharmaceutical
10 industry.

11 And most recently, Cambridge University Press
12 brought out a handbook of intellectual property
13 antitrust, and I was invited to contribute the chapter
14 on pharmaceutical antitrust, which I did do, and that
15 book came out I believe early this year.

16 Q. And have you provided any expert testimony on
17 pharmaceuticals?

18 A. Yes, I have.

19 Probably most relevant I testified before the
20 Senate judiciary committee on the economics of brand
21 and generic pharmaceutical competition, and that was
22 about four years ago.

23 I've also testified on numerous occasions in
24 state and federal courts about matters having to do
25 with pharmaceuticals, pharmaceutical antitrust, as well

1 as other issues in pharmaceuticals.

2 Q. You mentioned testifying before the Senate
3 judiciary committee.

4 Who was it that invited you to give that
5 testimony?

6 A. So that was actually by invitation of the
7 judiciary committee itself, and I was told that I was
8 being asked to testify to provide my views as an expert
9 on the subject.

10 Q. Have you provided any other expert testimony on
11 pharmaceutical -- on the pharmaceutical industry?

12 A. Yes.

13 In addition to what I just said about
14 testifying in federal and state courts, I testified
15 here, in this very courtroom, in a case involving
16 reverse payment settlements in the pharmaceutical
17 industry that was the FTC v. Schering-Plough about
18 15 years ago I think.

19 And I've testified in various arbitrations in
20 the U.S. and abroad, as well as in federal court in
21 Australia on pharmaceutical antitrust, and in Canada
22 before a tribunal on pharmaceutical pricing.

23 Q. In the Schering-Plough case who did you testify
24 on behalf of?

25 A. That case was one where the FTC had sued

1 Schering-Plough and Upsher Smith, were the parties
2 that actually went to trial with the FTC, and I
3 appeared here as a trial witness on behalf of
4 Schering-Plough.

5 MR. McINTYRE: Your Honor, respondent hereby
6 tenders Dr. Sumanth Addanki as an expert in the
7 economics of antitrust, intellectual property, and
8 competition in the pharmaceutical industry, and
9 respondent submits that he is qualified by reason of
10 his academic credentials, his research and
11 publications, and his substantial experience
12 consulting and testifying as an expert in these
13 fields.

14 JUDGE CHAPPELL: Objection?

15 MR. LOUGHLIN: I just want to understand.

16 Did Mr. McIntyre mean that he's an expert in
17 the economics of intellectual property or intellectual
18 property?

19 MR. McINTYRE: We are tendering him as an
20 expert in the economics of intellectual property.

21 MR. LOUGHLIN: We have no objection to that,
22 Your Honor.

23 JUDGE CHAPPELL: All right. Any opinions that
24 meet the proper legal standards will be considered.

25 MR. McINTYRE: Thank you, Your Honor.

1 BY MR. McINTYRE:

2 Q. Dr. Addanki, can you please briefly describe
3 your assignment in this case.

4 A. Yes. Of course.

5 I was asked to review the FTC's allegations in
6 this case, to provide the appropriate economic
7 framework, a description of the appropriate economic
8 framework, within which to analyze those allegations,
9 to actually apply the appropriate economic methodology
10 in that framework and evaluate whether in fact the
11 settlements at issue here -- the settlement at issue
12 here was anticompetitive and to comment -- to review
13 and comment on the opinions of Drs. Noll and Bazerman.

14 Q. And have you performed those assessments and
15 reviews that you just described?

16 A. I have.

17 Q. And without stating the substance of your
18 opinions, have you reached any conclusions based on
19 your work?

20 A. I have.

21 Q. And do you hold your opinions in this case to a
22 degree of certainty that is reasonable for someone in
23 your professional field?

24 A. Yes, I do.

25 Q. And Dr. Addanki, I just want to begin by asking

1 this question.

2 Based on your experience and knowledge of the
3 economics of the pharmaceutical industry, can
4 agreements that settle patent litigation between a
5 brand company and a generic company ever be
6 anticompetitive?

7 A. Absolutely, yes.

8 As I've written about and testified on
9 numerous occasions, whether a given settlement of
10 patent litigation between a brand company and a
11 generic company is anticompetitive or not can only be
12 evaluated by considering all of the facts surrounding
13 the settlement and evaluating whether in fact consumers
14 were worse off with the settlement than they would have
15 been without it.

16 And if you perform that analysis, you can reach
17 a conclusion about it. But there is no knowing
18 beforehand, before you do that analysis, whether a
19 given settlement is going to be pro- or
20 anticompetitive.

21 Q. So what then from the economic perspective is
22 the appropriate test for determining whether a
23 particular settlement is anticompetitive?

24 A. Well, it's really not any different from what
25 we would do in any kind of rule of reason antitrust

1 case.

2 From the economic standpoint, the first step in
3 the analysis of a case of this kind is to assess
4 whether the patentee, the brand drug manufacturer in a
5 case like this, possessed monopoly power.

6 That's because, from the economic standpoint,
7 settlements of this nature are only anticompetitive
8 when they preserve or enhance monopoly power that
9 already exists or create monopoly power that didn't
10 exist. When there isn't monopoly power, we don't need
11 to inquire any further. The settlement will not be
12 anticompetitive.

13 Q. And this first step you just described, looking
14 at monopoly power, is that sometimes referred to as the
15 monopoly power screen?

16 A. That's exactly what it's called. Yes, sir.

17 Q. And why is it important that we apply that step
18 in analyzing settlements like the one at issue in this
19 case?

20 A. Well, because, as I've said, we know what
21 monopolists do. When a firm has monopoly power, it
22 restricts output, charges monopoly prices, all of which
23 harm consumers.

24 Now, if we believe that that monopoly power is
25 being -- has the potential to be enhanced or preserved

1 through a settlement, then we want to look further and
2 see if it was.

3 But if there is no monopoly power to start
4 with, there's really no reason to inquire any further,
5 because the last thing we want to do is spend our time
6 second-guessing the agreements and settlements and
7 contracts into which firms without monopoly power are
8 entering into, because it's a huge waste of resources.

9 And in any event, settling litigation, patent
10 litigation, can be procompetitive and generally a good
11 outcome to begin with.

12 Q. So I believe you said that the first part of
13 the analysis would be applying the monopoly power
14 screen.

15 What would we do next?

16 A. Well, again, as I said, if you find that
17 there's no monopoly power, we stop there because
18 there's no reason to proceed any further.

19 If you find that there has been monopoly power
20 or there was monopoly power at the time of the
21 settlement, more precisely, then we move on to the
22 second prong of the test, which is to ask whether that
23 monopoly power would have been more effectively or
24 completely dissipated absent the settlement than it was
25 with the settlement.

1 And so what that involves is really
2 understanding what the world would have looked like
3 had the settlement before us not occurred and in that
4 alternative world, which we economists sometimes refer
5 to, Your Honor, as the but-for world, in that but-for
6 world, the world but for the settlement, would the
7 monopoly power that you've found have been dissipated
8 more completely or more effectively than it has been
9 actually under the settlement.

10 Q. Could you give us an example to help us
11 understand how this inquiry works in practice.

12 A. Certainly.

13 In a case of this nature, a simple example
14 might be one in which really the completeness and
15 effectiveness with which the monopoly power is
16 dissipated hinges entirely on a question of when would
17 the generic entry have occurred.

18 So in that case, in that simple case, the
19 inquiry resolves itself, Your Honor, into just a simple
20 question: Would entry have occurred but for the
21 settlement sooner or later? And in that simple case,
22 if it would have occurred sooner but for the
23 settlement, then you can conclude that the settlement
24 was anticompetitive.

25 And if in fact settlement would have occurred

1 later but for the -- pardon me -- entry would have
2 occurred later but for the settlement, you can infer
3 that the settlement was actually procompetitive.

4 Obviously, if the case is more complex than
5 that, you need to perform further analyses, but that
6 would certainly be the test in a simple case.

7 Q. And is this the same test that you have applied
8 when analyzing such agreements in the past?

9 A. Yes, it is. Because essentially the analysis
10 of -- the economic analysis under the rule of reason
11 hasn't changed for decades. It is -- this is the way
12 we approach it.

13 And even though I understand that the law has
14 gone through some -- some twists and turns, this is the
15 approach that I have adopted as an economist, and it's
16 the same approach I described in the Schering-Plough
17 case 15 years ago.

18 Q. And just to be clear, Dr. Addanki, is your test
19 the same as Dr. Noll's three-part test?

20 A. No, it is not.

21 Q. Okay. Then I want to talk a little bit more
22 about applying this test.

23 First of all, how do we evaluate whether there
24 was monopoly power?

25 A. Well, as in any antitrust case of this kind, we

1 start with defining the relevant market and assessing
2 competitive conditions within that market.

3 Q. And when we're analyzing a relevant market in a
4 case involving pharmaceuticals, are there any special
5 considerations we need to take account of?

6 A. Well, as a matter of fact, given the
7 institutional idiosyncrasies I would say of the
8 pharmaceutical industry, there absolutely are.

9 And we need to pay particular attention to
10 these institutional features of the pharmaceutical
11 industry because they have a profound effect on how we
12 analyze competition and competition issues. And it's
13 very different from how we might approach it in an
14 everyday case that doesn't involve pharmaceuticals,
15 that involves some other kind of consumer product.

16 Q. You referred to institutional features of the
17 pharmaceutical industry.

18 Can you please describe further what you mean
19 by that.

20 A. I'd be happy to. I've prepared a couple of
21 demonstratives that would help illustrate what I'm
22 talking about and help me perhaps through this, through
23 this discussion. If I may have the first one?

24 Q. Sure.

25 And do these demonstratives explain opinions

1 that are expressed in your report?

2 A. Yes, they do.

3 Q. We can go ahead and put up RDX 15.

4 Is this one of the demonstratives that you just
5 described?

6 A. Yes, it is.

7 Your Honor, I'm distinguishing here two
8 different purchasing decisions, the bread purchasing
9 decision -- for people who are not following some sort
10 of gluten-free diet, it's a very familiar purchasing
11 decision -- and I'm going to contrast this both from
12 the standpoint of the characteristics of the decision
13 itself and from the standpoint of how those
14 characteristics are going to influence how we have to
15 analyze this from the economic standpoint, distinguish
16 it for those two -- on those two bases from what
17 happens in a pharmaceutical purchasing decision.

18 So just -- and one of the reasons I picked
19 bread is because that is actually one of the industries
20 for which the Department of Justice has hired me as
21 their outside expert and I happen to know a fair amount
22 about the industry and I've done a great deal of
23 analysis of the industry.

24 But the industry -- the purchasing decision for
25 bread, Your Honor, is very straightforward. I, the

1 consumer, go to the store, scan the shelves of the
2 bread aisle. And if I had a favorite brand, I will see
3 if it's on sale, and if it is, it's a lucky day for me
4 I pay the price, take my bread, go home and eat it or
5 feed it to my family.

6 Alternatively, it may be that I have a second
7 or third favorite brand, and if those are on sale, I
8 might opt to buy one of those instead of my favorite
9 brand and, again, pay for it, take it home and consume
10 it.

11 So I am the consumer, the person who's going to
12 consume the bread, I am the decision maker, the one who
13 chooses which bread to buy, and the payer, the one who
14 pays for the bread, all rolled into one. And this is
15 the typical case of the consumption decision in most,
16 the overwhelming preponderance, of what we're familiar
17 with.

18 Now, when I'm analyzing competition in this
19 industry, Your Honor, the manufacturer -- I know what
20 the manufacturer is going to do. They're going to try
21 to convince me to buy more of their bread, and they're
22 going to do it possibly in a couple of different ways.
23 They're going to hold price promotions.

24 So if Arnold bread wants to compete with
25 Pepperidge Farm bread, they may send me a coupon,

1 particularly if there's been checkout information
2 suggesting that there's a lot of Pepperidge Farm bread
3 being purchased at this store. They may send coupons,
4 put them in the store, send them to me.

5 Alternatively, they may introduce new flavors
6 and send me a mailing or put an in-store display saying
7 try the new flavor.

8 So whether it's price competition or non-price
9 competition, it's targeted to the consumer because the
10 consumer is really the decision maker, the payer and
11 the consumer all in one.

12 Now, the situation is really quite a bit
13 different in the prescription pharmaceutical case.

14 And if we could have the next demonstrative,
15 please.

16 Q. Sure. Why don't we put up RDX 16.

17 And is this the demonstrative you just referred
18 to?

19 A. Yes, it is.

20 Q. Can you please walk us through this.

21 A. And clearly, Your Honor, this is more
22 complicated, and frankly, this is actually simplified
23 from the actual realities on the ground, but it has the
24 salient features that we need to focus on.

25 So, again, I'm the patient over on the extreme

1 right. And just to fix ideas a little bit, I need to
2 be treated for an acne condition, and I'm probably
3 going to be prescribed antibiotics for it.

4 Now, I don't go to a pharmacy and buy
5 antibiotics and go home and consume them. That's not
6 the way it works, as we know. I go to a physician, a
7 healthcare practitioner of some kind -- I've called
8 them prescribers here because even though the
9 preponderance of them are indeed physicians, it's
10 increasingly common for there to be nurse practitioners
11 and physician assistants actually writing
12 prescriptions.

13 So the prescriber writes the prescription,
14 gives it to me, and I take it to the pharmacy. And at
15 the pharmacy, the pharmacy is going to get in touch
16 with my insurance, because the reality of the
17 prescription pharmaceutical industry is that very few
18 prescriptions are paid for entirely out of pocket by
19 the patient. There's going to be insurance of some
20 kind, a prescription plan of some kind, whether it's
21 through a commercial insurer, through Medicare,
22 Medicaid, a pension, you know, a union, a retirement
23 benefit, whatever it is.

24 And the pharmacy is going to do whatever
25 transaction it does with the insurance company and then

1 tell me, Okay, Dr. Addanki, your copay is \$10,
2 please -- here's -- here's your medicine. And I take
3 it home, and I take my doses, and then I report back to
4 the physician.

5 Now, in this situation, there are so many
6 different entities involved, right. The decision
7 maker is not me, the patient. It's the prescriber
8 who's making the prescription decision in the first
9 place.

10 I do pay a portion of the payment, but the bulk
11 of the payment is borne by a third-party payer, the
12 insurer or the -- you know, one of their agents.

13 So we have a complete disjunction, Your Honor,
14 among the three roles that are all combined in one in
15 the bread purchasing decision. The consumer, the
16 decision maker and the payer of most of the cost are
17 all disjointed. They're three different entities.

18 And so when we analyze competition in this
19 business, there are in fact very different layers of
20 competition, many of which don't actually impact me,
21 the patient, at all. There is instead competition
22 among drug manufacturers competing with one another for
23 the prescribers' attention. And there's competition
24 among drug manufacturers for favorable treatment by
25 third-party payers.

1 Focusing on the prescribing competition for a
2 moment, Your Honor, I don't as a patient observe most
3 of what happens here, but I as an analyst can look at
4 it.

5 And the drug manufacturers compete in a variety
6 of different ways -- and I'm speaking here about brand
7 drug manufacturers. We'll get into generic
8 manufacturers later. The brand drug manufacturers are
9 competing in a variety of different ways to get the
10 prescribers to prescribe their medicines rather than
11 competing therapeutic alternatives.

12 And they will provide -- and it depends on the
13 therapeutic category. But they may provide
14 information. They may provide clinical information,
15 clinical research. They may provide samples. They
16 may provide things that would help aid patient
17 compliance, you know, patient assistance of some kind.

18 So there are a variety of different things that
19 the drug manufacturers do to compete for the
20 physicians' attention, for the prescribers' attention.

21 Q. And Dr. Addanki, you just described how drug
22 manufacturers will compete at the prescriber level.

23 Are there other important layers of competition
24 that we need to analyze in cases involving
25 pharmaceuticals?

1 A. Yes, indeed. And this is a very important
2 layer of competition.

3 Drug manufacturers, when they're competing with
4 other therapies for the treatment of a condition, will
5 also compete very vigorously in many instances for
6 favorable formulary coverage with insurers, with
7 third-party payers.

8 Q. You just mentioned formulary coverage.

9 Can you please explain to us what a formulary
10 is.

11 A. Yes. A formulary, Your Honor, is just a way
12 that -- and we've probably heard about this already in
13 the court, in court in this case. They're simply how
14 insurers promote competition among prescription
15 pharmaceutical suppliers and control costs.

16 So the formulary is simple enough. It is
17 simply the list of pharmaceuticals that for which the
18 insurer will actually reimburse pharmacies if one of
19 the covered lives under the insurer's plan presents a
20 prescription for one of those drugs.

21 That doesn't mean that the formulary treats
22 all of those -- all of those drugs on the formulary
23 the same way, because the formularies also have tiers.
24 And the tiers, spelled T-I-E-R-S, represent the degree
25 to which, from an economic standpoint, the payer, the

1 insurer, is favoring one product over another.

2 So tier one is the most preferred tier from the
3 economic standpoint. And tier one, the preference is
4 expressed -- that economic preference is expressed,
5 Your Honor, in the way the costs are shared between the
6 insurer and the patient.

7 So for a tier one product the patient is going
8 to have the lowest copayment, so in other words, that
9 is the most attractive from the patient standpoint in
10 terms of how much the patient, he or she, is going to
11 pay at the pharmacy.

12 And a tier two product, correspondingly, is
13 going to involve more payment on the patient's part and
14 less, proportionately, payment on the insurer's part, a
15 tier three further still.

16 And a product may even be on tier four for some
17 formularies or not covered at all, in which case the
18 patient is going to pay, should he or she choose, the
19 entire cost.

20 And this is the mechanism that insurers use to
21 promote competition and lower costs for therapeutic
22 categories in which there are therapeutic alternatives
23 freely available.

24 Q. Can you explain further how formularies promote
25 competition?

1 A. Well, what they do is, if they recognize a
2 therapeutic category -- and this is from my decades of
3 experience studying the pharmaceutical industry -- in a
4 therapeutic category in which there are good
5 alternatives available, basically the insurer will
6 invite the manufacturers to provide bids about what
7 kind of rebates the manufacturer is going to be willing
8 to give back to the insurers for the use of that
9 manufacturer's product by covered lives under the
10 insurer's plans.

11 So it's an accounting system through which
12 they actually measure and monitor how much use there's
13 been, so if we're talking about antibiotics for acne
14 and we're talking about one of the manufacturers
15 selling a doxycycline product, that NDC, the use of
16 that NDC, will actually be monitored, and rebates will
17 be paid on the basis of how many pills were consumed by
18 this insurer's covered lives.

19 And so what the insurers do is invite
20 manufacturers to bid. And the motivation on both
21 sides is a carrot and a stick, because the insurer
22 says, if I put you on tier two, which is the most
23 favored brand tier on a formulary, you will get lots of
24 volume because of the way the copayment arrangements
25 work. The prescriptions will be driven to you, the

1 tier two brand. And if I like your deal, I'll put you
2 on tier two. Give me a good price and you'll get the
3 volume. If I don't like your price, I may put you on
4 tier three or tier four or even block you, in which
5 case you're not getting any of the prescriptions, so if
6 your price isn't keen enough, you're not going to get
7 the volume.

8 Q. Does this process that you just described --
9 does it happen much in the pharmaceutical industry?

10 A. It happens all the time. It's a fact of life
11 in the pharmaceutical industry.

12 JUDGE CHAPPELL: You referred to these as
13 rebates. It doesn't sound like in your description
14 you're talking about rebates. It sounds like you're
15 talking about an agreed sales price or a purchase
16 price.

17 Why are you talking about rebates?

18 THE WITNESS: Because, Your Honor, the insurer
19 never takes possession of the product, right. The
20 product doesn't pass through the insurer. The insurer
21 is only doing payments, right.

22 So they are paying -- they're going -- maybe I
23 can use an example.

24 If you've got a pill that costs a dollar at the
25 list price, the pharmacy is going to pay close to a

1 dollar for the pill of that -- or for that pill.

2 Likewise, when the pharmacy is reimbursed,
3 because you can't really have the pharmacy being out of
4 pocket for the pill, the insurer, between the copay and
5 the insurer's payment, is going to reimburse a dollar
6 or so for that pill, a little more because the pharmacy
7 has costs.

8 So what's happening is, you may get 40 cents
9 back as a rebate from the drug manufacturer to the
10 insurer to defray part of that dollar. And that's how
11 it actually works in practice.

12 JUDGE CHAPPELL: So even though it's a rebate,
13 the agreed price is 60 cents, not a dollar.

14 THE WITNESS: Absolutely.

15 So it is a net price reduction. Absolutely.
16 But it's -- it's expressed in the form of a rebate
17 because there isn't a physical transfer of title to the
18 product, you know, of the pills themselves ever to the
19 insurer, so the payments work just exactly like a net
20 price reduction.

21 JUDGE CHAPPELL: In your example, the pharmacy
22 is paying a dollar.

23 THE WITNESS: Yeah.

24 JUDGE CHAPPELL: To the insurance company?

25 THE WITNESS: The pharmacy is paying the dollar

1 to buy the drug.

2 JUDGE CHAPPELL: To the supplier?

3 THE WITNESS: To the supplier. And it
4 typically goes through a wholesaler, but that's just a
5 detail.

6 JUDGE CHAPPELL: So the profit, in your
7 example, is made more by the insurance company than the
8 pharmacy.

9 THE WITNESS: Well, Your Honor, the insurance
10 company never buys the pill, right, so it's really a
11 question of they are getting insurance premiums for
12 which they have to provide benefits, coverage
13 benefits.

14 JUDGE CHAPPELL: Okay. I'm following.

15 So you're saying no money goes to the insurance
16 company.

17 THE WITNESS: No money from the pills goes to
18 the insurance company except the rebate, right.

19 JUDGE CHAPPELL: If there's none going to the
20 insurance company and there's this rebate of 40 cents
21 in your example, where does that 40 cents come out of
22 the dollar for whoever is paying for the drug?

23 THE WITNESS: Absolutely.

24 So the insurance company is paying a dollar,
25 right. What's happening is the dollar payment they're

1 making for that pill --

2 JUDGE CHAPPELL: I thought you said the
3 insurance company doesn't pay anything.

4 THE WITNESS: Sorry, Your Honor. They agree
5 with the pharmacy.

6 So the pharmacy buys a pill. They fill the
7 prescription. The insurance company plus the patient
8 is reimbursing the pharmacy for the dollar, right.

9 And so there is a payment -- so the pharmacy is
10 whole, right, because the pharmacy bought the pill,
11 bought the pill for a dollar. They're being made whole
12 because they've got the dollar. And the insurance
13 company is out of pocket a dollar. Let's assume -- put
14 aside the copayment for a moment, right. They're paid
15 for the pill. And that 40 cent rebate or 60 cent or
16 whatever it is reduces the effective cost to the
17 insurance company for having bought the pill.

18 So what Your Honor said in the beginning is
19 probably actually the right way to think about it.
20 They are in fact paying for pills, but they're paying
21 their reimbursement price that they pay the pharmacy
22 less the rebate.

23 JUDGE CHAPPELL: So the insurance company is
24 paying someone. They're not paying the drug maker.
25 They're paying the pharmacies.

1 THE WITNESS: Yes.

2 BY MR. McINTYRE:

3 Q. But I believe you mentioned ago that these
4 third-party payers, the insurance companies, invite the
5 drug manufacturers to bid; is that right?

6 A. Right.

7 Q. And how do the manufacturers respond?

8 A. Well, again, as I said, because there is this
9 carrot and stick involved, they will typically
10 respond. It varies from case to case. You know, a
11 manufacturer may decide that it doesn't want to bid for
12 that insurer's business. But typically, they're going
13 to respond with some offers, and the insurance company
14 will then evaluate those offers. And sometimes that
15 process goes back and forth between the insurance
16 company and the manufacturers.

17 Q. So there are actually negotiations between the
18 drug company and the insurance company?

19 A. There absolutely can be and these happen
20 typically every year.

21 Q. Do you see this process playing out for all
22 drugs?

23 A. No, you don't in fact see it play out for all
24 drugs. It -- in some therapeutic categories you see it
25 a lot, in some categories you see it less, and in some

1 categories you may not see much of it at all.

2 Q. So what can you infer as an economist when you
3 do see this type of process happening in a given
4 category for a drug?

5 A. Well, I think you make two important
6 inferences. Particularly, if you're an economist
7 assessing competition, there are two important
8 inferences that come from this.

9 If I see manufacturers competing for formulary
10 placement and formulary placement responding in a
11 therapeutic category to these competitive actions, the
12 two inferences I draw from there as an economist about
13 competition are, first, that the alternatives in this
14 therapeutic category are in fact regarded as good
15 therapeutic substitutes for one another.

16 And that's simply because, Your Honor, there is
17 nothing credible about an insurer's threat not to cover
18 a product unless it actually has good therapeutic
19 substitutes. Likewise, manufacturers are not going to
20 respond unless they feel the threat that they could be
21 dropped or demoted is a credible threat.

22 So you can infer as an economic matter that
23 there is in fact therapeutic substitutability
24 absolutely in there.

25 The second thing you can infer is that

1 economic substitutability is actually happening,
2 because if the insurers didn't think they could
3 actually drive volume by adjusting their formularies,
4 drive volume to a favored product versus a nonfavored
5 product -- and again I'm talking about the favoring
6 being just the tiers of the formulary. It's not a
7 question of medical preference; it's a question of
8 economic tiering -- the insurers wouldn't bother if
9 they didn't know they could actually drive volume.

10 So you know that there's price changes going
11 on, because these are net prices that are being changed
12 by these rebates, and that there is substitution taking
13 place and contemplated to be taking place in response
14 to those net prices. And that is the essence of
15 economic substitution, so you see economic substitution
16 going on.

17 Q. Do you consider this a form of price
18 competition?

19 A. It absolutely is.

20 Q. Can you explain why?

21 A. Well, it's because as, you know, we had the --
22 as Judge Chappell and I just discussed, it is about the
23 price being paid by the system for this drug. It
24 affects the net price being received by the
25 manufacturer, which ultimately is the price being paid

1 by the medical system to the manufacturer.

2 So it is absolutely price competition.

3 Q. And how effective --

4 JUDGE CHAPPELL: Let's -- we're going to take a
5 break here. We're going to take our lunch break. We
6 will reconvene at 2:45.

7 We're in recess.

8 (Whereupon, at 1:45 p.m., a lunch recess was
9 taken.)

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1 A F T E R N O O N S E S S I O N

2 (2:46 p.m.)

3 JUDGE CHAPPELL: Okay. We're back on the
4 record.

5 Next question.

6 MR. McINTYRE: Thank you, Your Honor.

7 BY MR. McINTYRE:

8 Q. Now, before the break, Dr. Addanki, we were
9 discussing price competition for placement on
10 formularies. Do you recall that?

11 A. I do.

12 Q. And I believe you testified that drug
13 manufacturers would offer rebates to insurance
14 companies or other payers in response or in return for
15 formulary placement. Did I get that right?

16 A. Yes.

17 Q. And the insurance companies, they reimburse
18 pharmacies for the cost of the drug; is that right?

19 A. They reimburse pharmacies for what the
20 pharmacies have paid for the drugs from the wholesaler
21 or the manufacturer or wherever the pharmacies get the
22 drug from.

23 Q. And who pays the rebate to the insurance
24 company?

25 A. The drug manufacturer.

1 Q. And so that reduces the net price that the
2 insurance company pays for the drug?

3 A. Yes.

4 Exactly as I walked through in the example, if
5 you talk about a pill that has a list price of a
6 dollar, in the trade it's going to cost approximately a
7 dollar to go to the pharmacy. The pharmacy dispenses a
8 prescription and will get back approximately a dollar,
9 with a little more for its own costs. And that dollar
10 is being borne by the insurer and the patient, that
11 cost.

12 And the rebate from the drug manufacturer -- in
13 my example I think it was 40 cents -- that 40 cent
14 rebate essentially makes the net cost to the insurer
15 60 cents or close to that and the net price received by
16 the drug manufacturer 60 cents, so what the 40 cent
17 rebate has done is basically reduced the net price paid
18 by -- ultimately by the insurer and patient to the
19 manufacturer.

20 Q. Thank you.

21 And this process of competition that you
22 describe, how --

23 JUDGE CHAPPELL: Hold on a second.

24 In this example, and is this how it works in
25 practice, once that drug is prescribed, the customer

1 or the patient has nothing to do with the price;

2 correct?

3 THE WITNESS: That's correct, Your Honor.

4 But if I may, what can happen sometimes,
5 right, is I take -- I'm the patient, and I take my
6 script, my prescription, to the pharmacy. And the
7 pharmacy, instead of telling me, okay, this is a
8 \$10 copay, may tell me, This is a \$75 copay because
9 this is a tier three drug on your formulary, or a tier
10 four drug. And I'm going to say, I don't want to pay
11 \$75 in copayment, so the pharmacy may then say and in
12 all probability will say, Well, I can talk to your
13 doctor to see if there is a lower-priced alternative
14 that's on a better tier on your formulary, on your
15 insurance formulary.

16 And when that happens, they're communicating
17 with the physician and the insurer. Now, all of this
18 happens electronically, Your Honor, automatically now.
19 But if we go back ten years, there was more of the
20 phone calls going on.

21 And then the physician will change a
22 prescription, prescribe a different drug, which is on a
23 more preferred tier of the formulary, and then the
24 pharmacy will only need to take a \$10 copay from me.

25 But I don't control the price paid for by the

1 pharmacy or to the pharmacy for the drug as a patient.

2 JUDGE CHAPPELL: As the patient.

3 THE WITNESS: Right.

4 BY MR. McINTYRE:

5 Q. Now, this process of competition that you've
6 been describing, how effective can it be in practice?

7 A. In practice it can be very effective indeed
8 depending on the therapeutic category. Certainly, in
9 therapeutic categories I've seen, the net prices being
10 received by the drug manufacturer are going down over
11 time even as perhaps the list prices are going up, and
12 certainly we know that, as a general matter, the
13 average cost of pharmaceuticals is going up.

14 But in specific therapeutic categories, this
15 competition can drive prices down so that net prices
16 received and paid are going down all the time.

17 Q. And so how, if at all, does examining the list
18 prices inform an analysis of competition?

19 A. Well, it doesn't inform the analysis of
20 competition at all, Your Honor, because list prices and
21 net prices actually paid can go in completely different
22 directions depending on how these rebates are working
23 out.

24 They are in fact, though, as we explained
25 through the example, the list price does anchor what's

1 being transacted between the pharmacy, the
2 manufacturer, and the insurer.

3 So if the list price is a dollar, that's the
4 amount that will be paid more or less and reimbursed
5 more or less; whereas, if the list price were two
6 dollars, that would be the amount being paid and
7 reimbursed more or less.

8 Q. Now, stepping back for a minute, how is
9 competition for formulary placement probative of market
10 definition?

11 A. Well, as I think I had described as to what I
12 infer as an economist studying competition, if I
13 observe a lot of formulary competition going on, I can
14 infer that these products, the therapeutic alternatives
15 in this class, are actually being viewed as therapeutic
16 substitutes for one another and moreover that there's
17 economic substitution going on, because the way the
18 formularies drive their costs down is by driving
19 prescriptions to the low tier drugs on the formulary,
20 which are the drugs for which they pay less net prices.

21 So what you've got going on is you've got
22 substitution going on in response to price
23 competition, which is, of course, exactly the kind of
24 competition we're talking about when we're analyzing
25 antitrust cases, when we're analyzing relevant

1 markets.

2 Q. So we've talked a bit about competition at the
3 physician level and at the payer level.

4 Is there any other competition that we need to
5 keep in mind when analyzing the pharmaceutical
6 industry?

7 A. Yes, there is. And there's competition even
8 for the patients' attention.

9 And there's really two types of that,
10 Your Honor. One is, increasingly we see
11 direct-to-consumer advertising of pharmaceutical
12 products, so --

13 JUDGE CHAPPELL: Is there any way to stop
14 that?

15 THE WITNESS: I wish there were, Your Honor,
16 particularly when, you know --

17 JUDGE CHAPPELL: Especially on television
18 during sports.

19 THE WITNESS: On television you can't stop it.
20 And they pop up on Web pages that you're on. You
21 wonder why -- I wonder why I'm getting this, right, and
22 I see no rhyme or reason to it.

23 But that's one type of -- which, obviously,
24 that doesn't prompt me to go out and buy the product
25 the way it might for bread or steak knives or

1 something, but it may prompt me to go and ask my
2 doctor, Well, is this a product that's good for me?
3 And that's obviously what they're going after.

4 But a much more important form of competition
5 at the patient level is actually another form of price
6 competition. And Your Honor, the -- this is actually
7 relevant to the question you had asked a couple of
8 minutes ago.

9 So going back to the formulary competition
10 story, which is ubiquitous, if a manufacturer's offer
11 is deemed just not that good, not good enough, so the
12 insurer, for instance, places that manufacturer's
13 product on tier three or tier four so that when I, the
14 patient, get a prescription for that product, I go into
15 the pharmacy and the pharmacy tells me that's a
16 \$75 copay, I may have been given a card, either sent in
17 the mail or picked up at the doctor's office when he or
18 she gave me the prescription, a copay coupon or a
19 patient assistance card they're called, and what they
20 do is they directly rebate some of the cost of the
21 copay.

22 They -- it's a direct arrangement. It's
23 normally handled by a third-party, but it's an
24 arrangement by which the manufacturer will directly
25 remit to the pharmacy part of that copay, knowing that

1 they're on a disadvantaged tier and trying to blunt the
2 effect of that third tier formulary placement.

3 So they'd say perhaps, You'll pay \$25 and no
4 more for your copay, and this coupon or card will pick
5 up the rest. And that's a direct arrangement between
6 manufacturer and pharmacy.

7 JUDGE CHAPPELL: Aren't those customer
8 rebates --

9 THE WITNESS: Those are customer rebates.

10 JUDGE CHAPPELL: -- only used when the brand
11 name is trying to blunt competition from a generic for
12 the same type drug?

13 THE WITNESS: Not necessarily, Your Honor.
14 It's also used when -- in competing with other brands.

15 So in this formulary competition, if one of
16 the -- I've seen this happen a lot, right -- if the --
17 the antibiotic, the particular antibiotic, ended up
18 getting a three tier -- a tier three placement so that
19 the copayment was going to be \$50 or \$60, and the
20 coupon says, okay, your copayment will be no more than
21 \$10, that makes it less unattractive for me to be
22 filling a prescription for a tier three drug because
23 it's not going to cost me any more than a tier two
24 drug.

25 So it is blunting some of the effect of the

1 formulary choices, but it doesn't have to be with
2 generics. It absolutely can be with brand-to-brand
3 competition as well and frequently is.

4 JUDGE CHAPPELL: Have you ever seen one of
5 these when it's not a tier three or higher drug, a
6 customer rebate?

7 THE WITNESS: I don't believe I've seen them
8 when it's a tier two drug, because a tier two
9 preferred brand is typically a fairly affordable
10 copayment like \$15, maybe \$20, depending on the drug,
11 and whereas a tier one would be typically about \$5 or
12 so.

13 JUDGE CHAPPELL: I guess what I'm getting at,
14 though, is, are you saying these rebates affect the
15 market, the customer rebate?

16 THE WITNESS: They -- yes, they do. Because
17 they're another way that manufacturers are competing on
18 price to say, well, I'm going to give back some so that
19 the net price to me is going down, but I'm going to try
20 to overcome the incentives that are being created by
21 the formularies.

22 It's not very successful, but it's an attempt,
23 and it helps keep the thing -- keep the competition
24 going.

25 JUDGE CHAPPELL: Let me ask another way.

1 Have you ever seen a rebate being used like
2 this when there's only one brand drug on the market
3 with no competition?

4 THE WITNESS: No. No. It is the hallmark of
5 when there's actually competition.

6 BY MR. McINTYRE:

7 Q. And Dr. Addanki --

8 THE WITNESS: You know, let me back up for a
9 second, Your Honor.

10 The one thing you do see is, for very expensive
11 drugs, cancer treatments, things like that, where the
12 per-dose cost could be, you know, two or three thousand
13 dollars, there are patient assistance programs, where,
14 if you're an indigent patient, you can apply for those,
15 and they will give you the drug for free or at a very
16 nominal price.

17 But I don't view that as a competitive
18 instrument. It's really -- it's really the -- the
19 company is trying, you know, to be good citizens, and
20 I've seen that.

21 BY MR. McINTYRE:

22 Q. Dr. Addanki, we may talk more about this later,
23 but in your review of the discovery record in this
24 case, did you see any evidence that Endo or other
25 manufacturers of long-acting opioids engaged in these

1 types of patient copay programs?

2 A. Yes, I did.

3 Q. And you saw that during the 2009 and
4 2010 period?

5 A. Before and after and during. Yes.

6 Q. Okay. Now, before we proceed, are there any
7 other institutional features of the pharmaceutical
8 industry that we need to be aware of for purposes of
9 analyzing competition?

10 A. Your Honor, at some point we'll want to talk a
11 little bit more about the specifics of brand-generic
12 competition and some of the implications of that, but I
13 think we can talk about that as it comes up in my
14 testimony.

15 Q. Now, let's go ahead and turn to your assessment
16 of the monopoly power in this case.

17 In assessing monopoly power, where do we
18 start?

19 A. Well, a logical place, as I said, and we
20 normally start here in an antitrust case, is with
21 definition of the relevant market.

22 Q. Okay. And how does an economist like yourself
23 approach the market definition or the relevant market
24 in a case like this?

25 A. Well, again, it's always the same basic

1 exercise. We're trying to identify all of the
2 alternatives that act as competitive constraints on the
3 product at issue in the case.

4 In this case, the product at issue is Opana ER,
5 so we're trying to assess what the set of products is
6 to which customers of Opana ER could and realistically
7 would turn in the event of a price increase. That's
8 the exercise we're engaged in.

9 Q. So how do we identify the set of products that
10 customers may view as alternatives to Opana ER?

11 A. Well, given that this is a pharmaceutical
12 product and it's being used to treat conditions, a
13 good starting point is, well, what is Opana used to
14 treat and what other things are used to treat that same
15 condition.

16 And so I would start in a pharmaceutical
17 certainly by looking at the label.

18 Q. Did you review product labels in this case?

19 A. I did.

20 Q. Could we go ahead and put up RX 30. This
21 document is in evidence, and it is not subject to
22 in camera treatment.

23 I'd like you to blow up the left-hand column.

24 First of all, do you recognize this document,
25 Dr. Addanki?

1 A. Yes. This is some -- this summarizes the label
2 and the different information that's part of the -- the
3 label and package insert. And it's telling us
4 basically what the indications are that are approved by
5 the FDA for Opana ER. And if I remember correctly,
6 this is the original label that was approved for
7 Opana ER.

8 Q. And why don't we go ahead and blow up the
9 indications and usage.

10 Can you tell us what, according to this
11 labeling information, Opana ER was indicated for?

12 A. Yes. It's indicated for the relief of
13 moderate to severe pain in patients requiring
14 continuous, around-the-clock opioid treatment for an
15 extended period of time.

16 Q. And you mentioned that this was the original
17 labeling language.

18 What did you mean by that?

19 A. Well, around 2013, if I remember correctly, the
20 FDA harmonized the label information for the
21 long-acting opioid products and made them much more
22 similar to one another. And I think that changed the
23 language of the Opana ER label a little bit.

24 Q. And did you review those other product labels
25 as part of your analysis?

1 A. I did.

2 Q. Did you prepare a demonstrative on this point?

3 A. Yes. There was a demonstrative on this point.

4 Q. Why don't we go ahead and put up RX D-17.

5 And is this the demonstrative that you just
6 referred to, Dr. Addanki?

7 A. Yes, it is.

8 Q. And can you walk us through what this
9 demonstrative is telling us?

10 A. Well, this is a -- simply extracting the same
11 indications and usage information from those label
12 summaries for all of the long-acting opioids shown on
13 this page, and they include Opana ER, Avinza,
14 OxyContin, Exalgo, Embeda and Kadian.

15 Q. And these are all long-acting opioids?

16 A. These are all long-acting opioids.

17 Q. And it appears that all of them were -- have
18 the same or substantially the same language, saying
19 that the products are indicated for the management of
20 pain severe enough to require daily, around-the-clock
21 long-term opioid treatment and for which alternative
22 treatment options are inadequate.

23 Did I get that correct?

24 A. That's correct.

25 And really when I was talking about the change

1 from the previous, the original Opana ER label, it's
2 that last phrase "for which alternative treatment
3 options are inadequate" that apparently was added.
4 That's the main change.

5 Q. And just to be clear, which products have this
6 now standardized labeling language?

7 A. Certainly all the products shown on this page,
8 and I believe there are other long-acting opioids as
9 well that have this harmonized label.

10 Q. Now, are labels sufficient to tell us that
11 these products are substitutes for one another?

12 A. Well, no. We know that off-label use is very
13 much a fact of life in pharmaceuticals, so I would look
14 beyond just the labels. The labels are certainly a
15 convenient and useful starting point, but I think you
16 could get useful information on clinical use from other
17 sources as well.

18 Q. So what other kinds of sources would you look
19 to?

20 A. Well, one of the things one could look to in
21 some therapeutic categories is you have clinical
22 guidelines suggesting how products should be used for
23 the conditions that they're being used for or should be
24 used for.

25 Q. And as part of your analysis in this case, did

1 you review clinical guidelines?

2 A. I did.

3 Q. And did you include those in your report?

4 A. I did.

5 Q. Let's go ahead and put up the exhibit that's
6 been designated as RX 122. This is also in evidence.

7 Do you recognize this document, Dr. Addanki?

8 A. I do. It's a document I cited in my expert
9 report.

10 Q. Now, let's skip to slide 8 of this exhibit.

11 And do you recognize this slide, Dr. Addanki?

12 A. Yes. It's a slide that's actually extracted
13 and put pretty much into -- as it is into my report.

14 Q. Now, how did this slide inform your analysis?

15 A. Well, this is an analgesic ladder from the
16 World Health Organization talking about how the
17 treatment options for pain depend upon the severity and
18 the nature of the pain.

19 And it shows that the molecules, the
20 ingredients in those long-acting opioids, the set of
21 opioids we had looked at on the previous exhibit,
22 Your Honor, on the labels, they're treated as being on
23 the third rung, and they're all there on that third
24 rung, of opioids for moderate to severe pain.

25 So this document suggests to me that, again,

1 the ingredients in those long-acting opioids are
2 regarded as what you use for the most severe kind of
3 pain.

4 Q. Have you reviewed any of the testimony and
5 expert reports offered by medical experts in this
6 case?

7 A. I have. I've reviewed the testimony of
8 Dr. Savage and the expert reports of Dr. Savage and
9 Dr. Michna.

10 Q. And how, if at all, did the medical experts'
11 opinions influence your economic analysis in this
12 case?

13 A. Well, I'm not a clinician, so I rely -- I defer
14 to them for the clinical opinions, but it certainly
15 reinforced the idea that was being brought out by these
16 sources that we've already talked about, that these
17 long-acting opioids are used for much the same purposes
18 and are probably interchangeable.

19 Q. In addition to looking at these sources, have
20 you carried out any independent investigation of your
21 own as to whether these products are in fact used for
22 similar purposes?

23 A. Yes, I have.

24 I was able to get data from IMS, which I
25 gather has changed its name as of a day or two ago,

1 but IMS has been the standard data source for almost
2 anything having to do with the distribution and use of
3 prescription pharmaceuticals, so I got data from them
4 on how these long-acting opioid products were actually
5 being used, meaning, what are the conditions for which
6 they were being used, over the last ten years or so.

7 Q. And did your analysis -- did you reflect your
8 analysis of this data in your report, Dr. Addanki?

9 A. I did.

10 Q. Why don't we go back and take a look at your
11 report. This once again is Exhibit RX 547 and this
12 should be the first tab in your binder.

13 And Robert, let's go to Exhibit 4, which is
14 RX 547.0105.

15 And can we blow up the top of that.

16 Does this chart reflect the analysis you were
17 just referring to, Dr. Addanki?

18 A. Yes, it does.

19 Q. Now, can you walk us through what this table
20 represents?

21 A. Certainly.

22 This is a data set. As I said, it's from IMS.
23 It's called their NDTI, which stands for
24 National Diseases and Therapeutics Index. And it's
25 based on a sample that they have of physicians that

1 they survey every month, and they ask the physicians
2 to list all of the medications that they've prescribed
3 as well as the diagnoses codes, using a standard
4 classification system, for which they have prescribed
5 those medications.

6 And I got these data, limited them to the
7 long-acting opioids whose -- whose generic names are
8 shown in columns (c) through (h) and tabulated over a
9 slightly longer than ten-year period all of the use of
10 those opioid products, long-acting opioid products, by
11 diagnosis code. And just these are just -- so let me
12 walk through a specific line.

13 The first line, the diagnosis code -- and
14 that's called an ICD-9 code. It's a standardized
15 diagnosis system that's used internationally -- 7242 is
16 lumbago, which is lower back pain.

17 And what the number under column (c)
18 9.9 percent shows, Your Honor, is that 9.9 percent of
19 the time that fentanyl or any product containing
20 fentanyl in this long-acting opioid category was
21 prescribed, it was prescribed for lumbago.

22 Likewise, over in column (g), of all the times
23 oxymorphone, which is of course Opana ER or its
24 generics, was prescribed in the sample, 9.25 percent of
25 the time it was prescribed for lumbago.

1 And I did this for all the diagnosis codes -- I
2 had to cut it off at some point because it would have
3 become an extremely long table. I think it's about
4 four pages long as it is -- and I just tabulated it for
5 all these products.

6 Q. And what do you conclude on the basis of this
7 analysis?

8 A. Well, a couple of things really.

9 One is that these products are used for really
10 a staggering number of different diagnosis codes.
11 There's pages of different codes here. Clearly, there
12 are some uses that are more commonplace than others,
13 post-operation pain, lumbago, chronic pain syndrome.
14 And there are others that are used for, you know, just
15 a tiny percentage of the total use.

16 But the striking thing is that all of these
17 products are used to a greater or lesser extent for all
18 of these indications. It's rare to find an indication
19 for which there's no use at all of one of these
20 products.

21 And typically, whenever a product is used for
22 an indication, there are definitely other products
23 being used for the same indication.

24 Q. And what does that tell us, if anything, about
25 the relevant market in this case?

1 A. Well, it tells us again, from a clinical
2 standpoint, there doesn't appear to be any reason why
3 those products would not be interchangeable for one
4 another, because they are being used for many of the
5 same things or virtually all of the same things.

6 The other interesting point is that if you
7 look at the column (g), which is the oxymorphone
8 column, there's no indication for which oxymorphone had
9 any significant use for which there isn't at least one
10 other long-acting opioid available that was also used
11 for the same indication.

12 Q. And just to be clear, are each of the
13 long-acting opioids listed in this table prescribed
14 with the same frequency for every diagnosis code?

15 A. No, they're not. Nor would I expect them to
16 be. But they are all or virtually all prescribed for
17 virtually all of these diagnosis codes.

18 Q. And the fact that they are not all prescribed
19 with the same frequency, does that matter at all when
20 it comes to evaluating whether they belong --

21 (Counsel and witness speaking at the same time
22 and cautioned by court reporter.)

23 BY MR. McINTYRE:

24 Q. And so the fact that these long-acting opioids
25 are not all prescribed with the same frequency, does

1 that matter at all when it comes to evaluating whether
2 they belong in the same product market?

3 A. No, it does not.

4 Q. And why not?

5 A. Well, because what the table is telling us is
6 that they are all in fact used.

7 Now, there may be specific idiosyncrasies
8 suggesting that physicians who prescribe for a
9 particular indication here may, because of habit, tend
10 to prescribe a certain molecule more often, whereas
11 physicians in another specialty where another
12 indication is more commonplace may, for idiosyncratic
13 reasons, have some preference that drive them in
14 another direction.

15 But they're all being used for all the
16 indications overwhelmingly, so again there seems to be
17 no reason why clinically, from the data on use over ten
18 years, that they couldn't be substituting.

19 Q. And does it matter that for certain diagnoses
20 one or more of these long-acting opioids may not be
21 used at all?

22 A. Again, those are rare in this table, but even
23 when they do occur, because they are used
24 interchangeably or at least used in common for the
25 overwhelming majority of these diagnosis codes, it's

1 pretty clear that even if it were not somehow usable
2 for a particular diagnosis code, even if it were true
3 that the lack of use represents some inability to use
4 it for that diagnosis code, the competition for the
5 other diagnosis codes is sufficient to put them in the
6 same relevant market if they in fact compete in that
7 way.

8 Q. We can go ahead and put that one aside.

9 Did you consider any other clinical evidence in
10 your analysis, Dr. Addanki?

11 A. Well, yes. Having studied therapeutic
12 substitution for many years in my work on
13 pharmaceuticals, I'm aware that even when products are
14 used for the same therapeutic purposes, they may not
15 be good substitutes for one another if they have very
16 different risk profiles, so basically, a product that
17 poses a lot of risk to use may not be a great
18 substitute for a product that is relatively not risky
19 to use.

20 So I did go ahead to see if there was any
21 evidence of any striking differences in the risk
22 profiles among these long-acting opioids.

23 Q. And what did you find when you studied the risk
24 profiles of long-acting opioids?

25 A. Well, based on what I learned from the

1 clinicians who testified in this case, I learned that
2 there were no significant such differences.

3 I'm not a clinician myself, so I have no
4 clinical opinion of my own, but what I did do was look
5 at the way these products are regulated. And they're
6 actually regulated by two separate federal agencies,
7 the Drug Enforcement Agency and the Food and Drug
8 Administration.

9 So the DEA recognizes that all long-acting
10 opioids present a significant risk of abuse and
11 addiction, and it puts all long-acting opioids, and
12 certainly all of the products that we're talking about
13 here and more, in their Schedule II so that the -- the
14 DEA does not distinguish in its assignment of products
15 among these, so there's no evidence from the DEA
16 standpoint that there's any product here that has a
17 materially worse risk profile than the others. They
18 all have risks for abuse and addiction.

19 Q. And you mentioned the FDA as well.

20 What do you see there in terms of the FDA's
21 regulation?

22 A. Well, apart from its regulatory role of
23 approving the products, the FDA also institutes or has
24 manufacturers put in place what are called REMS
25 programs, R-E-M-S, which stands for Risk Evaluation and

1 Mitigation Strategies. And it's basically when a
2 pharmaceutical product that's approved for use in the
3 U.S. presents risks in use, it's a structure that's put
4 together to try to manage those risks, and the
5 manufacturers are -- have to sign on to this.

6 And in this case, all of the long-acting
7 opioids we're talking about were under a common REMS
8 program.

9 Q. Was there any other clinical evidence that you
10 considered?

11 A. Nothing else comes to mind.

12 Q. All right. And so taken together, what do you
13 conclude from all of this clinical evidence?

14 A. Well, what the clinical evidence tells us so
15 far, tells me so far, is that the products are
16 indicated for similar use for the treatment of
17 chronic, severe pain that won't respond to other
18 things; they are actually used for very much the same
19 set of indications, and it's a huge set; and there's
20 nothing about their risk profiles that suggest that
21 there would be any impediment to interchanging one for
22 the other except from a therapeutic standpoint.

23 And so there's no clinical impediment that I
24 could find for all of these to be regarded as being in
25 the same relevant economic market.

1 Q. Now, aside from clinical evidence regarding the
2 use of long-acting opioids, what other kinds of
3 evidence did you consider in your analysis?

4 A. Well, to me as an economist, the clinical
5 evidence is important, but the most important evidence
6 is economic evidence. And that, to me, would be
7 evidence about how these different products actually
8 compete with one another in the market, in the
9 marketplace.

10 Q. And what do you mean when you say "actually
11 compete with one another in the marketplace"?

12 A. Well, we talked a little bit earlier about the
13 layers of competition, competition for the physician,
14 competition for the payer, competition even for
15 patients.

16 And when we review the actual and vertical
17 evidence, if we find that the market participants are
18 engaging in competition at all of these levels, that
19 all of the products in this group of long-acting
20 opioids are in fact competing with one another at all
21 these different levels, and that's what the evidence is
22 telling us, then that satisfies me as an economist that
23 they belong in the same relevant market.

24 And if I don't see such evidence, then I have
25 to rethink whether they belong in the same relevant

1 market.

2 Q. So what kinds of evidence did you consider in
3 evaluating competition?

4 A. Well, in different kinds of cases one can
5 consider different kinds of evidence.

6 In the pharmaceutical industry, it's very
7 useful to look at documents and reports being prepared
8 contemporaneously by industry participants in the
9 ordinary course of their business, because if I see
10 repeated mention of either competitive interactions
11 with or even just monitoring a company or product, that
12 tells me that that company or product is likely to be
13 viewed by the one generating the reports or doing the
14 reporting as a significant competitor.

15 Q. So what kinds of documents are we talking about
16 here?

17 A. We're talking about, you know, business plans,
18 strategic documents, competitive analyses, reports of
19 what actually happened in the case of particular
20 opportunities, and so on, again, ordinary course of
21 business documents that are talking about competitors
22 and competition.

23 Q. And you may have just touched on this a bit,
24 but can you help us understand why these documents are
25 useful indicators of the relevant product market?

1 A. Well, again, it's a matter of economics. It's
2 expensive to monitor and track what's going on at
3 another firm or what's going on with another product in
4 the marketplace, and I would not expect people working
5 for a company, say, in the antibiotics space to be
6 monitoring other products and companies potentially in
7 that space unless they represented opportunities and
8 threats, because why waste the resources on tracking
9 and following and monitoring and comparing yourself
10 with someone else who's not in fact representing any
11 kind of opportunity or threat to you. It doesn't make
12 economic sense.

13 MR. MCINTYRE: Now, Your Honor, we're going to
14 have a stretch of the examination here where we look at
15 a number of third-party documents and data that are
16 predominantly subject to in camera treatment. We've
17 attempted to group these all together in one part of
18 the examination so that we don't have to go in and out
19 of an in camera session, but respectfully we would
20 request, Your Honor, that we have an in camera session
21 for this part of the examination.

22 JUDGE CHAPPELL: All right. At this time
23 we're going into an in camera session. I'll need to
24 ask those that are not subject to the protective order
25 in this case to vacate the courtroom. You'll be

1 informed by our bailiff, Lawman, when you can reenter.

2 (Whereupon, the proceedings were held in
3 in camera session.)

4 - - - - -

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1 (The following proceedings were held in
2 in camera session.)
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

(End of in camera session.)

- - - - -

1 (The following proceedings continued in
2 public session.)

3 JUDGE CHAPPELL: We've been going about an hour
4 and 45 minutes. We're going to take our afternoon
5 break. We'll reconvene at 4:40.

6 We're in recess.

7 (Recess)

8 JUDGE CHAPPELL: Okay. We're back on the
9 record.

10 Next question.

11 MR. McINTYRE: Thank you, Your Honor.

12 BY MR. McINTYRE:

13 Q. Dr. Addanki, did you review the testimony that
14 Dr. Noll offered in this courtroom last week?

15 A. I did.

16 Q. And so are you aware, Dr. Addanki, that the
17 University of Pittsburgh Medical Center situation
18 involving long-acting opioids was discussed during his
19 examination?

20 A. I believe it was. Yes.

21 Q. And are you familiar with that situation?

22 A. I am. Actually, I have reviewed documents
23 pertaining to it and cited it in my report.

24 Q. Why don't we go ahead and turn to RX 87.

25 And do you recognize this document,

1 Dr. Addanki?

2 A. I do.

3 Q. And is this the UPMC study that you just
4 described?

5 A. Yes, it is.

6 Q. So Robert, why don't we blow up the leftmost
7 column.

8 And Dr. Addanki, can you please walk us through
9 what this document shows.

10 A. Certainly.

11 Q. And so what was -- I'm sorry. Strike that.

12 What was the study that was done?

13 A. The study that was done was -- so stepping back
14 for a minute, this is the University of Pittsburgh
15 Medical Center, and this is the health plan that we're
16 talking about here, so it is an insurer.

17 And what was done here was, the formulary for
18 the health plan, they removed OxyContin from the
19 formulary and made the formulary such that the only
20 branded long-acting opioid on it would be Opana ER.

21 MR. LOUGHLIN: Your Honor, I can't tell if
22 this is intended to be a response to Dr. Noll or
23 something that's in his report.

24 This was discussed by Dr. Noll in response to
25 cross-examination by respondent's counsel. We did not

1 bring this out.

2 JUDGE CHAPPELL: Is this in his report?

3 MR. McINTYRE: Yes. I believe Dr. Addanki
4 just testified that he discussed this study in his
5 report.

6 JUDGE CHAPPELL: I knew he -- I heard him say
7 he discussed the study, but this document?

8 MR. McINTYRE: This document. Well, I can ask
9 the witness --

10 JUDGE CHAPPELL: Go ahead and lay a foundation,
11 and I'll hold the objection in abeyance until we know
12 more.

13 BY MR. McINTYRE:

14 Q. Dr. Addanki, I believe you testified that you
15 recognize this document?

16 A. Yes. I believe that I've reviewed this
17 document and I've cited this document in my report.

18 Q. Thank you.

19 And I believe you were just discussing the
20 change in the formulary status at UPMC.

21 Can you once again explain for us what the
22 change in formulary status was?

23 JUDGE CHAPPELL: I can see why government
24 counsel was confused, because you started out asking
25 about whether this witness heard the testimony of the

1 government expert.

2 MR. McINTYRE: Uh-huh.

3 JUDGE CHAPPELL: So with that lead-in, I think
4 it was reasonable to anticipate you were talking about
5 what was said by that witness in court.

6 MR. McINTYRE: I understand, Your Honor, and I
7 apologize.

8 JUDGE CHAPPELL: But we're back to his report
9 now; correct?

10 MR. McINTYRE: We are. And I apologize for the
11 confusion.

12 BY MR. McINTYRE:

13 Q. What was the change in formulary status that
14 was described in this study?

15 A. The change in formulary status was that
16 OxyContin was going to go off formulary, it would not
17 be covered, and the only branded long-acting opioid on
18 the formulary would be Opana ER. And there would be
19 morphine sulfate extended release, fentanyl and
20 methadone in generic form covered.

21 Q. And what happened after UPMC instituted these
22 changes?

23 A. Well, so if you go to the middle of this
24 one-pager and blow up what says -- what's titled Subset
25 Analysis Results -- go a little further down below

1 that. It's really the figure 2 I'm focusing on.

2 Thank you.

3 And it talks about there having been, before
4 the formulary change, 1,639 members with a paid claim
5 for OxyContin. And it tracked what happened to that
6 group and found that, after the formulary change,
7 329 remained on OxyContin, presumably for medical
8 reasons, and the other 1,310, representing almost
9 exactly 80 percent of the original 1,639, did not use
10 OxyContin, were not given OxyContin. Of that number,
11 1,142 were given a different opioid and 168 did not get
12 an opioid at all.

13 So the 1,639, 80 percent of them were switched
14 off OxyContin, most of those to another opioid.

15 UPMC also tracked what happened to its costs
16 and found -- and I think this is over I believe on the
17 right, if I remember correctly.

18 Q. Let's take a look at figure 4, please.

19 A. Well, yes, first of all, Exhibit 3 shows a
20 little bit more about what happened to opioid use pre
21 and post. And the darker grayish blue in the post pie
22 chart which has 19.31 percent represents Opana ER, so
23 clearly Opana ER got many of the prescriptions that had
24 been on OxyContin earlier. And it --

25 Q. I'm sorry, Dr. Addanki.

1 What was Opana ER's percentage before the
2 formulary change?

3 A. It looks like 1.62 percent.

4 Q. And then after the change --

5 JUDGE CHAPPELL: Hang on a second.

6 If these pie charts are correct -- and the
7 point of this was moving oxycodone off the formulary;
8 right?

9 THE WITNESS: That's correct.

10 JUDGE CHAPPELL: Well, if this pie chart is
11 correct, if I'm looking at the right color, and if it's
12 the yellow, it only went from 21.9 to 17.1?

13 THE WITNESS: Well, what seems to be happening
14 here is that that is oxycodone ER I'm guessing, which
15 was not the branded OxyContin, Your Honor.

16 JUDGE CHAPPELL: So it was only the name brand
17 that was --

18 THE WITNESS: It was the brand that was taken
19 off formulary, is my understanding.

20 JUDGE CHAPPELL: OxyContin, not oxycodone?

21 THE WITNESS: That's correct. That's certainly
22 what is described and discussed up here.

23 JUDGE CHAPPELL: And can we go back to the
24 screen before that where they showed the numbers, the
25 flowchart?

1 MR. McINTYRE: Sure.

2 Can we go to figure 2, Robert.

3 JUDGE CHAPPELL: I remember this chart from the
4 other witness.

5 So the lower right, 12.83 percent, if I'm
6 reading that correct, almost 13 percent of these people
7 stopped opioids altogether.

8 THE WITNESS: That's correct.

9 JUDGE CHAPPELL: So they probably didn't need
10 it anyway.

11 THE WITNESS: That may well be, Your Honor.

12 JUDGE CHAPPELL: 13 percent.

13 THE WITNESS: May well be, yeah.

14 BY MR. McINTYRE:

15 Q. And I believe you mentioned a moment ago,
16 Dr. Addanki, that the switch in the formulary status of
17 these drugs had an effect on UPMC's costs. Did I get
18 that right?

19 A. Yes.

20 So that's tracked in figure 4.

21 Q. And so what are we looking at here?

22 A. And it actually tracks, for the patients who
23 did not remain on OxyContin, it's tracking what
24 happened to them -- what will happen to the total
25 costs, both the opioid part of the cost and the medical

1 cost, the total medical cost. And it shows that the
2 costs went down for that group of patients when they
3 were switched off OxyContin.

4 Q. And do you recall what the authors of this
5 study concluded about -- I'm sorry. Go ahead.

6 A. It concluded that they could effectively
7 switch -- they could remove OxyContin from the
8 formula -- formulary -- pardon me -- effectively switch
9 patients away from OxyContin, in large part, and
10 actually save money in the process.

11 Q. And so what do you as an economist infer from
12 these events?

13 A. Well, this is entirely consistent with
14 everything else I've been talking about, the evidence
15 I've seen, suggesting that there was economic
16 substitution going on because there was competition
17 via pricing, the rebates, to the payer layer of this
18 market, the industry, and that competition for
19 formulary coverage was in fact economic substitution.
20 And this is another instance of an insurer describing
21 its experience with implementing a formulary change and
22 tracing through the consequences and effects.

23 Q. So, Dr. Addanki, we've now looked at a document
24 showing some specific situations showing evidence of
25 competition for formulary placement.

1 Did you also analyze any data on formulary
2 coverage to see if it was consistent with the evidence
3 we just discussed?

4 A. Yes, I did.

5 I analyzed data that are available from
6 another data syndication company called MMIT, which
7 actually tracks the formulary treatment of
8 pharmaceutical products by commercial insurers and
9 Medicare insurers and reports those data to those who
10 are willing to subscribe to it.

11 Q. And do you report the results of your analysis
12 in your report?

13 A. I do.

14 Q. Let's turn back to your report.

15 Once again, this is Exhibit RX 547. And we're
16 going to start with Exhibit 7A, which is 547.114.

17 And does this chart -- is this one of the
18 charts you produced in conducting the analysis you just
19 described?

20 A. Yes, it is.

21 Q. And could you please walk us through what this
22 chart -- what it conveys.

23 A. Yes, I will.

24 And Your Honor, this is actually a -- this is
25 actually quite complicated, so I'm going to take my

1 time to explain this carefully.

2 MMIT is this Managed Markets Insights and
3 Technologies, that outfit, and what they do is they
4 actually track what commercial and Medicare payers are
5 doing with their formularies. And they report on the
6 number of lives being covered by those plans.

7 The plans that they track account for some very
8 high percentage. I forget the exact number, but it's
9 in the high nineties or in the mid-nineties, of covered
10 lives in the United States, so they've got pretty good
11 coverage, MMIT.

12 And what they report on is specific formulary
13 treatment of all the pharmaceuticals on the formulary.

14 Now, I got the data for the long-acting
15 opioids. And what I've shown here is how the
16 formularies distinguish among the long-acting opioids
17 in their formulary treatment. And I've shown it,
18 because I think it's the most sensible way to show
19 these data, by each bar represents the percentage of
20 covered lives represented by the plan that has the
21 treatment that I'm describing here.

22 So let me start with the first bar for Avinza.

23 I actually divide up what the formularies do
24 with Avinza into five categories of either Avinza is
25 treated by the plan just the same as every other LAO --

1 and that's the bottom dark blue subsegment. And
2 obviously, that is going to be equal for all the
3 products because that's symmetric equal treatment for
4 all the products by that proportion of the plans
5 representing about 14 percent of the covered lives.

6 The red sub-bar is talking about the covered
7 lives represented by the plans that treat in this case
8 Avinza as being the most preferred brand. And what we
9 mean by -- what I mean by that is that there is no
10 brand preferred to Avinza by that -- by the plans
11 accounting for that -- I don't know -- 15 to --
12 55 percent or so of lives. But there are brands less
13 preferred than Avinza on the formulary.

14 And of course, Your Honor, this preferred we're
15 talking about has nothing to do with medical
16 preference. It just has to do with the formulary tier,
17 the preference in terms of the economics that the plan
18 is imposing.

19 Likewise, the green sub-bar is saying that for
20 Avinza, about 25 percent or so of the covered lives
21 represented by plans that had Avinza in the second
22 position, which means there was one brand, possibly two
23 brands, sharing a position that was better in the
24 formulary than Avinza's, but they may have been brands
25 in lower positions.

1 Correspondingly, the orange sliver indicates
2 Avinza is for being in the third position, meaning
3 there were brands that were in at least two positions
4 better than Avinza. And as I said, that's a sliver.

5 And then the final piece for this chart is
6 those plans where they required prior authorization,
7 meaning, if you walked in with a prescription to the
8 pharmacy, it was not going to be covered. It had to be
9 authorized ahead of time through some review process by
10 the plan.

11 So that's what's been done for each of the
12 products shown here, long-acting opioids, Avinza,
13 Embeda, Exalgo, Kadian, Opana ER and OxyContin.

14 Q. Now, is this analysis restricted to branded
15 long-acting opioids?

16 A. It's not just restricted to branded
17 long-acting opioids, it's restricted in this chart to
18 branded long-acting opioids that did not have an
19 AB-rated generic available at the time of this chart,
20 June 2010.

21 Q. And why did you limit the analysis in that
22 way?

23 A. Well, this goes back a little bit to what I
24 was saying earlier about brand and generic
25 competition, Your Honor. And if any of these products

1 has an AB-rated generic product available, say
2 OxyContin happened to have one available, well, we
3 know exactly what's going to happen to OxyContin.
4 OxyContin is going to go to tier four or blocked or not
5 covered on the formulary, and oxycodone, the AB-rated
6 generic, will go to tier one.

7 We know that happens. That happens very
8 predictably. And the reason it happens, of course, is
9 that if there's going to be oxycodone used by members
10 of the plan, the plan wants to drive the prescriptions
11 to the generic oxycodone. And that's just the way the
12 institutional structure of this market works.

13 Now, we could put that in, and if there were
14 AB-rated generics, they would always be at tier one,
15 and all we'd be doing is adding another layer or
16 another bar here or another few bars here.

17 But if we're asking the question what's the
18 evidence about competition based on price among the
19 molecules involved in this long-acting opioid market
20 and we say, well, Avinza and Embeda, Exalgo, Kadian,
21 Opana ER and OxyContin all represent certain molecules
22 and delivery mechanisms, when you put them on a equal
23 footing in the competition, then it's very easy to see
24 what's going on with the formularies.

25 I could absolutely put in the generics, but as

1 I said, I know what's going to happen. Generics are
2 going to be on tier one uniformly or virtually
3 uniformly, and any brand that has a generic -- so if I
4 put MS Contin on here, for instance, I know exactly
5 where it would be. It would be the least preferred
6 brand or prior authorization or even NDC blocked, just
7 not covered. And the generic MS Contin ER -- pardon
8 me -- morphine sulfate ER would be on tier one. We
9 know that.

10 It doesn't actually tell us anything about how
11 the competition at the payer level is going on because
12 that's not what's going on where when the -- the
13 manufacturers go in and make their offers to these
14 payers.

15 So as I said, the chart is difficult enough to
16 look at as it is, I could have added that further
17 complication, it wouldn't have changed anything, but we
18 would still be focusing on the same things, when these
19 products are competing on an equal footing, what's
20 going on.

21 Q. Going back to the slide, what conclusions can
22 you draw from your analysis?

23 A. Well, it's very clear from the way that these
24 different bars look, apart from the blue bars being,
25 obviously, by construction the same across all the

1 products because they represent the lives on the plans
2 that treat all the products exactly the same, the other
3 stacks are very different.

4 And if you just focus for a minute on, say,
5 Opana ER and OxyContin, which is the biggest brand in
6 the market, you see that more covered lives -- there
7 were plans accounting for more covered lives treated
8 OxyContin as their most preferred brand than treated
9 Opana ER as their most preferred brand.

10 Likewise, more plans -- and I think it's the
11 plans accounting for more covered lives -- treated
12 Opana ER as the second most preferred brand than
13 treated OxyContin as the second most preferred brand.

14 If you compare Opana ER and Exalgo, Opana ER
15 was regarded as preferred by plans that accounted for
16 many more lives than Exalgo was. And Exalgo was given
17 second place much more often than Opana ER was.

18 So you've got a variety of choices being made
19 by formularies represented in these charts where the
20 products are all being given, treated differently by
21 different plans, and so there's a lot of diversity in
22 the outcomes that you see from the formulary
23 competition.

24 Q. Thank you.

25 Can we switch to the very next exhibit, 7B.

1 And the last one we were looking at was
2 commercial plans; right?

3 A. That's correct.

4 Q. And is this a similar analysis of Medicare
5 plans?

6 A. This is a similar analysis for the Medicare
7 Part D plans.

8 And again, you see that there's substantial
9 variation in what's going on, a somewhat smaller, but
10 not much, percentage of the lives represented by plans
11 that treat all the products the same. But once you get
12 beyond those, there's actually a mild preference on the
13 Medicare plans for Opana ER over OxyContin. And Exalgo
14 and Embeda get very little coverage as the first
15 choice, the first preferred brand. Kadian has a
16 substantial amount of kind of first preferred
17 location.

18 So, again, you see the same picture of this
19 competition is playing out differently at different
20 plans, so different plans are making different choices
21 about what's going to come first, second or third.

22 Q. Did you do any other analyses using the MMIT
23 data?

24 A. I did a couple of things.

25 One thing I did was -- this is a snapshot at

1 the time of the settlement that's at issue in this
2 case, June 2010.

3 I also looked at how these formulary statuses
4 for the different products changed over time. And in
5 particular, we can focus -- because there's a lot of
6 them, we can focus on the ones I did for Opana ER over
7 OxyContin.

8 Q. Why don't we go ahead and pull up Exhibit 9I.
9 This is page 126 of Dr. Addanki's report.

10 Is this one of the analyses you just
11 described?

12 A. Yes, it is.

13 So here what I'm talking about, Your Honor, is
14 for Opana ER for commercial plans specifically, going
15 from 2007 to 2008 -- and that's what the first bar
16 represents -- what proportion of plans, as measured by
17 the percentage of covered lives that they account for,
18 changed the status of Opana ER going from '07 to '08,
19 and it turns out that about a third of the covered
20 lives represented by plans that changed the status of
21 Opana ER, of which a somewhat higher proportion made
22 it more preferred on their formulary than the
23 proportion that made it less preferred on the
24 formulary.

25 You had less churn -- this is churn meaning

1 sort of changing, switching around in formulary
2 positioning -- less of that going on in '09. It kind
3 of ticks back up in 2010.

4 In 2011, apparently they did well with managed
5 care formularies because of the 22 or so percent of
6 lives represented by plans that changed Opana ER's
7 status on the formulary, many more of them were
8 positive changes for Opana, made it a better position.
9 Opposite happened in 2012.

10 So this is telling us that in the commercial
11 plans on Opana ER there was a lot of movement going
12 on. There was movement going on in the formulary
13 placement.

14 And when we look back at the evidence that I
15 described on the efforts that were being made and the
16 recognition on Endo's part that it needed to be
17 competing for the formularies, you can see that this is
18 the effects, this is the results of not just Endo's
19 competitive efforts but all the other LAO suppliers'
20 competitive efforts. And yes, to be sure, we're
21 talking about the branded LAO suppliers here.

22 Q. Let's turn to Exhibit 9J, the very next page.

23 And is this essentially the similar analysis
24 but with Medicare plans?

25 A. That's correct.

1 Q. And do we see the similar degree of churn
2 here?

3 A. You actually see somewhat more churn because,
4 instead of being around 20 to 30 percent of the
5 covered lives having changes in Opana's status, you
6 see 40 to 45 percent, so there's more -- more action,
7 more activity going on in terms of the changes. Or it
8 could just be that the plans that were changing
9 represented a much bigger proportion of the covered
10 lives under Medicare Part D.

11 And again, you see sometimes they were making
12 Opana more preferred, and in 2012 Opana became
13 substantially less preferred for 30 percent of covered
14 lives.

15 Q. And what about OxyContin? Did you perform a
16 similar analysis to see if there was churn in the
17 formulary treatment of OxyContin?

18 A. I did.

19 Q. Let's turn to Exhibit 9M. This is page 130 of
20 Dr. Addanki's report.

21 And is this -- does this chart reflect the
22 analysis that you performed of OxyContin?

23 A. Yes, it does.

24 Q. And what does this tell us about competition?

25 A. And again on commercial plans you had about

1 20 percent of covered lives going from '09 to
2 2010 changing over -- excuse me -- changing status for
3 OxyContin. The plans were changing status, formulary
4 status. And more than half the time OxyContin was
5 becoming less preferred, although it was becoming more
6 preferred for about 7 percent of the covered lives,
7 7 percent or so.

8 In 2011 you had somewhat less churn; in
9 2012 you had more. And overwhelmingly in 2012,
10 OxyContin, when it changed, became less preferred.
11 That was about 20 percent of the covered lives for
12 which it became less preferred.

13 Q. And when you said when OxyContin changed, what
14 were you referring to?

15 A. I'm referring to the status on the
16 formularies, for those formularies that changed
17 OxyContin's status, what happened, how many covered
18 lives did they represent as a percentage of the total
19 and what happened.

20 Q. And Dr. Addanki, have you reviewed the rebuttal
21 report that Dr. Noll offered in this case?

22 A. Yes.

23 Q. And are you familiar with the criticisms that
24 he posed in that report?

25 A. I am.

1 Q. Did Dr. Noll criticize you for failing to
2 account for the fact that Avinza, Kadian and Embeda are
3 all based on morphine sulfate?

4 A. Yes, he did.

5 MR. LOUGHLIN: Objection, Your Honor. This
6 discussion of Dr. Noll's points in the rebuttal report
7 are not in Dr. Addanki's report.

8 MR. McINTYRE: Well, I don't know how
9 Dr. Addanki could have preemptively responded to points
10 that were made in Dr. Noll's rebuttal report.

11 MR. LOUGHLIN: Exactly the point, Your Honor.

12 MR. McINTYRE: If I'm not mistaken, I believe
13 that we had a similar debate this morning?

14 MR. LOUGHLIN: Well, you weren't here, but I
15 don't think so.

16 JUDGE CHAPPELL: Is this about information that
17 came out at trial or was in the written report?

18 MR. McINTYRE: The written report, Your Honor.

19 JUDGE CHAPPELL: I think my ruling on this is,
20 a witness on the stand can respond to criticisms in the
21 rebuttal report if that witness hasn't had a chance to
22 file a written response.

23 MR. LOUGHLIN: I understood your ruling this
24 morning to be that a witness on the stand can respond
25 to something that another witness said in the witness

1 chair so long as it was not in the rebuttal report.

2 JUDGE CHAPPELL: That's today.

3 MR. LOUGHLIN: That's today.

4 JUDGE CHAPPELL: I think it was yesterday we
5 had a situation where -- I don't know what day it was
6 anymore. They're running together -- where someone was
7 criticized in your expert's rebuttal report and that
8 person hadn't had a chance to respond. And to ask a
9 witness on the stand if they have a response to what
10 was the criticism, I allow the response, but I don't
11 allow a new opinion.

12 MR. LOUGHLIN: My recollection is the
13 opposite, Your Honor, that last night, when this came
14 up, you said no, if it's not in the report, it's not
15 coming in.

16 JUDGE CHAPPELL: I said no new opinions.
17 That's always been my rule. No new opinions are
18 allowed. But I allow response of criticism while
19 someone is here.

20 I think I used words like it is unacceptably
21 unfair not to allow an expert to respond to criticism.
22 Do you recall that?

23 MR. LOUGHLIN: That was this morning,
24 Your Honor. I do recall that. And then you and I had
25 a subsequent discussion about what that meant.

1 JUDGE CHAPPELL: Well, when you were asking me
2 for clarification, I thought you were talking about
3 the testimony that came out in trial. But I've always
4 said that a witness can respond to something in a
5 rebuttal report because the cutoffs don't allow them to
6 do anything in writing.

7 No new opinions, but can respond to criticism
8 in the rebuttal report, that's allowed. And if that's
9 your objection, it's overruled.

10 MR. LOUGHLIN: So what is the difference
11 between a response and an opinion, Your Honor?

12 JUDGE CHAPPELL: An opinion is coming up with a
13 new idea. A response is defending yourself when
14 someone said you're wrong, you're an idiot, you're a
15 fool, you're wrong because. A response is not the same
16 as a new opinion.

17 You're sticking to your same opinion, I assume,
18 if you're an expert, but you're responding to what
19 someone has said to criticize your opinion. That's not
20 a new opinion. Two different things.

21 MR. LOUGHLIN: Just so I'm clear, we're all
22 clear, what we're going to hear has never been heard
23 before by complaint counsel.

24 JUDGE CHAPPELL: Whether you heard it or not is
25 of no import to me, sir. What's important to me is,

1 someone can respond to criticism of their opinion if
2 they've not had a chance to do that before now.
3 They're not allowed to offer new opinions, but they're
4 allowed to respond to criticism of their opinion.

5 MR. LOUGHLIN: All right. I just want to make
6 sure we all understand what's going on here,
7 Your Honor. Thank you.

8 MR. McINTYRE: Thank you.

9 BY MR. McINTYRE:

10 Q. Dr. Addanki, what is your response to
11 Dr. Noll's criticism that your analysis of the MMIT
12 data failed to account for the fact that Avinza, Kadian
13 and Embeda are all based on morphine sulfate?

14 A. It is not a valid criticism because -- for two
15 reasons.

16 One, my analysis in the series which starts
17 with the number 9 of the exhibits -- and we looked at
18 some of them just now -- which track changes in
19 formulary status don't depend on how you treat the
20 morphine sulfate products. We're already talking about
21 the status of Opana ER or OxyContin or other -- each
22 other product we're talking about.

23 Moreover, if you do combine the morphine
24 sulfate products that are branded morphine sulfate
25 products and treat them as one monolithic product,

1 you're still going to see the formulary variation and
2 the churn that I'm talking about in these exhibits, so
3 it's not a criticism that actually affects the outcome
4 of my analysis in any way and certainly doesn't change
5 the conclusions one can draw from it.

6 MR. LOUGHLIN: Your Honor, I move to strike the
7 part on -- after "Moreover." That seems to be a new
8 opinion about combining products.

9 MR. McINTYRE: Your Honor, if I may.

10 JUDGE CHAPPELL: Go ahead.

11 MR. McINTYRE: Can I attempt to establish a
12 foundation within Dr. Addanki's report to support the
13 response that he just gave?

14 JUDGE CHAPPELL: He doesn't get to proffer new
15 opinions. That's the rule.

16 MR. McINTYRE: I understand, Your Honor.

17 JUDGE CHAPPELL: And if that's a new opinion,
18 it's not going to be considered.

19 MR. McINTYRE: I understand.

20 JUDGE CHAPPELL: And when we get to posttrial
21 briefing, the parties are going to point out. If this
22 is an opinion that's not in his report, it won't be
23 considered.

24 To that extent, the objection is sustained.

25 MR. McINTYRE: The point I was trying to make,

1 Your Honor, is that I believe this opinion is reflected
2 within the four corners of Dr. Addanki's original
3 report.

4 JUDGE CHAPPELL: If it's in his report, that's
5 a different issue.

6 MR. McINTYRE: Okay. I can attempt to
7 establish foundation within his report, Your Honor.

8 JUDGE CHAPPELL: All right.

9 BY MR. McINTYRE:

10 Q. Let's turn to Exhibit 8A in Dr. Addanki's
11 report, and this is RX 547.116.

12 And Dr. Addanki, does this in any way speak to
13 the criticism we just discussed from Dr. Noll?

14 A. What Exhibit 8A does is it expresses the
15 formulary status of each of the products shown
16 relative to the formulary status of Opana ER.

17 The -- certainly when one looks at a product
18 that isn't based on morphine sulfate, one can make
19 reasonable inferences about the relative formulary
20 status. If some of these changes that happened over
21 time in -- pardon me. The -- as to whether that
22 criticism would affect this or not, it would appear
23 different if one combined these products, but the
24 conclusions one could draw from it would be the same.

25 Q. And to be clear, what are those conclusions,

1 Dr. Addanki?

2 A. That there is churn, there are differences in
3 the way these formulary competitions play out in terms
4 of the formulary positioning that's given by different
5 plans, which is entirely consistent with there being
6 and is evidence of there being competition at the
7 formulary stage at the payer level.

8 Q. Now, Dr. Addanki, we spent lot of time today
9 reviewing various business documents as well as several
10 portions of your report.

11 Taken together and stepping back for a bit,
12 what does all of this evidence tell you as an economist
13 about the relevant market in this case?

14 A. I think it's very clear that the evidence that
15 we've been looking at and that I've been talking about
16 points to the relevant market being no smaller than
17 the market for long-acting opioids in the
18 United States.

19 Q. You testified earlier that you have reviewed
20 both the original report and the rebuttal report of
21 Dr. Noll, so I take it you are aware, Dr. Addanki, that
22 Dr. Noll reaches a very different conclusion about the
23 relevant market?

24 A. I am.

25 Q. And just so we're all on the same page, what

1 conclusion does he reach regarding the relevant market
2 in this case?

3 A. Dr. Noll concludes that it is a relevant market
4 for oxymorphone ER, which would be Opana ER and generic
5 oxymorphone ER.

6 Q. And do you recall that one of the bases for
7 Dr. Noll's opinion on the relevant market has to do
8 with certain clinical differences that he says exist
9 between Opana ER and other long-acting opioids?

10 A. I do.

11 Q. And based on your review of the evidence, do
12 you agree that these ostensible clinical differences
13 between Opana ER and other long-acting opioids are
14 economically significant?

15 A. I'm not a clinician, but the clinical evidence
16 I've reviewed suggests that they are not major. I've
17 certainly heard evidence from the clinicians in this
18 case that they were not major clinical differences.

19 But for me as an economist the far more
20 important question is not whether there were clinical
21 differences at all or not but did those clinical
22 differences serve to prevent competition, economic
23 competition, and effective economic competition among
24 all of these products. And the evidence I've seen
25 overwhelmingly indicates no.

1 Q. And do you recall that another basis for
2 Dr. Noll's opinion about the relevant market is that he
3 says switching costs are sufficiently high that other
4 long-acting opioids are not effective substitutes for
5 Opana ER?

6 A. Yes. I'm aware of that. And once again, the
7 evidence we've reviewed tells me that Dr. Noll is wrong
8 for a few reasons.

9 One, as we've heard from the clinicians,
10 switching can and does occur, and switching can and
11 does occur in response to economic forces, such as
12 formularies.

13 Second, as I pointed out when reviewing some of
14 the documents here in court today, there are plenty of
15 new patients starting opioid therapy each month, and
16 clearly for new patients there's no question of
17 switching costs. And indeed, we saw that Endo was
18 concerned about not getting adequate shares of new
19 patient starts on opioid therapy.

20 And finally -- and also we saw that UPMC was a
21 situation where an insurer did the experiment and
22 reported on the result and had no problem switching
23 patients and actually saving money.

24 And finally, the totality of the evidence
25 we've looked at, if there were prohibitive switching

1 costs, you wouldn't see the efforts by managed care
2 and by manufacturers responding to managed care to be
3 getting the best terms possible for the most favorable
4 position on the formulary because that underscores, as
5 I'd said earlier, the fact that -- when you see that
6 happening, that underscores that economic substitution
7 is in fact taking place, so whatever the switching
8 costs were, they were not an impediment to economic
9 substitution. And that's what counts.

10 Q. Thank you.

11 Now, Dr. Noll has also suggested that the
12 relevant market is limited to oxymorphone ER because,
13 among other things, when Impax' generic oxymorphone
14 product entered the market in January 2013, it took
15 sales away from Endo's reformulated Opana ER but
16 ostensibly did not take sales away from other
17 long-acting opioids.

18 Do you recall that, Dr. Addanki?

19 A. I do.

20 Q. And as an economic matter, do you agree with
21 that analysis?

22 A. I'm not aware of any analysis, econometric or
23 statistical analysis, that Dr. Noll did to support his
24 conclusion that that is in fact what happened. It's
25 impossible to tell, looking at a picture of aggregate

1 sales of products, what was actually going on as far as
2 switching among products was concerned.

3 I have studied switching among products in
4 response to market events, and it's not easy to do.
5 One needs a great deal of data, which are frequently
6 not available.

7 So there's no such study. And in contrast,
8 we've got very substantial evidence of switching, of
9 competition, price-based competition that leads to
10 switching through formulary coverage, so it seems to
11 me that when I look at the weight of the evidence, I
12 don't see any compelling evidence that there was any
13 lack of competition between Opana ER and any of the
14 other LAOs.

15 Q. Now, I believe Dr. Noll has also opined that
16 there is no price competition, or words to that
17 effect, between Opana ER and other long-acting
18 opioids.

19 Do you agree with that assessment?

20 A. No. I think it's entirely contradicted by the
21 evidence we've been talking about. Competition at the
22 patient level and at the payer level are price
23 competition, and we've seen plenty evidence of that,
24 I've seen plenty evidence of that.

25 Q. Now, now that we've talked about your opinion

1 regarding the relevant market in this case, let's turn
2 to monopoly power.

3 First of all, in your view, did Endo possess
4 monopoly power in Opana ER?

5 A. It did not.

6 Q. And why not?

7 A. Well, Endo's share of the relevant market, the
8 long-acting opioid market in the United States, never
9 even reached 10 percent. With less than 10 percent
10 market shares, it's simply inconceivable that a
11 product could command monopoly power. It just can't
12 happen.

13 Q. And did you prepare a chart or another exhibit
14 in your report identifying Endo's market share of
15 Opana ER through the relevant period?

16 A. I did.

17 Q. Let's turn back to your report, Exhibit 10.
18 This is 547.132.

19 Is this the chart that you were just referring
20 to?

21 A. Yes, it is.

22 Q. And if you could please walk us through what
23 this is depicting.

24 A. This is a tabulation and then a chart,
25 Your Honor, of the long-acting opioid marketplace where

1 I've combined all of the brand and generic products
2 that use a particular active ingredient, so those are
3 fentanyl, hydromorphone, morphine sulfate, oxycodone,
4 oxymorphone and tapentadol.

5 JUDGE CHAPPELL: Do you have anything more
6 recent than January 2013?

7 THE WITNESS: Do I have any more recent? Not
8 in my report, Your Honor.

9 I don't believe. I can check that.

10 JUDGE CHAPPELL: I mean, I saw charts earlier
11 that were cutting off in 2010. This one is
12 January 2013. We're now in 2017.

13 THE WITNESS: Right.

14 I believe there are charts that are in the
15 record that go further out, Your Honor. I had stopped
16 this chart at the time of the generic entry that
17 actually occurred by Impax as being the period -- the
18 date at which, you know, there was -- if Impax was in
19 fact doing anything to improve market conditions
20 through its entry, that happened in January 2013, so
21 this stops there.

22 But I'm fairly sure we have the data, and I
23 believe there may be charts in the record, too.

24 BY MR. McINTYRE:

25 Q. And so I believe you may have touched on this

1 earlier, but if Endo did not possess more than
2 10 percent of the market during the period depicted
3 here, is there any way that it could have exercised
4 monopoly power?

5 A. Absolutely not, no.

6 And as you can see, it doesn't even get close
7 to 10 percent in the sense of not being almost 10 or
8 any other kind.

9 JUDGE CHAPPELL: But are you -- is this your
10 opinion as of January 2013? Is there a time period on
11 your relevant product market definition?

12 THE WITNESS: So I've not seen any evidence
13 that the product market changed after that, Your Honor,
14 but I believe there was a cutoff on document
15 production, so I don't believe I've reviewed a lot of
16 material that was much later than that, than the
17 2013-2014 time frame.

18 JUDGE CHAPPELL: I mean, it's your opinion.
19 I'm asking you --

20 THE WITNESS: No. I understand.

21 JUDGE CHAPPELL: -- are you saying --

22 THE WITNESS: And I'm just trying -- I'm trying
23 to sort of think through the answer.

24 I don't believe that anything has changed
25 materially in the long-acting opioid marketplace, but

1 it's true that the majority of the evidence that I've
2 reviewed pertains to the period from the time -- from
3 before the time of the settlement to about a little
4 after the time of Endo's -- pardon me -- Impax' entry.

5 JUDGE CHAPPELL: So your relevant product
6 market definition is for what time period?

7 THE WITNESS: It's for the time period through
8 that early 2013 time frame.

9 BY MR. McINTYRE:

10 Q. Dr. Addanki, why would you focus on the period
11 before and after settlement agreement for your economic
12 analysis?

13 A. Because what we're concerned about is if there
14 was -- as I said at the outset, the test for the
15 competitive effects is, first, was there monopoly power
16 being exercised by Opana ER. And that would be
17 monopoly power that existed at the time of the
18 agreement that was going to be somehow preserved,
19 maintained, because of the agreement, in a way that it
20 wouldn't have but for the agreement.

21 And if we believe that there was monopoly
22 power, either we assume it or we find it, then the
23 question is did the agreement, the settlement
24 agreement, in any way impede the dissipation of that
25 monopoly power.

1 And as the settlement provides for an entry
2 date in January 2013, there's really a question of was
3 there any monopoly power through that time, and I
4 think that is really all I need for purposes of my
5 analysis.

6 Q. And do you recall, Dr. Addanki, that in his
7 opening report Dr. Noll describes what he calls various
8 direct tests for monopoly power?

9 A. I do.

10 Q. And do you agree that Dr. Noll has directly
11 tested for monopoly power?

12 A. No, I do not. I don't believe that actually
13 any of his tests constitutes a meaningful test for
14 monopoly power.

15 Q. Now, let's go through them one by one.

16 First, are you aware -- do you recall
17 Dr. Noll's opinion that the -- a high Lerner Index --

18 JUDGE CHAPPELL: Hold on a second.

19 I'm hearing -- I don't know if it's intentional
20 or by mistake or -- I would like for the record to be
21 clear. I'm hearing "market power." I'm hearing
22 "monopoly power."

23 Can you clarify what the witness' opinion is,
24 is it the same thing, is it different, and are you
25 mistakenly using one or the other. I'm not sure, but

1 I'm seeing both in the record.

2 MR. McINTYRE: I apologize, Your Honor. My
3 intention has been to use the term "monopoly power,"
4 but I can ask the witness whether and to what extent we
5 should distinguish between those terms.

6 JUDGE CHAPPELL: Well, I've heard the witness
7 use both terms, so why don't you clarify.

8 Because if the record isn't clear, nothing is
9 going to help us. Whether it's for you or against you,
10 it needs to be clear.

11 MR. McINTYRE: Thank you, Your Honor. I will
12 try to clarify.

13 BY MR. McINTYRE:

14 Q. Dr. Addanki, can you describe how you view the
15 terms "monopoly power" and "market power."

16 A. I should make the statement very clearly on
17 the record, Your Honor, that I am speaking about
18 monopoly power, and if the words "market power" show
19 up for some reason, those are entirely unintentional.
20 I'm referring to monopoly power.

21 And if I may, the reason I'm doing that is
22 because economists, my profession, has done all of us a
23 bit of a disservice by using "market power" in a very
24 loose way.

25 So from the antitrust standpoint, as I've

1 explained earlier, what we care about is the power of a
2 firm to harm consumers by restricting output or doing
3 something else to prevent consumer benefit from
4 obtaining in a marketplace.

5 That is a very specific kind of power that I
6 would refer to as monopoly power.

7 What's confused the matter is that market power
8 is sometimes referred to simply when there's a price
9 over marginal cost, so when a price is higher than
10 marginal cost, in a trivial sense, a firm has
11 "market power."

12 But none of the antitrust scholars who write
13 on the subject in economics confuse those two
14 different concepts. You can have a price -- and we're
15 just about to talk about that actually -- you can have
16 a price above marginal cost and have absolutely no
17 monopoly power, because you have no power to -- to do
18 things in the marketplace to the detriment of
19 consumers. You just happen to have a high margin
20 because you have a lot of costs you need to cover to
21 remain in business.

22 And I believe, Your Honor, we're just about to
23 talk about that.

24 But I intend only to be speaking of monopoly
25 power because that's the appropriate test for whether

1 or not an agreement or arrangement even could be
2 anticompetitive in its effect.

3 Q. And to clarify, if we -- if a defendant in an
4 antitrust case does not have monopoly power, is it
5 possible that a settlement agreement such as the one at
6 issue here could be anticompetitive from an economic
7 standpoint?

8 A. Well, as long as you restrict it to an
9 antitrust case such as this, an analysis under the
10 rule of reason of, say, a contract or a settlement
11 agreement, that's absolutely correct, that it cannot be
12 anticompetitive in effect if there is no monopoly power
13 being exercised or preserved.

14 Q. And was this the monopoly power screen that you
15 referred to earlier?

16 A. That's exactly right.

17 Q. Now, you just talked about --

18 A. And I just want to mention that --

19 Q. Go ahead.

20 A. -- I don't believe that your -- the
21 government's expert and I have a disagreement on this
22 subject either. I think we both agree that the
23 monopoly power screen is the necessary first step.

24 Q. Dr. Addanki, what is a Lerner Index?

25 A. Your Honor, the Lerner Index is simply another

1 word for gross margins. It is the gross margin being
2 earned by a firm on its sales.

3 Q. And do you agree with Dr. Noll that a high
4 Lerner Index is indicative of monopoly power?

5 A. No, I do not. I do not.

6 And frankly, if Dr. Noll really believes that,
7 he's at odds with what economists have known for
8 decades, which is that high gross margins or high
9 Lerner Indexes actually tell you nothing at all about
10 monopoly power. And that's because, Your Honor, there
11 are plenty of industries in which the way costs are
12 incurred by firms, most of the costs are fixed.

13 A very commonplace example in all our
14 experience is the software industry. All of the costs
15 of developing a piece of software are upfront costs.
16 The marginal cost of selling another unit of software,
17 today especially, is essentially zero. The costs of
18 maintaining and upgrading software are fixed in the
19 sense that they don't depend on how many units you
20 sell.

21 So you've got a lot of fixed cost, virtually no
22 marginal or variable cost. You've got astronomical
23 gross margins, astronomical Lerner Indexes. But that
24 doesn't mean that any of the thousands of app
25 developers out there or certainly all of the app

1 developers out there have monopoly power. That just
2 doesn't make any sense, as antitrust economists have
3 recognized for decades.

4 The basic problem with the use of the
5 Lerner Index, if I may just explain a little further,
6 is that it implicitly assumes that the competitive
7 benchmark price is represented by marginal cost. And
8 that just simply cannot be right in the real world in
9 most industries.

10 It may be useful as a textbook case or a
11 pedagogical example in a classroom, but it's no use at
12 all in analyzing real-world industries where there are
13 substantial fixed costs that need to be covered. And
14 again, antitrust economists and scholars have noted
15 this for a long time.

16 JUDGE CHAPPELL: There was that term
17 "real-world" again, been hearing that a lot lately.

18 Go ahead.

19 BY MR. MCINTYRE:

20 Q. Now, as you may recall, Dr. Addanki, in his
21 opening report, Dr. Noll also opined that he believes
22 Opana ER had monopoly power because Endo could use its
23 patents to block entry.

24 Do you recall that?

25 A. I do. And once again, Dr. Noll's opinion is

1 just incorrect.

2 We have known for a very long time now that
3 patents do not confer monopoly power. All that a
4 patent does is give you the right to exclude someone
5 from making a direct copy of what you make.

6 So in this case Endo's patents did prevent
7 competitors from making direct copies of Opana ER.
8 But to the extent that other long-acting opioids
9 competed with Opana ER, the patents had no ability to
10 block them. And in fact, there was entry of competing
11 products even while Endo had its patents.

12 Q. Well, what about the fact that a generic
13 product is generally cheaper than a brand product?
14 What, if anything, does that tell us about whether a
15 brand company commands monopoly power?

16 A. Again, nothing whatsoever.

17 Our own everyday experience is replete with
18 examples of generic products and brand products
19 coexisting on the same supermarket shelf, the same
20 store shelf, and the generic product will sell for less
21 than the brand product. The Arnold branded bread is
22 going to sell for \$2.50 alongside a generic bread or
23 store brand bread for \$1.50.

24 Brands have value and generic products --

25 JUDGE CHAPPELL: You haven't bought bread

1 lately, have you, sir?

2 THE WITNESS: Pardon me?

3 JUDGE CHAPPELL: I don't think you've bought
4 bread lately at these prices.

5 THE WITNESS: Well, we're talking about small
6 loaves, Your Honor.

7 JUDGE CHAPPELL: All right.

8 THE WITNESS: And the same thing is true of
9 aspirin. Bayer Aspirin sells for more than store brand
10 or generic aspirin. And that's not at all uncommon.
11 We're going to see generics sell for less than brands.
12 They're viewed as different products.

13 BY MR. McINTYRE:

14 Q. But isn't an AB-rated generic the same as the
15 branded drug?

16 A. No. Certainly not.

17 It has a rating from the FDA that means that a
18 pharmacy can substitute the product when dispensing a
19 prescription, but it's not the same product. It's not
20 made by the same company. It's made by an entirely
21 different manufacturer. It may be many different
22 manufacturers if there are many different generics. It
23 may have different inactive ingredients, different
24 excipients. It's not the same product.

25 JUDGE CHAPPELL: Isn't your bread example --

1 that doesn't seem to apply here because, with bread,
2 the consumer makes the decision, walks in a store and
3 decides whether to buy the store brand or the name
4 brand. But as I heard you say today and I've heard
5 others say, the consumer doesn't really drive that
6 truck when it comes to the drugs. The insurance
7 company or someone else -- that's why I'm not -- I'm
8 not seeing your bread example translate into the market
9 we're dealing with in this case.

10 THE WITNESS: I understand, Your Honor.

11 And I think that in fact, in my experience,
12 again, having studied the pharmaceutical industry over
13 many years, it does depend a fair amount on the
14 therapeutic category.

15 So in some therapeutic categories, the fact
16 that a product is AB-rated doesn't mean -- and the
17 physicians know this -- and again, I'm speaking from my
18 study as an economist, not as a clinician -- and the
19 physicians are aware that not only may the generics be
20 different from the brand in how they actually would
21 work in a given patient, not talking about what basis
22 the FDA has for an AB rating but that in a given
23 patient a generic may work differently, one of the
24 things that they're sometimes concerned about also is
25 that different generics may operate differently,

1 differently from the brand.

2 And because you as a patient, not only do you
3 not get to choose between the brand and the generic
4 when you go to the pharmacy, you have even less
5 choice, if a generic is being dispensed, as to whose
6 generic that's going to be, is that going to be a Teva
7 generic, is that going to be an Apotex generic, is it
8 going to be an Actavis generic, an Impax generic, or is
9 it going to be something from Ranbaxy or something from
10 overseas. You don't know, and you have no control over
11 it.

12 And in some therapeutic categories physicians
13 don't mind that at all; in some they mind it a lot.
14 And all that I was underscoring is that they're
15 different products.

16 The last point, though, on the generic brand
17 price issue is that for a generic to be listed as a
18 generic and to be sold as a generic, it has to be
19 offered at a discount from the brand price. And that's
20 just institutional. For it to be listed as a generic,
21 it has to be offered at a selling price below the brand
22 price, and so you're going to have a price difference
23 in every brand-generic comparison no matter whether the
24 brand has a hundred equally good therapeutic
25 substitutes or none.

1 So whether the brand has monopoly power or not,
2 the generic is going to be listed for a lower price
3 because that's what has to happen for it to be a
4 generic.

5 BY MR. McINTYRE:

6 Q. Dr. Addanki, circling back to a few minutes
7 ago, His Honor pointed out that, in the pharmaceutical
8 market, frequently it's not the patient that's driving
9 the truck when making purchasing decisions, it may be,
10 for example, an insurance company, may be the
11 physician.

12 Is that why we need to look at competition at
13 the physician level and at the payer level when
14 evaluating markets and competition in pharmaceuticals?

15 A. Exactly. We need to look at all layers of
16 competition because they're all important in driving
17 sales.

18 Q. Thank you.

19 Dr. Addanki, do you believe that there is any
20 direct test that can be conducted for the existence of
21 monopoly power?

22 A. Yes, there is. Sometimes.

23 And I just want to also just point out that the
24 trouble with a Lerner Index test or a price comparison
25 test for brand versus generic is that it's always going

1 to give you the same answer. A test that doesn't
2 discriminate a situation where there is monopoly power
3 from one where there isn't but will always tell you yes
4 isn't really a test of anything at all. It's more like
5 a dogma.

6 Now, you want a test that's able to actually
7 distinguish the presence of monopoly power from the
8 absence of it. And the way we've gone about it in my
9 report, to define the market and look at the
10 conditions of that market, is generally the right way
11 to do it.

12 But in some instances you can actually get
13 what the economists call a natural experiment, so if
14 you believe that there could be monopoly power that
15 will be dissipated by generic entry and you actually
16 have the opportunity to observe the impact of generic
17 entry, you can look to see if the generic entry in
18 fact dissipated monopoly power. And to do that, you
19 would look to see if there was any output expansion in
20 the wake of that generic entry.

21 You can do that, and that's a real direct test
22 which you can apply sometimes.

23 Q. You just mentioned natural experiments.

24 Did you see any natural experiments in this
25 case?

1 A. Well, we actually did have the opportunity to
2 observe what happened when Impax entered with its
3 generic oxymorphone ER.

4 Q. And what happened?

5 A. And there was no output expansion attendant
6 upon that entry.

7 Q. Why is output a -- how does that measure
8 monopoly power? Can you explain that to us?

9 A. Well, again, Your Honor, we know what
10 monopolists do. They monopolize a market, which means
11 that there's not enough competition constraining them.
12 And the way they harm consumers is by restricting
13 output and charging monopoly prices.

14 And if I think that an entry is going to
15 dissipate that monopoly power, then I'm going to
16 expect to see that when that entry happened that
17 consumer harm will be lifted, and there would be more
18 product being sold in the marketplace, undoing the
19 consumer harm that was wrought by that exercise of
20 monopoly power. And when I don't see that, I can
21 safely infer that there wasn't any monopoly power being
22 exercised before the fact.

23 And as I've said, because the products are
24 different and because of the rules governing brand
25 generic competition, price really doesn't get you

1 there. Output actually lets you measure something
2 real.

3 Q. And you said a minute ago that when Impax
4 entered the market with its generic oxymorphone
5 product, you didn't see an expansion of output.

6 A. That's correct.

7 Q. Can you explain what you mean by that?

8 A. I mean that when you actually look at the
9 combined total of prescriptions dispensed for Opana ER
10 and the generic oxymorphone ER and you just smooth out
11 that series, because it's very choppy because there are
12 week-to-week and month-to-month variations, you don't
13 see any evidence that there was any output expansion
14 following Impax' generic entry.

15 Q. Have you ever seen other instances where there
16 was an expansion of output when a generic came on the
17 market?

18 A. Absolutely. I've studied the impact of generic
19 entry in many, many cases. And sometimes total output
20 goes up, sometimes it stays the same, and sometimes it
21 goes down.

22 Q. Do you have any examples?

23 A. I believe they're in this very marketplace.

24 When the generic OxyContin came in in 2004, I
25 believe there was expansion.

1 When Zocor, which is -- was a blockbuster
2 cholesterol drug, went generic about ten years ago,
3 there was substantial output expansion noted.

4 Q. And so in summary, did you see any evidence
5 here that would lead you to believe that Endo had
6 monopoly power in Opana ER?

7 A. I did not.

8 Q. So, Dr. Addanki, now that we've covered
9 monopoly power, it might make sense to turn to
10 competitive effects.

11 Are you aware that Dr. Noll relies on a
12 three-part test for determining whether a settlement is
13 anticompetitive?

14 A. Yes.

15 Q. And do you recall that the first of those steps
16 is, did the settlement agreement eliminate the
17 possibility of entry during some period after the date
18 on which the FDA gave final approval to the ANDA?

19 A. Yes.

20 Q. And do you recall that the second step is, did
21 the generic entrant receive a payment that is large
22 compared to the savings to the brand name firm in
23 ending the infringement litigation before the court
24 renders a verdict?

25 A. Yes.

1 Q. And do you recall that the third step is, was
2 the payment unjustified in that it does not plausibly
3 reflect a payment for other goods and services?

4 A. I do.

5 Q. And what are your views about this approach to
6 assessing competitive effects?

7 A. Well, again, I don't believe it's a test
8 because I don't think it really distinguishes
9 anticompetitive from procompetitive settlements, and
10 that's for a few reasons.

11 First, it has no monopoly power screen in it.
12 And to me, that is a very obvious shortcoming,
13 particularly because I gather that Dr. Noll does not in
14 fact disagree that that is the first step, the monopoly
15 power screen.

16 So setting that aside, as far as the other
17 prongs that you mentioned are concerned, any term-split
18 settlement of any kind is going to foreclose entry by
19 the generic before the entry date specified in the
20 settlement, so that's going to happen with any
21 term-split settlement.

22 Now, as for the payment, generally speaking,
23 certainly the existence of a large payment, if you
24 satisfy yourself that there was a large payment, might
25 be something that would trigger an inquiry as to

1 whether a settlement was anticompetitive in its
2 effect, but it couldn't possibly substitute for that
3 factual inquiry.

4 And as I said, the inquiry is a factual one,
5 was monopoly power less effectively dissipated through
6 the settlement that you're analyzing than it would have
7 been otherwise in the but-for world but for the
8 settlement. And there is no way a payment alone can
9 simply obviate that factual analysis because, as the
10 articles I've written and cited in my report have
11 noted, the existence of a payment does not make, even a
12 large payment does not make an agreement
13 anticompetitive, because there are all kinds of reasons
14 that firms may enter into agreements that include
15 payments that are nevertheless procompetitive in the
16 effect they have on consumers. And the literature is
17 there, and I believe Dr. Noll is aware of that
18 literature.

19 And in particular in this case, it's true that
20 a payment of \$102 million was made under the Endo
21 credit provision, but certainly it would be absolutely
22 not something that anyone could have calculated with
23 any degree of certainty as to what a payment might be,
24 if any, made under these provisions back in June 2010.

25 And as I think we've heard testimony about,

1 the payment of \$102 million happened to represent a
2 perfect storm of unpredicted events and in particular
3 the shutdown of the Novartis plant that essentially
4 maximized the amount that would be payable by Endo
5 under the provision relating to the Endo credit.

6 JUDGE CHAPPELL: We're approaching 6:55 (sic).
7 How much more time do you need for direct?

8 MR. McINTYRE: Your Honor, I probably have
9 about 15 more minutes.

10 JUDGE CHAPPELL: I want to finish direct today
11 if we can.

12 Go ahead.

13 MR. McINTYRE: Okay. I'll try to hurry
14 through.

15 BY MR. McINTYRE:

16 Q. Now, you mentioned that there was a perfect
17 storm just now.

18 Can you expand on what you mean by that?

19 A. Your Honor, the Endo credit provision, it
20 worked in a -- it had various formulae in it, but the
21 essence of it was, over the period from the time of the
22 settlement through Impax' entry date under the
23 settlement, the parties would monitor the maximum
24 quarterly sales, prescriptions, achieved by Opana ER
25 and record the maximum as one of two comparators.

1 The other comparator would be the quarterly
2 sales in the fourth quarter of 2012 just before Impax'
3 entry.

4 And if the difference between that highest
5 sales number and the fourth quarter 2012 number
6 exceeded a certain threshold, the payment will be
7 triggered, and the payment would depend on how big that
8 difference was.

9 Now, knowing those terms and given that Endo
10 had already applied just a month after its -- and was
11 fully intending to apply for a label for the
12 reformulated product, Endo clearly was going to be
13 planning a transition of patients from Opana ER to
14 reformulated Opana ER.

15 And knowing how these provisions work, I as an
16 economist would expect that Endo would manage that
17 transition to minimize its patient loss and to
18 minimize whatever payments it was going to make. And
19 that just wouldn't have been that complicated a
20 process.

21 That plan went completely awry because, at the
22 end of 2011, the Novartis plant that actually supplied
23 Opana ER shut down. And Endo then was in crisis mode
24 because they had no product to put into the pipeline
25 and they had to hurry up and try to get their

1 manufacturing process for the revised product up and
2 running, which they did do, but it meant that by the
3 time the fourth quarter of 2012 rolled around, there
4 was no Opana ER, the original Opana ER, being
5 dispensed. And that is what created a situation in
6 which that payment under the Endo credit provision was
7 absolutely as big as it could have been.

8 And this plant shutdown certainly, from my
9 standpoint as an economist, would not have been
10 something that anyone would have been predicting back
11 in 2010.

12 Q. Dr. Addanki, do you agree with Dr. Noll's
13 opinion that Impax received a large payment as of June
14 of 2010?

15 A. No. I don't think there's any way to know
16 what -- certainly there's no way to know what either
17 party thought was going to be payable in June 2010 --
18 payable in the future at the time that they signed the
19 agreement. And I think as an economist I would say
20 there's no way to calculate any meaningful value for
21 that number.

22 Q. So can you summarize your opinion about
23 Dr. Noll's three-part test.

24 A. It's not a helpful test because in particular
25 it does not address the question that we really need to

1 address, which is, we have a settlement, if we believe
2 that there was monopoly power being exercised, did that
3 settlement end up costing consumers, in terms of
4 consumer benefit, because it ended up dissipating that
5 monopoly power less completely, less effectively than
6 might have happened without the settlement. That's the
7 test, and that's really the only test.

8 Q. Dr. Addanki, are you aware of any evidence or
9 analysis that's been offered in this case that the
10 \$10 million payment that Impax received under the DCA
11 was large and unjustified?

12 A. I'm not.

13 So I've only been focusing on the payment under
14 the Endo credit and no-AG terms.

15 Q. And so are you aware of any evidence or
16 analysis that's been offered in this case that
17 persuades you as an economist that Impax received a
18 large and unjustified payment under the Endo credit or
19 the no-AG term whether taken together or separately?

20 A. Well, as a matter of fact, Impax received a
21 check for \$102 million, so I'm not sure I understand
22 your question.

23 Q. I'm sorry. Let me rephrase that.

24 Are you aware of any evidence or analysis
25 that's been offered in this case that persuades you as

1 an economist that, as of June 2010, Impax received a
2 large and unjustified payment under the Endo credit or
3 the no-AG term whether taken together or separately?

4 A. No. I have seen no such evidence.

5 Q. Thank you.

6 Now, we've talked a bit now about Dr. Noll's
7 approach to these cases.

8 How do you go about -- how would you as an
9 economist go about analyzing the competitive effects of
10 a settlement such as the one at issue here?

11 A. Well, exactly as I've described, I would do the
12 monopoly power screen, and then if I found monopoly
13 power, I would go ahead and ask whether the settlement
14 interfered with the dissipation of that monopoly power
15 in some way.

16 Q. And so if we assume for argument's sake that
17 there was monopoly power here -- and I understand that
18 your opinion is that there was not -- how would you go
19 about evaluating the competitive effects of the
20 Impax-Endo settlement?

21 A. Well, we have a settlement that we have before
22 us under which there was entry. And if we are assuming
23 that generic entry by Impax would dissipate the
24 monopoly power you've asked me to assume, then the
25 question simply is but for the settlement would Impax

1 have entered in a way that would have much more
2 effectively dissipated its monopoly power you've asked
3 me to assume. And clearly that is going to involve
4 consideration of the but-for world, what would happen
5 but for the settlement.

6 Q. And so can you describe the analysis that you
7 performed here of the competitive effects of the
8 Endo-Impax settlement agreement?

9 A. Yes.

10 The first thing to keep in mind is that there
11 isn't an alternative settlement that we can possibly
12 postulate that the parties would have entered into.
13 To suggest that the parties would have agreed to a
14 settlement that was materially different from the
15 settlement they actually agreed to, the one before us,
16 is pure speculation.

17 From an economic statement standpoint, there's
18 no basis to do that, particularly when we know, again,
19 from the articles that I've written and cited in my
20 report, that it's often just not possible to settle
21 patent litigation.

22 And so there's lots of impediments to
23 settlements, and so the real alternative -- the only
24 real alternative we have to the settlement before us
25 for the but-for world is that the parties would have

1 continued to litigate. And then we have to ask, well,
2 what would have happened in terms of dissipating the
3 assumed monopoly power had the parties continued to
4 litigate.

5 Q. And so what can we say here about that but-for
6 world in which the parties continued to litigate?

7 A. Well, we have the benefit here of knowing what
8 actually happened in the real world, what Endo did and
9 what transpired. And what we know is that Endo was
10 very assiduous about acquiring and asserting more
11 patents against all the ANDA filers on original and
12 reformulated Opana ER. It got its own patents as well
13 as acquired patents from others and asserted them
14 against the generic companies.

15 Q. And what does that -- the fact that Endo has
16 asserted its patents against other generic companies,
17 what does that tell us about the competitive effects of
18 the Impax-Endo settlement agreement?

19 A. Well, what it tells us in the but-for world is
20 that Endo and Impax would have been embroiled in
21 litigation for years to come after that settlement.

22 Q. And so if Impax and Endo had continued to
23 litigate the original patent case, wouldn't you assume
24 that there would have been a final, nonappealable
25 judgment in that case?

1 A. Well, I've been told, I've been asked to
2 assume, and I believe there's been testimony about it,
3 that the final appellate decision on the Impax-Endo
4 litigation on the original patents would have been no
5 earlier than the end of 2011.

6 JUDGE CHAPPELL: That's not the question you
7 were asked.

8 I'm not going to consider that answer because
9 he didn't answer the question you asked.

10 MR. McINTYRE: I'm sorry. I can rephrase.

11 BY MR. McINTYRE:

12 Q. In your report, Dr. Addanki, did you rely at
13 all on the report of Mr. Figg that's been offered in
14 this case?

15 A. I did.

16 Q. And can you explain in what sense you relied on
17 his report.

18 A. I relied on his report for the assumption in my
19 report that there would not be a decision on appeal to
20 the Federal Circuit until the end of 2011 of the
21 original litigation between Endo and Impax.

22 Q. And so if I'm not mistaken, Dr. Addanki, the
23 first additional patent that Endo acquired after the
24 settlement was the one it bought from Johnson Matthey
25 in March of 2012.

1 Now, if Impax could have gotten final judgment
2 in the patent case by as early as November 2011, then
3 assuming that Impax would have prevailed in that case,
4 couldn't it have just launched oxymorphone then?

5 A. Well, the patent that we're talking about that
6 Endo acquired from Johnson Matthey was in fact a patent
7 that issued -- they acquired it, no doubt, in
8 March 2012, but it was a patent that Johnson Matthey
9 received at the end of 2010, and it's a patent that
10 Johnson Matthey had put Endo on notice of being pending
11 in 2009, so Endo knew throughout that period that the
12 Johnson Matthey patent was pending.

13 When it issued at the end of 2010, in the real
14 world where there was a settlement in June 2010, there
15 was less urgency for Endo to be acquiring that patent
16 from Johnson Matthey, something that it subsequently
17 did in March 2012.

18 And as an economist, I would assume, I would
19 conclude, based on the economic incentives operating
20 here, that that same acquisition that Endo made in
21 March 2012 would have been made much sooner because of
22 the urgency of wanting to get that additional patent
23 protection on Endo's part.

24 So I would certainly expect, as an economist,
25 that Endo would have got that patent from

1 Johnson Matthey significantly earlier, given that it
2 would not in the but-for world have settled with
3 Impax.

4 Q. And I think you mentioned the Johnson Matthey
5 patent issued in -- I'm sorry -- the end of 2010? Did
6 I --

7 A. End of 2010, that's correct.

8 Q. Okay. And did Endo proceed to acquire or
9 obtain even more additional patents after that?

10 A. Yes, it did. It was issued more patents that
11 it asserted then against the ANDA filers.

12 Q. And it has asserted those patents against other
13 generic companies?

14 A. It has.

15 Q. And so if, as you say, Endo and Impax would
16 have been embroiled in patent litigation for years,
17 what does that tell us, if anything, about consumer
18 benefits in the but-for world of continued litigation?

19 A. Well, again, if we assume that there was
20 monopoly power and that Impax' entry was going to
21 dissipate that monopoly power, the consumer benefit
22 would only come if there was entry by Impax, based on
23 the assumptions we've made, and that entry by Impax, if
24 there had been ongoing litigation, would have been
25 entry at risk by Impax, a launch at risk.

1 JUDGE CHAPPELL: We're past 6:05. You may
2 finish your direct tomorrow morning.

3 As far as scheduling, am I correct that we have
4 one fact and one rebuttal expert to go this week?

5 MR. HASSI: Yes, Your Honor, that's correct.

6 JUDGE CHAPPELL: And are the chances good that
7 we could finish tomorrow with these two witnesses?

8 MR. HASSI: I think so. I don't know how long
9 counsel has planned for the rebuttal witness, but I
10 assume he's not all that long.

11 MR. LOUGHLIN: Your Honor, I don't have an
12 estimate of our rebuttal expert. I apologize.

13 JUDGE CHAPPELL: Your rebuttal expert, though,
14 is rebutting the patent expert only; correct?

15 MR. LOUGHLIN: Yes. Mr. Figg, correct.

16 JUDGE CHAPPELL: All right.

17 So I'm just saying there's a possibility we
18 won't be here Thursday --

19 MR. HASSI: That is a possibility.

20 JUDGE CHAPPELL: -- based on what we've moved
21 through till now.

22 MR. HASSI: We think that's a possibility,
23 Your Honor.

24 MR. LOUGHLIN: It's possible, Your Honor.

25 JUDGE CHAPPELL: And Monday is out because of

1 travel or something?

2 MR. HASSI: Yes, Your Honor.

3 JUDGE CHAPPELL: All right. We'll reconvene at
4 9:45 in the morning.

5 We're in recess.

6 (Whereupon, the foregoing hearing was adjourned
7 at 6:07 p.m.)

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

CERTIFICATE OF REPORTER

I, JOSETT F. WHALEN, do hereby certify that the foregoing proceedings were taken by me in stenotype and thereafter reduced to typewriting under my supervision; that I am neither counsel for, related to, nor employed by any of the parties to the action in which these proceedings were taken; and further, that I am not a relative or employee of any attorney or counsel employed by the parties hereto, nor financially or otherwise interested in the outcome of the action.

s/Josett F. Whalen

JOSETT F. WHALEN

Court Reporter