

**UNITED STATES OF AMERICA
BEFORE THE FEDERAL TRADE COMMISSION
OFFICE OF ADMINISTRATIVE LAW JUDGES**

In the Matter of)
)
Illumina, Inc.,)
a corporation,)
)
and)
)
GRAIL, Inc.,)
a corporation,)
)
Respondents.)
_____)

DOCKET NO. 9401

NON-PARTY OMNIOME INC.'S MOTION FOR *IN CAMERA* TREATMENT

Douglas E. Litvack
Aaron Ross
DAVIS WRIGHT TREMAINE LLP
1301 K Street NW, Suite 500 East
Washington, DC 20005
Telephone: (202) 973-4200
Fax: (202) 973-4499
Attorneys for Omniome, Inc.

August 5, 2021

I. INTRODUCTION

Pursuant to Rule 3.45 of the Federal Trade Commission's Rules of Practice, non-party Omniome, Inc. ("Omniome") moves this Court for *in camera* treatment of eight confidential, competitively-sensitive documents identified in Exhibit A, as well as limited portions of the deposition and investigational hearing transcripts of Dr. Ken Song (collectively, the "Confidential Materials"). In support of this motion, Omniome provides the Declaration of Ken Song ("Song Decl."). Counsel for the parties have notified Omniome that they intend to introduce the Confidential Materials as exhibits at the upcoming administrative hearing in this matter. Omniome has conferred with the parties' respective counsel, and neither party intends to oppose this motion.

Omniome is a private company developing a new, state-of-the-art genetic sequencing product that has unique technology and capabilities. Omniome has not publicly launched its product yet, but Omniome expects its product will compete directly with Illumina's genetic sequencing products. In order to maintain the competitive viability of its product, Omniome carefully safeguards its confidential information, and does not disclose the details of its development efforts and internal operations to the public. The Confidential Materials contain Omniome's non-public, highly-sensitive business information and trade secrets, which if disclosed would cause significant financial harm to Omniome, and may prevent or delay the launch of its new genetic sequencer.

Omniome closely reviewed every proposed trial exhibit identified by the parties as containing Omniome's confidential information. Omniome does not request *in camera* treatment for all of its information used in this proceeding. Instead, Omniome limits its request for *in*

camera treatment to only those documents and portions of transcripts that contain competitively-sensitive, nonpublic information.

Consistent with this Court's precedent, Omniome only requests indefinite *in camera* treatment for a subset of the Confidential Materials that contain Omniome's trade secrets, such as specific details about its proprietary technology. *See* Exhibit A. For the remaining Confidential Materials, Omniome only requests *in camera* treatment for a period of five years.

II. LEGAL STANDARD

In camera treatment is appropriate where "public disclosure will likely result in a clearly defined, serious injury to the person, partnership, or corporation requesting *in camera* treatment[.]" 16 C.F.R. § 3.45(b). An applicant meets this standard by showing that the information in question is secret and material to the applicant's business. *In re General Foods Corp.*, 95 F.T.C. 352, 355, 1980 WL 338997, at *3 (1980). The Court considers:

- (1) the extent to which the information is known outside of the applicant's business;
- (2) the extent to which the information is known by employees and others involved in the applicant's business;
- (3) the extent of measures taken by the applicant to guard the secrecy of the information;
- (4) the value of the information to the applicant and its competitors;
- (5) the amount of effort or money expended by the applicant in developing the information; and
- (6) the ease or difficulty with which the information could be properly acquired or duplicated by others.

In re Hoechst Marion Roussel, Inc., 2000 FTC LEXIS 138, at *6 (Sept. 19, 2000). "The likely loss of business advantages is a good example of a 'clearly defined, serious injury.'" *In re Dura Lube Corp.*, 1999 FTC LEXIS 255, at *7 (Dec. 23, 1999).

"Where *in camera* treatment is granted for ordinary business records, it is typically provided for two to five years." *In re 1-800 Contacts, Inc.*, 2017 FTC LEXIS 55, at *6 (Apr. 4, 2017). In contrast, indefinite *in camera* treatment is appropriate where "the need for confidentiality of the material is not likely to decrease over time," including when the materials

reveal trade secrets. *Dura Lube*, 1999 FTC LEXIS 255, at *7-8 (quoting *In re E.I. DuPont de Nemours & Co.*, 1990 FTC LEXIS 134, at *2 (Apr. 25, 1990)). The *DuPont* court granted indefinite treatment where the exhibits at issue “possess[ed] a uniqueness that [] extended their competitive sensitivity far in excess” of the typical *in camera* period. 1990 FTC LEXIS 134, at *5. “Examples of trade secrets meriting indefinite *in camera* treatment include secret formulas, processes, other secret technical information, or information that is privileged.” *I-800 Contacts*, 2017 FTC LEXIS 55, at *5.

As a policy matter, “[t]here can be no question that the confidential records of businesses involved in Commission proceedings should be protected insofar as possible.” *In re H.P. Hood & Sons, Inc.*, 1961 FTC LEXIS 368, at *4-5 (1961). Non-party documents, in particular, are treated with “special solicitude.” *In re Kaiser Alum. & Chem. Corp.*, 103 F.T.C. 500, 500, 1984 FTC LEXIS 60, at *2-3 (1984) (noting that *in camera* treatment for non-party materials “encourages cooperation with future adjudicative discovery requests”).

III. ARGUMENT

The Confidential Materials meet the criteria for *in camera* treatment. Omniome is currently developing a proprietary genetic sequencer with plans to launch its product in the next few years. Omniome’s sequencer would bring much needed competition to Illumina, which currently has a dominant position over genetic sequencing products. The Confidential Materials contain Omniome’s trade secrets regarding its technology and Omniome’s sensitive business information, including confidential plans to commercialize its product. These materials fall within four categories: (A) Board of Directors information, (B) investor presentations, (C) internal strategic materials, and (D) testimony provided in this proceeding. For the reasons set forth below, public exposure of the Confidential Materials would cause significant financial

harm to Omniome and lessen competition among genetic sequencers. It therefore is in the public's best interest for the Court to preserve the confidentiality of these materials.

A. Board of Directors Materials

Omniome requests indefinite *in camera* treatment for five presentations accompanying calls or meetings of the Omniome Board of Directors that contain trade secrets. *See* Exhibit A. Omniome is a private company that holds confidential meetings with its Board of Directors to have candid discussions about Omniome's proprietary technology and strategy for commercialization. Song Decl. ¶ 6. To facilitate these discussions, Omniome typically prepares accompanying presentation materials, such as the board presentations identified in Exhibit A. These board presentations contain non-public trade secrets, namely the details of Omniome's gene sequencing technology. Song Decl. ¶ 4. Omniome has spent years developing its proprietary gene sequencing technology at great expense. Song Decl. ¶ 3. Indeed, Omniome takes significant steps to safeguard this information to maintain its secrecy. Song Decl. ¶ 6. If the details of Omniome's development process and trade secret technology were revealed, it would cause significant harm to Omniome that likely would impede Omniome's entry. Song Decl. ¶ 2. That is why Omniome does *not* share these board presentations outside of Omniome. Song Decl. ¶ 6. Although two of these five presentations are slightly more than three years old, they contain non-public details of Omniome's proprietary product development, which remains sensitive today. Song Decl. ¶ 8. This Court recognizes that documents containing trade secrets deserve *in camera* treatment even if those documents are more than three years old. *See In re E.I. DuPont de Nemours & Co.*, 1990 FTC LEXIS 134, at *2 (granting indefinite *in camera* treatment to documents that were over twenty years old).

The five board presentations should receive indefinite *in camera* treatment. These documents include specific details of Omniome's development of its proprietary technology,

e.g., engineering plans, testing data, and technical updates. Omniome would never publicly reveal this information, so the harm it would suffer from disclosure is unlikely to diminish over time. Thus, indefinite *in camera* treatment is appropriate for this limited category of documents. *See 1-800 Contacts, Inc.*, 2017 FTC LEXIS 55, at *16 (granting indefinite *in camera* treatment to documents submitted by non-party Google that related to studies and experiments Google conducted to optimize its product).¹

B. Investor Presentations

Omniome also requests *in camera* treatment for two confidential presentations made to Omniome's investors. *See* Exhibit A. These presentations contain Omniome's competitively sensitive information because they include confidential discussions of Omniome's business strategies and strategic positioning, Omniome's plans to develop and commercialize its technology, and Omniome's internal financial information. This Court has routinely granted *in camera* treatment for documents containing this type of sensitive business information. *See, e.g., 1-800 Contacts, Inc.*, 2017 FTC LEXIS 55, at *9 (granting *in camera* treatment for a period of five years to documents "which contain evaluations of market factors, market risks, company advantages, company disadvantages, and company risks, and which also review future strategic plans"; *id.* at *20-21 (granting *in camera* treatment for a period of five years to documents that contain "financial condition, pricing strategies, investment strategies, and techniques for marketing and advertising its products").

Omniome protects this information from public disclosure. Song Decl. ¶ 7. And while Omniome shared these presentations with investors, it did so pursuant to an agreement that the

¹ Should the Court decline to grant indefinite *in camera* treatment to these documents, Omniome requests *in camera* treatment, in the alternative, for a period of ten years.

material in the presentations would remain Confidential. Song Decl. ¶ 7. Indeed, all pages of the presentations are marked as Confidential. *See* Exhibit B at 298-409.

If these presentations were disclosed, they would cause substantial harm to Omniome. One of the presentations is from May 14, 2021, while the other presentation is from April 30, 2018. Although the second presentation is more than three years old, it contains specific details about Omniome's yet-to-be released sequencing product that remain extremely sensitive. Song Decl. ¶ 7. *In camera* treatment for a period of five years is therefore appropriate for these documents. *See I-800 Contacts, Inc.*, 2017 FTC LEXIS 55, at *15-16, 20-21 (granting *in camera* treatment for five years to competitively sensitive business documents); *E.I. DuPont de Nemours & Co.*, 1990 FTC LEXIS 134, at *2 (granting *in camera* treatment to documents that were over three years old).²

C. Omniome Internal Competitive Landscape Assessment

In camera treatment for a period of five years is also appropriate for Omniome's confidential analysis of the competitive landscape for genetic sequencing products. *See* Exhibit A. Omniome prepared this analysis exclusively for internal use. Song Decl. ¶ 9. And the analysis contains Omniome's sensitive business information because it includes Omniome's evaluation of its competitive positioning compared to its competitors, including Illumina. This commercially sensitive business information is entitled to *in camera* treatment. *See I-800 Contacts, Inc.*, 2017 FTC LEXIS 55, at *15-16, 20-21 (granting *in camera* treatment for five years to competitively sensitive business documents).

² Although these documents contain details of Omniome's technology, they contain less sensitive details than the Board of Directors' materials, which were never shared outside of Omniome. The information contained in these documents will be less competitively sensitive after Omniome's product has launched. Omniome accordingly requests *in camera* treatment only for a period of five years for these documents.

D. Omniome Investigational Hearing and Deposition Testimony

Omniome's chairman of the board, Dr. Ken Song, provided testimony twice in this proceeding in the form of an investigational hearing during Part II and a deposition during Part III. The parties have designed both of those transcripts as exhibits. Omniome has reviewed the transcripts and requests *in camera* treatment for a period of five years for a limited portion of these transcripts that includes discussions of Omniome's highly sensitive business information—e.g., information relating to its technology or strategic plans for commercialization.

Omniome provided testimony in this proceeding with the understanding it could prevent the disclosure of its non-public, commercially-sensitive business information. These transcripts contain such sensitive information because they include discussions about Omniome's technology and efforts to commercialize and launch a sequencing product. This type of information warrants *in camera* treatment because its disclosure would significantly harm Omniome and lessen competition among sequencers. Song Decl. ¶ 10. Accordingly, this commercially-sensitive business information is entitled to *in camera* treatment. *See 1-800 Contacts, Inc.*, 2017 FTC LEXIS 55, at *29, 33, 35 (granting *in camera* treatment to portions of depositions and investigational hearing transcripts).

Omniome has limited its request for *in camera* treatment to portions of the transcripts that contain sensitive business information. *See Exhibit A* (identifying the parts of the transcript for which Omniome requests *in camera* treatment). Importantly, Omniome does not seek *in camera* treatment for portions of the transcripts that do not meet the criteria for *in camera* treatment—e.g., Dr. Song's testimony regarding his background or prior experience at Ariosa.

IV. CONCLUSION

For the foregoing reasons, Omniome requests that this Court grant *in camera* treatment to the Confidential Materials for the time periods set forth in Exhibit A.

Dated: August 5, 2021

Respectfully submitted,

/s/ Douglas E. Litvack

Douglas E. Litvack

Aaron Ross

DAVIS WRIGHT TREMAINE LLP

1301 K Street NW, Suite 500 East

Washington, DC 20005

Telephone: (202) 973-4200

Fax: (202) 973-4499

Attorneys for Omniome, Inc.

CERTIFICATE OF SERVICE

I hereby certify that on August 12, 2021, I filed a copy of the foregoing using the FTC e-filing system and served the same by electronic mail on the following:

Administrative Law Judge: oalj@ftc.gov
Secretary's Office: electronicfilings@ftc.gov

Complaint Counsel: dnaegele@ftc.gov
Counsel for Respondent Illumina, Inc.: xhysi@cravath.com
Counsel for Respondent GRAIL, Inc.: anna.rathbun@lw.com

/s/ Aaron Ross
Aaron Ross

UNITED STATES OF AMERICA

BEFORE THE FEDERAL TRADE COMMISSION

_____)	
In the Matter of)	
)	
Illumina, Inc.,)	
 a corporation,)	
)	
 and)	
)	
GRAIL, Inc.,)	DOCKET NO. 9401
 a corporation,)	
)	
 Respondents.)	
_____)	

[PROPOSED] ORDER

Upon due consideration of non-party Omniome Inc.’s Motion for *In Camera* Treatment, it is HEREBY ORDERED that the following exhibits or portions of exhibits are to be provided *in camera* treatment from the date of this Order for the specified periods:

Bates	Name	Length of <i>In Camera</i> Treatment
OMNIOME-FTC ILL-00000069	Presentation: Omniome Overview and Update, January 2018	Indefinite
OMNIOME-FTC-ILL-00000001	Presentation: Omniome, Inc. Board of Director’s Call, April 23, 2018	Indefinite
OMNIOME-FTC-ILL-00000570	Presentation: Omniome, Inc. Board of Director’s Meeting, Sept. 17, 2019	Indefinite
OMNIOME-FTC_ILL-00000773	Presentation: Omniome, Inc.’s Board of Directors Meeting, Dec. 29, 2019	Indefinite
OMNIOME-FTC-ILL-00001001	Presentation: Operational Update: Omniome Board of Directors Call, May 5, 2020	Indefinite
OMNIOME-FTC-ILL-00001216	Presentation: Omniome/Decheng Site Visit, Apr. 30, 2018	5 Years
OMNIOME-FTC ILL-00001469	Presentation: Delivering the World’s Most Accurate DNA Sequencing Platform (Company Overview), May 14, 2021	5 Years
OMNIOME-FTC-ILL-00001522	Spreadsheet: Sequencing landscape 2018_01_22_v2-GeneStudio	5 Years

Bates	Name	Length of <i>In Camera</i> Treatment
Dep. Transcript: Ken Song (Omniome), at 13:18-14:6; 15:7-16:17; 18:25-20:3; 20:17-31:1; 31:8-33:1; 33:13-34:11; 37:24-38:18; 39:9-13; 41:12-43:9; 44:23-25; 45:9-47:8; 48:19-49:2; 52:13-53:20; 54:22-55:7; 55:16-56:20; 101:10-21; 102:24-105:13	N/A	5 years
IH Transcript: Ken Song (Omniome) , at 14:6-15:2; 15:21-16:5; 17:22-18:20; 18:23-20:11; 21:9-22:11; 22:22-25:18; 27:1-6; 28:6-29:1; 46:3-6; 46:18-21; 52:11-13; 53:5-56:24; 59:23-64:3; 66:22-67:18; 68:9-20; 69:1-72:1; 72:5-73:3; 73:18-74:5; 74:25-77:1; 77:13-81:22; 82:3-83:22; 84:2-85:23; 86:2-24; 87:3-88:18; 88:22-92:25; 95:21-98:24; 99:14-101:7; 104:6-7; 104:13-22; 105:3-107:23; 109:14-110:20; 137:2-7; 138:4-139:7; 140:16-25; 142:13-143:16; 143:21-145:2; 145:8-15	N/A	5 years

UNITED STATES OF AMERICA
BEFORE THE FEDERAL TRADE COMMISSION

_____)	
In the Matter of)	
)	
Illumina, Inc.,)	
 a corporation,)	
)	
 and)	
)	
GRAIL, Inc.,)	DOCKET NO. 9401
 a corporation,)	
)	
 Respondents.)	
_____)	

DECLARATION OF DR. KEN SONG IN SUPPORT OF NON-PARTY OMNIOME INC.'S MOTION FOR *IN CAMERA* TREATMENT

I, Ken Song, declare as follows:

1. I am Executive Chairman of the Board of Directors at Omniome, Inc. (“Omniome”). I have personal knowledge of the facts set forth in this declaration and if called as a witness I could and would testify competently to such facts.

2. I am familiar with the documents Omniome produced in the above-captioned matter in response to a civil investigative demand (“CIDs”) and subpoenas *duces tecum* (“subpoena”) issued by Complaint Counsel and Respondents. I am additionally familiar with the transcripts of my investigational hearing and deposition. Given my role as Chairman of the Board at Omniome, I am familiar with the type of information contained in the materials at issue and their competitive significance to Omniome. I am also familiar with the measures Omniome takes to protect the confidentiality of these materials. I submit that the public disclosure of the materials listed on Exhibit A of Omniome’s Motion for *In Camera* Treatment (“Exhibit A”) would cause serious injury to Omniome, as discussed below. In addition, the confidentiality of

some of these materials—Omniome’s Board of Directors presentations—is not likely to diminish over time.

3. Omniome is a private venture-backed startup attempting to develop and commercialize a genetic sequencing product based on Omniome’s proprietary genetic sequencing technology. Omniome has spent hundreds of millions of dollars toward developing a proprietary genetic sequencing product, and carefully guards the details of its technology. Although some of these details are public, for example because they are contained in Omniome’s public patent filings, many of the details of the operation of Omniome’s sequencing product are not. Keeping Omniome’s confidential information and trade secrets non-public is vital to Omniome’s goal of successfully launching and commercializing its product.

4. Five of the exhibits the parties intend to introduce into evidence in this matter are presentations accompanying recent meetings of the Omniome Board of Directors. These presentations discuss Omniome’s sequencing technology and development efforts at length and in great detail, including providing internal testing data, throughput data, cost comparisons, and other technological detail. These details of Omniome’s product are trade secrets that Omniome never intends to make publicly available. Because the documents describe the workings of Omniome’s key sequencing technology, the sensitivity of the documents is unlikely to diminish over time.

5. The Board of Directors presentations also contain competitively sensitive information about Omniome’s competitive position, including descriptions of Omniome’s go-to-market plans, discussions of Omniome’s strategic positioning, information on Omniome’s valuation and cash positioning, and information comparison with competitors, internal testing data, information on Omniome’s strategic positioning, and technical roadmaps.

6. Omniome takes great care to keep all of these materials confidential. Materials distributed to the Board of Directors are marked “CONFIDENTIAL,” and Board members are instructed not to forward or share Board materials with others. Omniome’s Board of Directors meetings are non-public and I take great care not to share these non-public materials with others.

7. Two of the exhibits for which Omniome requests *in camera* treatment are confidential presentations made to Omniome’s investors. From time to time, Omniome will present to investors or potential investors. These meetings are confidential, and Omniome takes care to ensure that all participants will maintain Omniome’s information confidentially. Omniome marks all slides presented at such meetings as Confidential—as it did here. Omniome only presents these materials to an investor if that investor agrees to keep the information shared confidential. And Omniome limits the distribution lists to which it sends such presentations in order to minimize the chance a presentation will be accidentally forwarded or sent to others who are not authorized to view its contents.

8. Omniome’s investor presentations contain significant detail of and insight into Omniome’s current plans, strategies, and technological roadmap. Public disclosure of these presentations would therefore cause significant competitive harm to Omniome, as Omniome’s competitors would be able to read the details of Omniome’s current plans, current product development efforts, and current product positioning. This is true for Omniome’s presentation from April 2018, as well as Omniome’s presentation from 2021; Omniome’s 2018 presentation contained details regarding Omniome’s product which, because the product has not launched, remain nonpublic.

9. One of the exhibits for which Omniome requests *in camera* treatment is an internal spreadsheet showing the competitive landscape for sequencing technology. This

document is the result of internal Omniome competitive analysis and includes details of Omniome's yet-to-be-released product. Disclosure of this information to Omniome's competitors would cause significant harm to Omniome as it would enable Omniome's competitors to determine Omniome's plans and competitive positioning.

10. Finally, Omniome requests *in camera* treatment for portions of my investigational hearing and deposition transcripts. At my deposition and investigational hearing, I discussed Omniome's product plans and product commercialization efforts, and described how Omniome views its product, including its development and launch timeline and how the product compares to sequencing technology offered by Illumina and Grail. Public disclosure of this information would cause significant harm to Omniome, as it would enable Omniome's competitors to understand Omniome's competitive positioning and attempt to mitigate the effects of Omniome's potential entry.

11. Some of the information in my deposition transcript, such as my testimony regarding Ariosa, can be revealed without causing competitive harm to Omniome. Accordingly, I have reviewed the deposition and Investigational Hearing transcript and Omniome requests *in camera* treatment only for limited portions.


12. None of these documents are publicly available or widely disseminated. All of these documents are recent and discuss plans and strategies which are still relevant to Omniome today—especially because our sequencing product has not yet launched. Omniome provided these documents with the understanding that the FTC would afford them confidential treatment. On behalf of Omniome, I request that the FTC do so.

//

//

PUBLIC

Dated: August 4, 2021

DocuSigned by:

F2FFF308A5E1427...

Dr. Ken Song

Exhibit A

Exhibit A

Bates	Name	Exhibit No.	Request
Group A: Board of Directors Materials			
OMNIOME-FTC ILL-00000069	Presentation: Omniome Overview and Update, January 2018	RX____ (not provided)	Indefinite
OMNIOME-FTC-ILL-00000001	Presentation: Omniome, Inc. Board of Director's Call, April 23, 2018	PX8519	Indefinite
OMNIOME-FTC-ILL-00000570	Presentation: Omniome, Inc. Board of Director's Meeting, Sept. 17, 2019	PX8509	Indefinite
OMNIOME-FTC_ILL-00000773	Presentation: Omniome, Inc.'s Board of Directors Meeting, Dec. 29, 2019	RX____ (not provided)	Indefinite
OMNIOME-FTC-ILL-00001001	Presentation: Operational Update: Omniome Board of Directors Call, May 5, 2020	PX8510	Indefinite
Group B: Confidential Investor Presentations			
OMNIOME-FTC-ILL-00001216	Presentation: Omniome/Decheng Site Visit, Apr. 30, 2018	RX____ (not provided)	5 Years
OMNIOME-FTC ILL-00001469	Presentation: Delivering the World's Most Accurate DNA Sequencing Platform, May 14, 2021	RX____ (not provided)	5 Years
Group C: Omniome Internal Analysis			
OMNIOME-FTC-ILL-00001522	Spreadsheet: Sequencing landscape 2018_01_22_v2-GeneStudio	PX8511	5 Years
Group D: Testimony			
Dep. Transcript: Ken Song (Omniome) at 13:18-14:6; 15:7-16:17; 18:25-20:3; 20:17-31:1; 31:8-33:1; 33:13-34:11; 37:24-38:18; 39:9-13; 41:12-43:9; 44:23-25; 45:9-47:8; 48:19-49:2; 52:13-53:20; 54:22-55:7; 55:16-56:20; 101:10-21; 102:24-105:13	N/A	PX7071; RX____ (not provided)	5 years (limited portions)
IH Transcript: Ken Song (Omniome) at 14:6-15:2; 15:21-16:5; 17:22-18:20; 18:23-20:11; 21:9-22:11; 22:22-25:18; 27:1-6; 28:6-29:1; 46:3-6; 46:18-21; 52:11-13; 53:5-56:24; 59:23-64:3; 66:22-67:18; 68:9-20; 69:1-72:1; 72:5-73:3; 73:18-74:5;	N/A	PX7096	5 years (limited portions)

Bates	Name	Exhibit No.	Request
74:25-77:1; 77:13-81:22; 82:3-83:22; 84:2-85:23; 86:2-24; 87:3-88:18; 88:22-92:25; 95:21-98:24; 99:14-101:7; 104:6-7; 104:13-22; 105:3-107:23; 109:14-110:20; 137:2-7; 138:4-139:7; 140:16-25; 142:13-143:16; 143:21-145:2; 145:8-15			

PUBLIC

Exhibit B

PUBLIC

OMNIOME-FTC ILL-00000069

Presentation: Omniome Overview and Update, January 2018

Confidential – Redacted in Entirety

PUBLIC

OMNIOME-FTC-ILL-00000001

Presentation: Omniome, Inc. Board of Director's Call, April 23, 2018

Confidential – Redacted in Entirety

PUBLIC

OMNIOME-FTC-ILL-00000570

Presentation: Omniome, Inc. Board of Director's Meeting, Sept. 17, 2019

Confidential – Redacted in Entirety

PUBLIC

OMNIOME-FTC_ILL-00000773

Presentation: Omniome, Inc.'s Board of Directors Meeting, Dec. 29, 2019

Confidential – Redacted in Entirety

PUBLIC

OMNIOME-FTC-ILL-00001001

Presentation: Operational Update: Omniome Board of Directors Call, May 5, 2020

Confidential – Redacted in Entirety

PUBLIC

OMNIOME-FTC ILL-00001216

Presentation: Omniome/Decheng Site Visit, Apr. 30, 2018

Confidential – Redacted in Entirety

PUBLIC

OMNIOME-FTC ILL-00001469

Presentation: Delivering the World's Most Accurate DNA Sequencing Platform
May 14, 2021

Confidential – Redacted in Entirety

PUBLIC

OMNIOME-FTC ILL-00001522

Spreadsheet: Sequencing landscape 2018_01_22_v2-GeneStudio

Confidential – Redacted in Entirety



Deposition of:
Kenneth Song

June 2, 2021

In the Matter of:

**Illumina, Inc. and GRAIL, Inc. (In the
Matter of)**

Veritext Legal Solutions

800-734-5292 | calendar-dmv@veritext.com |

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

UNITED STATES OF AMERICA
BEFORE THE FEDERAL TRADE COMMISSION
OFFICE OF ADMINISTRATIVE LAW JUDGES

In the Matter of:)
)
 ILLUMINA, INC.,)
 a corporation,)
) DOCKET NO. 9401
 and)
)
 GRAIL, INC.,)
 a corporation.)
)

ZOOM VIDEO-RECORDED DEPOSITION
UPON ORAL EXAMINATION OF
KENNETH SONG, M.D.

CONFIDENTIAL

8:14 A.M.

JUNE 2, 2021

WITNESS LOCATION: SAN DIEGO, CALIFORNIA

REPORTED BY: VICKY L. PINSON, RPR-CCR Washington 2559
California No. 9845; Oregon No. 16-0442

<p style="text-align: center;">Page 2</p> <p>1 A P P E A R A N C E S 2 (All Parties Appearing Remotely) 3 4 FOR THE PLAINTIFF ILLUMINA, INC.: 5 KATE STAMELL 6 Cravath, Swaine & Moore, LLP 7 825 - 8th Avenue, Ste 4043B 8 New York, NY 10019 9 212.474.1000 10 kstamell@cravath.com 11 12 FOR THE DEFENDANT GRAIL: 13 AL PFEIFFER 14 Latham & Watkins, LLP 15 505 Montgomery Street, Ste 2000 16 San Francisco, CA 94111 17 415.395.8898 18 al.pfeiffer@lw.com 19 MARCUS CURTIS 20 Latham & Watkins, LLP 21 12670 High Bluff Drive 22 San Diego, CA 92130 23 858.509.8465 24 marcus.curtis@lw.com 25 FOR THE FEDERAL TRADE COMMISSION: 26 DYLAN NAEGELE 27 SUSAN MUSSER 28 Federal Trade Commission - Bureau of Competition 29 400 - 7th Street S.W. 30 Washington, D.C. 20024 31 202.326.2688 32 Dnaegele@ftc.gov 33 Smusser@ftc.gov 34 35 Continuing on next page...</p>	<p style="text-align: center;">Page 4</p> <p>1 I N D E X 2 3 EXAMINATION BY PAGE 4 Mr. Naegele 7 5 Mr. Pfeiffer 32 6 Mr. Naegele 133 7 8 9 EXHIBITS FOR IDENTIFICATION PAGE 10 Exhibit 01 Omniome web page screenshot 40 11 Exhibit 02 Omniome press release - 44 12 01-09-20 13 Exhibit 03 Omniome Overview and Update - 58 14 January 2018 15 Exhibit 04 Delivering the World's Most 93 16 Accurate DNA Sequencing 17 Platform, Company Overview 18 Exhibit 05 Omniome, Inc. Board of 103 19 Director's Meeting, December 20 2nd, 2019 21 Exhibit 06 Omniome - Decheng site visit 108 22 4-30-18 23 Exhibit 07 GenomeWeb article: NIPT Firm 112 24 Ariosa Diagnostics Files for 25 IPO 26 Exhibit 08 GenomeWeb article: Illumina, 120 27 Roche Agree to Settle NIPT 28 Patent Lawsuits 29 Exhibit 09 Roche Press Release 12-2-14 123 30 31 32 33 34 35</p>
<p style="text-align: center;">Page 3</p> <p>1 A P P E A R A N C E S 2 (All Parties Appearing Remotely) 3 4 FOR OMNIOME: 5 DOUGLAS E. LITVACK 6 Davis Wright Tremaine 7 1301 "K" Street N.W., Ste 500 East 8 Washington, D.C. 20005 9 202.973.4215 10 Douglitvack@dwt.com 11 12 FOR OMNIOME and SONG: 13 GARRETT H. ANDERSON, PhD, JD 14 Attorney at Law 15 858.366.2983 16 Handerson-iplaw.com 17 18 ALSO PRESENT: 19 MIGUEL EVANGELICA, Technical Concierge 20 LORI TALBOTT, Video Specialist 21 22 23 24 25</p>	<p style="text-align: center;">Page 5</p> <p>1 San Diego, California, Washington; June 2, 2021 2 8:14 a.m. 3 * * * 4 5 (This deposition is being taken via 6 videoconference, and all parties, the 7 witness, the videographer and the court 8 reporter are appearing remotely.) 9 10 THE VIDEOGRAPHER: We're going on the record 11 at 8:14 a.m. Pacific Time on June 2nd, 2021. This 12 deposition is being conducted using virtual technology, 13 and all participants are attending remotely. Audio and 14 video-recording will continue to take place unless all 15 parties agree to go off the record. 16 This is Media Unit 1 in the video-recorded 17 deposition of Kenneth Song in the matter of Illumina, 18 Inc., and GRAIL, Inc., filed in the United States of 19 America before the Federal Trade Commission Office of 20 Administrative Law Judges, Docket No. 9401. 21 My name is Lori Talbot from the firm Veritext. 22 I am the videographer. The court reporter is Vicky 23 Pinson from the firm Veritext. I am not related to any 24 party in this action, nor am I financially interested 25 in the outcome. If there are any objections to proceeding, please state them at the time of your appearance, and we'll begin with the noticing attorney,</p>

Page 6

1 please.
 2 MR. NAEGELE: Vicky, would you please
 3 swear in the witness?
 4 (The witness was sworn by the Court.)
 5 MR. NAEGELE: My name is Dylan Naegele,
 6 and I represent the Federal Trade Commission.
 7 MR. PFEIFFER: This is Al Pfeiffer of
 8 Latham and Watkins. I represent GRAIL. And with me is
 9 my colleague, Marcus Curtis, also of Latham and
 10 Watkins.
 11 MS. STAMELL: Kate StameLL. I am from
 12 Cravath, Swaine & Moore, representing Illumina.
 13 MR. LITVACK: Doug Litvack, from Davis
 14 Wright Tremaine, representing the witness and Omniome.
 15 And I'd like to put on the record an objection
 16 to the deposition being taken by video, and any use of
 17 the dep -- of the video during any proceeding in this
 18 matter. The subpoena issued to us in the
 19 administrative proceeding didn't notice a deposition by
 20 video; and, thus, we are only proceeding to move the
 21 matter along, but we preserve our right to move to
 22 exclude the video from use at trial or any other
 23 proceeding in this matter.
 24 MR. ANDERSON: Garrett Anderson,
 25 representing the -- Ken Song and Omniome.

Page 7

1 MR. NAEGELE: And I'm joined by my
 2 colleague, Susan Musser, also from the Federal Trade
 3 Commission.
 4 * * *
 5
 6 KENNETH SONG, M.D.,
 7 sworn as a witness by the Certified Court Reporter,
 8 testified as follows:
 9
 10 EXAMINATION
 11 BY MR. NAEGELE:
 12 Q. Dr. Song, would you please state your full
 13 name for the record?
 14 A. Kenneth Song.
 15 THE WITNESS: I do want to make just one
 16 quick comment. I have multiple screens, and so if you
 17 see my eyes shifting, it's because I'm -- if there's --
 18 especially if there's an exhibit, I have to look away
 19 from where the camera is. So it's not that I'm being
 20 distracted. It's just I have different screens up.
 21 MR. PFEIFFER: Understood. Thank you.
 22 Q. What is your current position with Omniome?
 23 A. I'm the Executive Chairman of the Board.
 24 Q. What is your current location?
 25 A. San Diego, California.

Page 8

1 Q. And what address are you at?
 2 A. Currently I'm at 9880 Campus Point Drive, San
 3 Diego, California 92121.
 4 Q. Is there anyone else in the room with you?
 5 A. No.
 6 Q. What device are you using for this deposition
 7 today?
 8 A. A ThinkPad laptop.
 9 Q. Do you have any other programs or applications
 10 running on your laptop, such as instant messaging or
 11 emails?
 12 A. No.
 13 Q. Will you tell me if anyone tries to
 14 communicate with you while I'm asking questions?
 15 A. Yes.
 16 Q. Do you understand that you're testifying today
 17 under oath?
 18 A. Yes.
 19 Q. Is there any reason that you cannot give full
 20 and accurate testimony today?
 21 A. No.
 22 Q. Do you understand that you're appearing today
 23 in response to subpoenas, a tested condom issued by the
 24 Federal Trade Commission, and the Respondents in the
 25 matter of Illumina and GRAIL?

Page 9

1 A. Yeah.
 2 Q. Thank you, Dr. Song. As I mentioned earlier,
 3 my name is Dylan Naegele, and I'm an attorney with the
 4 Federal Trade Commission. I am going to ask you some
 5 questions today about Illumina and its proposed
 6 acquisition of GRAIL. Everything confidential will be
 7 covered by the protective order issued by the Federal
 8 Trade Commission's Part 3 Court.
 9 So I'd like to start by going over some ground
 10 rules for the deposition. Throughout the deposition we
 11 should both try our best not to talk over each other.
 12 Do you understand?
 13 A. Yes.
 14 Q. Please respond orally with "yes" or "no"
 15 rather than nods or the shake of the head because it's
 16 difficult for the court reporter to capture gestures.
 17 Do you understand?
 18 A. Yes.
 19 Q. If you do not hear a question, please say so
 20 and I will repeat it. If you do not understand a
 21 question, please say so and I will rephrase it. From
 22 time to time the Respondents may object to one of my
 23 questions. The objection will be noted by the court
 24 reporter. After the Respondent states his objection,
 25 you are -- or her objection, you are to answer the

<p style="text-align: right;">Page 10</p> <p>1 question unless you would otherwise reveal privileged 2 information. 3 At times I will be showing you documents on 4 the exhibit share platform and directing you to 5 specific pages or portions. If you need more time or 6 help in navigating the document, please let me know, 7 and you can have more time or I can try to assist you. 8 I also want to remind you again that you are 9 under oath. Even though we are speaking via computer 10 screen, your answers should be as truthful as if you 11 were in front of a judge and jury. 12 If at any point you realize you've answered a 13 question incorrectly or you remember something else 14 that would make your answer more complete, please let 15 us know and we can add to your answer right then and 16 there while it's on your mind. 17 We will plan on taking a short break about 18 every hour or so. Please let me or my counterpart, Al, 19 know if you need a break, and I'm sure we'll be happy 20 to accommodate as long as there's no question pending. 21 Now, while we are on the record, please 22 refrain from communicating with anyone unless 23 you're using -- unless it's via Zoom. Please also 24 refrain from using a chat, email messages, or text 25 messaging platform while we're on the record. And</p>	<p style="text-align: right;">Page 12</p> <p>1 A. Yeah, I'm just sort of scrolling through it. 2 I didn't read the -- obviously I'm not reading the 3 entire document because it's long. 4 MR. PFEIFFER: Please don't. 5 THE WITNESS: I know -- yeah. 6 A. So I've -- I've looked at it. 7 Q. Is this a transcript of your testimony that 8 you gave on March 24th, 2021? 9 A. Yes. 10 Q. Is everything that you said in the 11 investigational hearing accurate to the best of your 12 knowledge? 13 A. So based -- you know, not having reviewed the 14 entirety of what's been shown in front of me, assuming 15 that this is the same as what was shared with me 16 through the testimony, it would be, assuming that's -- 17 this is the same document, then, yes. 18 Q. Yes, this is the same document. Thank you. 19 Dr. Song, I'd like to show you another exhibit 20 marked for identification as PX8519. Could you please 21 let me know when you see it on your screen? It will 22 take a minute. 23 MR. NAEGLE: All right. The file should 24 be available to everyone now. 25 Q. Dr. Song, can you see the -- the exhibit?</p>
<p style="text-align: right;">Page 11</p> <p>1 lastly, please let me know if anyone tries to 2 communicate with you through any other platform. 3 And before I begin, a few other things. When 4 I say NGS, I mean Next-Generation Sequencing. When I 5 say NIPT, I mean noninvasive prenatal testing. And 6 when I say IVD, I mean in vitro diagnostic. 7 Dr. Song, I'd like to show you a exhibit now. 8 You should see it on your screen momentarily. Do you 9 see it? 10 A. Not yet. 11 Q. Okay. 12 MR. PFEIFFER: Yeah, I'm not -- I'm not 13 seeing anything yet either. 14 MR. LITVACK: Me, neither. 15 MR. NAEGELE: All right. My computer is 16 being slow. Does anyone see the exhibit now? 17 THE WITNESS: I still don't have anything. 18 A. Let me open it up. 19 Q. (By Mr. Naegele) So this exhibit is marked 20 for identification as PX7071. Do you see this exhibit 21 on your screen, Dr. Song? 22 A. Yes. 23 Q. Please take a minute to read over and review 24 this document, and let me know when you have done so. 25 Have you had a chance to review the document?</p>	<p style="text-align: right;">Page 13</p> <p>1 A. I'm just clicking on it right now, yes. 2 Q. Would you please take a minute to read over 3 and review this document and let me know when you've 4 done so. 5 A. I reviewed the document. 6 Q. What is this document? 7 A. Sorry, can you repeat the question? 8 Q. Certainly. What is this document? 9 A. This is -- it looks like it's a presentation 10 that was put together for a call with the Board of 11 Directors. 12 Q. Was this document presented on April 23rd, 13 2018? 14 A. I would -- I would assume so. I can't recall 15 if this actually was the exact date and if it was on 16 that date particularly; but based upon the date of the 17 cover, that -- that's what I would assume. 18 Q. Is the -- to your knowledge is the date 19 approximately accurate? 20 A. To the best of my knowledge, it would be. 21 Q. Would you please turn to page PX8519-019? 22 You'll see the page numbers in the bottom right corner. 23 A. Oh, I see. Okay. You said 019? 24 Q. 019. 25 A. Okay.</p>

Page 14

1 Q. Please let me know when you're at the page.
 2 A. I'm at the page.
 3 Q. Do you see where it says Scenario 1?
 4 A. Yes.
 5 Q. What was Scenario 1?
 [REDACTED]
 [REDACTED]
 [REDACTED]
 [REDACTED]
 [REDACTED]
 [REDACTED]
 [REDACTED]
 [REDACTED]
 [REDACTED]
 [REDACTED]
 [REDACTED]
 [REDACTED]
 [REDACTED]
 [REDACTED]
 [REDACTED]
 [REDACTED]
 [REDACTED]
 [REDACTED]
 [REDACTED]
 [REDACTED]
 [REDACTED]

Page 16

[REDACTED]
 [REDACTED]
 [REDACTED]
 [REDACTED]
 6 Q. Did Omniome commercially launch its sequencer
 7 at the end of Q2-2020?
 8 A. No.
 9 Q. Thank you.
 10 Dr. Song, I'd like to show you another exhibit
 11 marked for identification as PX85019 [sic]. Please let
 12 me know when you can see the exhibit.
 13 MR. PFEIFFER: What was the exhibit number
 14 again?
 15 MR. LITVACK: Yeah, I'm not seeing it.
 16 MR. NAEGELE: It's PX8509. It currently
 17 says it's distributing file, and it's got a progress
 18 bar that's moving very slowly.
 19 Q. (By Mr. Naegele) All right. Dr. Song, do you
 20 see the file now?
 21 A. Yep. I just opened it up.
 22 Q. Yes. Please take a moment to read and review
 23 the document, and let me know when you've done so.
 24 A. I'll scroll to the, towards the end of it.
 25 Takes a little time to upload.

Page 15

[REDACTED]
 [REDACTED]
 3 Q. What would the advantage of -- sorry, let me
 4 strike that.
 5 Was there an advantage to outsourcing certain
 6 engineering aspects?
 7 A. Part of that would have been to see if there
 8 was certain expertise or capabilities that might be
 9 external that we could leverage. And I believe there's
 10 also some considerations as to whether or not that
 11 could have an impact on both timelines as well as
 12 overall spending.
 13 Q. What would the impact on the timelines be?
 14 A. I don't remember.
 15 Q. Do you recall what the impact on spending
 16 would have been?
 17 A. I think that's outlined on slide PX8519-019.
 18 So I don't recall the specifics of that, but I believe
 19 that information is outlined on the slide.
 20 Q. Thank you.
 [REDACTED]
 [REDACTED]
 [REDACTED]
 [REDACTED]
 [REDACTED]

Page 17

1 (The Reporter requested the witness speak up.)
 2 (Off the record.)
 3 Q. (By Mr. Naegele) Have you had a chance to
 4 review the document, Dr. Song?
 5 A. Yes.
 6 Q. What is this document?
 7 A. This is a board of directors' presentation for
 8 the Omniome Board of Directors.
 9 Q. Was this presentation given on September 17th,
 10 2019?
 11 A. I assume so, again, based upon the date on the
 12 front cover. But I can't say with certainty that it
 13 was actually on that date.
 14 Q. To the best of your knowledge, was this given
 15 on or around that date?
 16 A. Yes.
 17 Q. Would you please turn to page PX8509-055?
 18 Please let me know when you have the page up in front
 19 of you.
 20 A. I have it in front of me.
 21 Q. What does this page depict, Dr. Song?
 [REDACTED]
 [REDACTED]
 [REDACTED]
 [REDACTED]

Page 22

[REDACTED]

12 Q. Would you please turn to page PX 8510-010, and

13 let me know when you've reached that page.

14 A. I'm there.

15 Q. What does this page depict?

16 A. It's putting out different scenarios around

17 the timing of the commercial launch of the instruments

18 using different assumptions.

19 Q. Do the blue arrows on this page represent

20 timelines?

21 A. Yes.

[REDACTED]

Page 24

[REDACTED]

Page 23

[REDACTED]

Page 25

[REDACTED]

19 Q. What is Instrument Control Software?

20 A. As I understand it, it's basically the

21 software that is written to ensure that all of the

22 different components within the instrument -- there's a

23 lot of fluidics and optics and mechanics that are

24 moving around within that, and they all need to be

25 coordinated. So it's about having the software written

Page 26

1 to ensure that all of those pieces are functioning
 2 properly and communicating with each other.
 3 Q. Does the software need to be written for a
 4 specific instrument?
 5 A. To my knowledge, yes.
 6 Q. And what does Systems Integration mean in this
 7 context?
 8 A. It's -- it involves multiple things, the full
 9 details of which I'm not really under -- I don't know
 10 the details of it -- of -- but I think from a basic
 11 level, it's about having all the different components
 12 coming together, which is both within the instrument as
 13 well as the consumables, like the reagents, the flow
 14 cell. And ensuring that all those things are working
 15 together. And also even the software and the
 16 informatics, to make sure that all those are
 17 communicating and functioning together as one system,
 18 so systems integration.
 19 Q. What does "production" mean in this context?
 20 A. I think my -- to my -- to my recollection,
 21 production is around producing the instruments. Once
 22 you've sort of gone through and verified that it's
 23 working, then the time it takes to actually start
 24 making the instruments to the final specifications for
 25 delivery.

Page 27

1 [REDACTED]
 2 [REDACTED]
 3 [REDACTED]
 4 [REDACTED]
 5 [REDACTED]
 6 [REDACTED]
 7 Q. Thank you.
 8 Dr. Song, will you please turn back to your IH
 9 transcript, PX7071?
 10 A. Okay.
 11 Q. Would you please turn to page PX7071-007?
 12 A. I'm there.
 13 Q. All right. Sorry, PX7071-008.
 14 A. I'm there.
 15 Q. Do you see the quadrant of the page marked 28?
 16 A. Yes.
 17 Q. And do you see about halfway down in that
 18 quadrant the bold text that says: And when does
 19 Omniome anticipate the commercial launch of its
 20 sequencer?
 21 A. Yes.
 22 Q. Will you please just take a minute to
 23 familiarize yourself with the, the page?
 24 A. Yes.
 25 Q. You gave this testimony on March 24th, 2021.

Page 28

1 Correct?
 2 A. Yes.
 3 Q. And that testimony was accurate?
 4 A. It was accurate based upon my understanding on
 5 March 24th, 2021 of, of what I knew of the company at
 6 [REDACTED]
 7 [REDACTED]
 8 [REDACTED]
 9 [REDACTED]
 10 [REDACTED]
 11 [REDACTED]
 12 [REDACTED]
 13 [REDACTED]
 14 [REDACTED]
 15 [REDACTED]
 16 [REDACTED]
 17 [REDACTED]
 18 [REDACTED]
 19 [REDACTED]
 20 [REDACTED]
 21 [REDACTED]
 22 [REDACTED]
 23 [REDACTED]
 24 [REDACTED]
 25 [REDACTED]

Page 29

1 [REDACTED]
 2 Q. Thank you.
 3 MR. NAEGELE: We've been going for about
 4 an hour now. Let's take a break.
 5 And, Dr. Song, is five minutes good for you?
 6 THE WITNESS: Yep, that's fine. I'm going
 7 to switch over to phone audio in the break, and that
 8 might work better. So let me do that.
 9 THE VIDEOGRAPHER: One moment, please, and
 10 I'll take us off the record. We're going off the
 11 record at 8:59.
 12 (Recess taken 8:59 a.m. - 9:13 a.m.)
 13 THE VIDEOGRAPHER: We are back on the
 14 record. The time is 9:13. Please proceed.
 15 Q. (By Mr. Naegele) Dr. Song, during your IH you
 16 testified that when you were CEO of Ariosa, Ariosa
 17 changed its Harmony NIPT test from Illumina NGS to
 18 affymetrix microarrays. Is that correct?
 19 MR. PFEIFFER: Objection. Leading.
 20 A. Yes.
 21 Q. What types of applications are microarrays
 22 best suited for?
 23 A. Traditionally, microarrays had been originally
 24 developed for genotyping and gene expression analysis.
 25 Q. Why are microarrays -- strike that.

<p style="text-align: right;">Page 30</p> <p>1 Are microarrays well-suited for those 2 applications?</p> <p>3 A. For which application? The genotyping and the 4 gene expression, or --</p> <p>5 Q. Yes.</p> <p>6 A. I mean, when they -- I mean, they were -- they 7 were commonly -- yeah, they were designed and they were 8 mostly used, to my knowledge, for those applications, 9 and then over time they've been sort of replaced with 10 sequencing.</p> <p>11 Q. Why have they been replaced with sequencing?</p> <p>12 MR. PFEIFFER: Object to the form of the 13 question. Lacks foundation.</p> <p>14 A. I mean, to my knowledge, I mean, with 15 sequencing you're generally able to do things at a 16 higher throughput. And potentially, you know, you 17 could also do things, depending on the application, 18 sometimes at a lower cost depending upon the platform.</p> <p>19 Q. To your knowledge, is the throughput of a 20 microarray comparable to the throughput of a sequencer?</p> <p>21 A. I think it depends on the application that 22 you're looking at and the -- it really depends upon the 23 sequencing platform and the microarray platform that 24 you're comparing.</p> <p>25 Q. At the time that you -- at the time that</p>	<p style="text-align: right;">Page 32</p> <p>1 time. But you would be able to get much more 2 throughput or number of patients analyzed with the 3 NovaSeq than you would have been able to do with what 4 we were doing at the time with an array-based readout.</p> <p>5 MR. NAEGELE: Great. Well, I will reserve 6 the rest of my time and pass the table over to my 7 counterpart, Mr. Pfeiffer.</p> <p>8 MR. PFEIFFER: Thank you.</p> <p>9 * * *</p> <p>10</p> <p>11 EXAMINATION</p> <p>12 BY MR. PFEIFFER:</p> <p>13 Q. Dr. Song, we met briefly earlier this morning, 14 but my name is Al Pfeiffer, and I represent GRAIL. And 15 I'm going to ask you some questions now. You all set? 16 Need any breaks or anything? You good?</p> <p>17 A. I'm good.</p> <p>18 Q. Okay. So you understand you're here in 19 connection with the FTC trying to block the merger 20 between GRAIL and Illumina. Is that right?</p> <p>21 A. Yes.</p> <p>22 Q. What -- what's your general understanding 23 about what that lawsuit's about?</p> <p>24 A. My understanding is that the FTC has 25 competition concerns around Illumina's acquisition of</p>
<p style="text-align: right;">Page 31</p> <p>1 Ariosa switched its test to microarrays, was the 2 throughput comparable to the sequencers that you were 3 using?</p> <p>4 A. At the time, yes.</p> <p>5 Q. To your knowledge, is the throughput of a 6 microarray comparable to a sequencer like the NovaSeq?</p> <p>7 MR. PFEIFFER: Object to the form of the 8 question. Lacks foundation.</p> <p>9 A. The NovaSeq would have higher throughput for 10 most applications than you would be able to do on a 11 microarray-based platform.</p> <p>12 Q. When you say "most applications," what do you 13 mean?</p> <p>14 A. I mean, I can't -- it's always hard to say 15 extremes of all or none. I would say that if you're 16 comparing a NovaSeq to microarray technology, at least 17 based on what I can think about, I don't really see -- 18 you know, I believe the NovaSeq would be able to give 19 you more throughput and more information based upon the 20 applications I can think about than you would be able 21 to accomplish with an array-based readout.</p> <p>22 Q. What applications are you thinking about?</p> <p>23 A. Gene expression -- you know, gene expression 24 analysis, genotyping, you know. And then as it related 25 to Ariosa, the NovaSeq instrument did not exist at the</p>	<p style="text-align: right;">Page 33</p> <p>1 GRAIL and how that could potentially lead toward, you 2 know, possible anticompetitive behavior in the 3 marketplace.</p> <p>4 Q. Did you ever review the Complaint that the FTC 5 filed?</p> <p>6 A. Not in detail, no.</p> <p>7 Q. But -- but you looked at it?</p> <p>8 A. I'm trying to -- I mean, I'm trying to 9 remember. If I looked at it, it was very -- I am under 10 oath, so I'm trying -- I believe I may have opened it, 11 but I don't -- it would have been a while ago, and, 12 again, I don't, I would not recall any of the specifics 13 within that Complaint.</p> <p>14 Q. How did you come to even open it and look at 15 it, in general?</p> <p>16 MR. LITVACK: I'm just going to interject 17 and caution the witness not to reveal any privileged 18 information.</p> <p>19 A. Again, I don't -- I can't -- I mean, I 20 honestly cannot recall if I've actually seen the 21 complaint. I've seen a lot of things through this, you 22 know, because I've been under testimony, et cetera. So 23 I can't say with actually any certainty that I've 24 actually seen the Complaint. I'm just trying to 25 recall. It's possible that I could have seen it. If</p>

Page 34

1 it is, I'm not sure how I would have seen, and if I
 2 did, I don't recall any of the specifics. Because if I
 3 did review it, of which I'm not certain, it would -- I
 4 don't have any recollection of any details within it.
 5 Q. Now, you mentioned earlier in connection with
 6 Ryan's questioning that you remembered having testimony
 7 taken at the investigative hearing process. We looked
 8 at that exhibit. Right?
 9 A. The March 24th testimony?
 10 Q. Yes.
 11 A. Yes.
 12 Q. Apart from that testimony itself, did you
 13 speak with anyone at the FTC at any time during the
 14 time they've been investigating or suing GRAIL and
 15 Illumina?
 16 A. There was -- aside from that testimony, there
 17 was, from what I recall there was a brief conversation
 18 where the FTC had reached out. And then that's when
 19 I -- I believe I had sort of contacted the counsel that
 20 I have here representing -- or not -- or helping me
 21 out.
 22 Q. When was that brief conversation?
 23 A. Probably a couple of months ago or a few
 24 months ago. It would have been before the -- the
 25 March -- the testimony.

Page 35

1 Q. And who did you talk to? Do you remember?
 2 A. I can't say with certainty. I don't want to
 3 guess nor -- yeah.
 4 Q. What did you talk about?
 5 A. It was, I think it was just, um -- it was
 6 so -- I think they, I think they had just reached out
 7 to me. I don't -- again, I don't remember any of the
 8 specifics. It was, it was nothing that I can recall --
 9 there was, from my recollection, there was nothing that
 10 was discussed of any specifics or of substance. It was
 11 just reaching out to see if they could sort of connect
 12 with me. That's the best I can recall. At which point
 13 I then contacted legal counsel to understand what we
 14 should do in terms of -- because I didn't know in terms
 15 of what context that I was being specifically reached
 16 out to.
 17 Q. Okay. Did -- did the FTC --
 18 A. But then I had --
 19 (Simultaneous cross-talking.)
 20 (The Reporter requested clarification.)
 21 A. Then I had the testimony in March that we have
 22 the exhibits on.
 23 Q. (By Mr. Pfeiffer) So when the FTC reached out
 24 to you before your investigative hearing testimony, did
 25 they explain at all why they were calling you?

Page 36

1 A. I honestly don't remember the details.
 2 Q. You don't remember it even being in the
 3 context of, "Hey, we have a reason why we're reaching
 4 out to you"?
 5 A. I, I don't, actually.
 6 Q. And have you spoken to anyone from the FTC
 7 after your investigational hearing? After your March
 8 testimony?
 9 A. I -- no. I don't believe so. I can't, I
 10 can't recall having any interaction with them until
 11 today.
 12 Q. And how long have you been the Executive
 13 Chairman of the Board at Omniome?
 14 A. About four years.
 15 Q. And just at a high level, what is the business
 16 that Omniome is in?
 17 A. Developing a sequencing -- next-generation
 18 sequencing system.
 19 Q. So -- and that's what Illumina does, too.
 20 Right? They sell next-generation sequencing systems?
 21 A. Yes.
 22 Q. So you're at least at some level intending to
 23 be competing with Illumina. Right?
 24 A. Yes.
 25 Q. And when was Omniome actually founded?

Page 37

1 A. I don't know the exact date. It was probably
 2 a few years before I got -- I believe it was a few
 3 years before I got involved officially.
 4 Q. And one of the founders of Omniome was someone
 5 who used to work at Illumina. Is that right?
 6 MR. NAEGELE: Objection. Leading.
 7 A. The founder and original CEO was a gentleman
 8 who, to my understanding, was employed by Illumina
 9 prior to him founding Omniome.
 10 Q. Did you overlap with him?
 11 A. Briefly.
 12 Q. So when did -- when did that original founder
 13 then cease being involved with Omniome?
 14 A. Once I became executive chairman of the
 15 company, he left the company, I believe, within the
 16 first -- we separate -- we transitioned him, I believe,
 17 within the, within several months after I became
 18 executive chairman.
 19 Q. So then would it be fair to say you think he
 20 left sometime in 2017?
 21 A. Yes.
 22 Q. Okay. In your role as executive chairman,
 23 what interactions, if any, do you have with Omniome's
 24 existing and potential investors?
 25 A. With a subset of the investors, they have

<p style="text-align: right;">Page 38</p> <p>1 board representation. So with them there's 2 interactions during board meetings and -- and 3 potentially at other times. 4 With some investors, I have little to no 5 contact with them. 6 Q. And how about with potential investors? What 7 role, if any, do you have with identifying and 8 communicating with potential investors? 9 A. That's been variable over my time as executive 10 chairman. In certain times I've interacted with 11 potential investors, and -- and in other times I've 12 had, again, little to no interaction with potential 13 investors. 14 Q. So as part of that responsibility, then, when 15 you do have interactions with investors, do you keep 16 yourself apprised of the company's general business 17 strategies? 18 A. Yes. 19 Q. And do you sometimes review materials that 20 Omniome prepares for either existing or potential 21 investors? 22 A. Yes. 23 Q. Now, you were asked a couple of questions 24 earlier that related to Ariosa. And I just want to, in 25 terms of your background, ask about that. When were</p>	<p style="text-align: right;">Page 40</p> <p>1 sequencing by binding. 2 Q. Yeah. And -- 3 MR. PFEIFFER: Where did it go? I wanted 4 to show you a document, and my screen just went blank. 5 Hang on. 6 Marcus, would you -- would you please post 7 to the Marked Exhibits folder the Omniome web page 8 screenshot? 9 There we go. It should be at the top of the 10 list. It'll say Exhibit 01, Omniome website. 11 (Exhibit 01 was marked for identification.) 12 Q. (By Mr. Pfeiffer) Do you have that on your 13 folder? 14 A. Yes. 15 MR. PFEIFFER: Okay. And I will represent 16 for the record this is a document that -- marked 17 Exhibit 01 that we screenshotted and converted to PDF 18 in the course of this proceeding. From Omniome's 19 website. 20 Q. (By Mr. Pfeiffer) And you'll see there -- 21 well, first of all, have you visited the company's 22 website? 23 A. I have, in the past. 24 Q. Does this appear to you to be a page from your 25 website?</p>
<p style="text-align: right;">Page 39</p> <p>1 you at Ariosa? 2 A. I was at -- I first became involved with 3 Ariosa, I believe it was in late 2009 when we first 4 identified the opportunity. And then I became the CEO 5 in 2010 and served as a CEO until its acquisition in 6 early 2015. 7 Q. Did you stay on some period of time after 8 Roche acquired Ariosa in that acquisition? 9 A. Yes. 10 Q. How long? 11 A. It was a little bit more than a year. 12 Q. In what capacity? 13 A. I continued on -- I believe my official title 14 became General Manager post the acquisition, and Site 15 Head also, of the location that continued post the 16 acquisition. 17 Q. Now, I want to come back to Omniome and your 18 work there. You mentioned earlier that Omniome is 19 currently developing an NGS-based device. Is that 20 right? 21 A. Yes. 22 Q. Okay. Would you please just describe that -- 23 that sequencer system at a high level? 24 A. It is a short-read sequencing technology that 25 uses a novel sequencing biochemistry that we call</p>	<p style="text-align: right;">Page 41</p> <p>1 A. This is actually the first time I've seen this 2 particular page. So. 3 Q. Any reason to believe this is not what you 4 currently have posted in your website? 5 A. No. 6 Q. And you'll see there, it does -- it says, 7 "Sequencing by binding from Omniome," right across the 8 sort of center of the page. Correct? 9 A. Correct. 10 Q. And that sequencing by binding is distinct -- 11 distinct from a different type of next-generation 12 sequencing. Right? 13 MR. NAEGELE: Objection. Leading. 14 A. It refers to the biochemistry of the 15 sequencing reaction. 16 Q. Are you also familiar with a type of chemistry 17 called sequencing by synthesis? 18 A. Yes. 19 Q. And you're not using sequencing by synthesis, 20 are you? 21 A. No. 22 Q. Again, at a high lay level, how do the two 23 technologies differ? 24 A. The technologies differ in the way that the 25 different individual nucleotides or bases are</p>

Page 42

1 synthesized as you're trying to determine the DNA
 2 sequence of a sample.
 3 Illumina's sequencing by synthesis uses
 4 fluorescently-labeled nucleotides that become
 5 covalently incorporated into a growing DNA strand. And
 6 they do both what we call a incorporation and an
 7 interrogation step at the same time, using a
 8 fluorescently-labeled nucleotide.
 9 Sequencing by binding actually decouples the
 10 incorporation and the interrogation steps. And
 11 ultimately what gets put into the sequenced DNA strand
 12 is a natural nucleotide that's not modified. Whereas
 13 with sequencing by synthesis from Illumina, you are
 14 adding in engineered or modified nucleotides into the
 15 synthesized DNA strand.
 16 Q. Thank you.
 17 In the interest of not tripping over my tongue
 18 all day here, will it be okay with you if I refer to
 19 sequencing by binding as SBB and sequencing by
 20 synthesis as SBS?
 21 A. That's fine.
 22 Q. And if I understood you correctly, a moment
 23 ago you said that Illumina's NGS system is based on an
 24 SBS chemistry?
 25 A. Yes.

Page 43

1 Q. And Omniome's technology is based on an SBB
 2 chemistry?
 3 A. Yes.
 4 Q. Okay. Which do you consider to be superior?
 5 A. I mean, "superior" is relative. Um, there
 6 are -- are differences between the two, so I think
 7 that's more of a judgment call.
 8 Q. Okay. Well, why did you choose SBB at
 9 Omniome? It wasn't because you thought it was worse,
 10 was it?
 11 A. No. There are -- there are advantages with
 12 using SBB in terms of being able to have higher
 13 accuracy of sequencing as compared to SBS.
 14 Q. Are there other advantages that you have also
 15 identified between the SBB chemistry that you use and
 16 the SBS chemistry that Illumina uses?
 17 A. We believe that with SBB you have the
 18 potential to also get longer sequence read as compared
 19 to SBS. And because of the way the particular steps
 20 are done with SBB, the reagent costs could also be less
 21 than what is being done with SBS.
 22 MR. PFEIFFER: Marcus, will you please put
 23 up to the marked file Tab 1?
 24 Q. (By Mr. Pfeiffer) You should see shortly
 25 hopefully what will be marked as Exhibit 02. Not yet.

Page 44

1 There we go.
 2 (Exhibit 02 was marked for identification.)
 3 Q. Do you see Exhibit 02, Omniome press release,
 4 January 9th, 2020?
 5 A. Yes.
 6 Q. And you may want to --
 7 A. Zoom in on it.
 8 Q. Yeah, I was going to suggest zooming in. That
 9 would probably help.
 10 MR. PFEIFFER: For the record, what has
 11 been marked as Exhibit 02 is a press release on the
 12 Omniome website that we downloaded and converted to PDF
 13 in the course of preparing for this proceeding.
 14 Q. Let me know when you've had a chance to look
 15 that over, please, Dr. Song.
 16 A. I've looked it over.
 17 Q. Do you recognize this document?
 18 A. Not this exact document, but the press
 19 release, the content of it, yes.
 20 Q. Yes. Yeah, you're familiar with the press
 21 release even if not in this PDF form?
 22 A. Yes.
 23 Q. And do you see, if you look down toward the
 24 bottom of the page, there's a quote attributed to you.
 25 A couple of sentences there?

Page 45

1 A. Yeah.
 2 Q. Would you look that over, please? You see
 3 that?
 4 A. Yes.
 5 Q. And is it your practice to review press
 6 releases that contain quotes from you before they're
 7 released?
 8 A. Yes.
 9 Q. Do you have any reason to believe that this is
 10 not a true and accurate press release issued on or
 11 about January 9th, 2020?
 12 A. No.
 13 Q. Now, right under the title, there, it says:
 14 Company raises \$145 million in 18 months to complete
 15 development and early commercialization of breakthrough
 16 DNA sequencing platform.
 17 Do you see that?
 18 A. Yes.
 19 Q. Was that an accurate statement?
 20 A. At the time, that was our understanding. So,
 21 yes.
 22 Q. And mainly it was accurate that you had raised
 23 \$145 million in 18 months. Correct?
 24 MR. NAEGELE: Objection. Leading.
 25 A. That's correct.

Page 46

1 Q. Have you raised any additional capital since
 2 January 2020?
 3 [REDACTED]
 4 [REDACTED]
 5 [REDACTED]
 6 [REDACTED]

7 Q. Okay. And how many rounds of financing were
 8 involved in that \$145 million that was raised over
 9 18 months? Do you recall?

10 A. I don't know the exact number because there
 11 was a Series B financing, and I don't remember if there
 12 was an extension or second part to that or not.

13 Q. Does it ring a bell that this \$60 million
 14 financing is, was a Series C financing?

15 A. Yes.

16 Q. Okay. So it sounds like you would have had an
 17 A, a B, and a C?
 18 [REDACTED]
 19 [REDACTED]
 20 [REDACTED]
 21 [REDACTED]

22 Q. If you look down within the press release,
 23 you'll see a quote before yours that is -- it's right
 24 about the middle of it, attributed to Dave Mullarkey,
 25 president and CEO at Omniome. Do you see that?

Page 47

1 A. Yes.

2 Q. The quote reads: Our product development
 3 efforts are advancing rapidly and shifting toward
 4 delivering our first beta prototype instruments.
 5 And was that an accurate statement at the time
 6 it was made?

7 A. I would -- that's a statement from Dave
 8 Mullarkey, so I can't speak on his behalf, but he was
 9 the former CEO of Omniome, and that quote would be
 10 attributed to him.

11 Q. And you were at the time the executive
 12 chairman of the company. Correct?

13 A. Yes.

14 Q. You wouldn't have wanted the company to be
 15 putting out a press release related to a financing that
 16 contained inaccurate information, would you?

17 A. No.

18 Q. Okay. So as far as you knew, it was accurate
 19 at that time?

20 A. Yes.

21 Q. Now, so Mr. Mullarkey is no longer the CEO.
 22 Is that correct?

23 MR. NAEGELE: Object to form.

24 A. That is correct.

25 Q. When did he leave?

Page 48

1 A. Um, it was -- well, I think we had, um, asked
 2 him to transition late summer, early fall of 2020. But
 3 he had a continued sort of consulting arrangement with
 4 the company until, I think through the end of 2020.

5 Q. Just to make sure I understood, did you say he
 6 transitioned out of being CEO in approximately
 7 mid-2020?

8 A. It was, it was more, I think -- I think it was
 9 sort of in the September 2020 timeframe, give or take
 10 several weeks. I can't remember the formal separation
 11 date, but it was around then.

12 Q. And who's your current CEO?

13 A. We don't have one.

14 Q. Okay. Who is -- who is fulfilling the
 15 functions of a CEO?

16 A. It depends on what you consider a CEO's
 17 functions to be. We have a president of the company
 18 currently.

19 Q. Who's the president?

20 A. Richard Shen, S-h-e-n.

21 Q. Did you mention also that you hired a chief
 22 product officer?

23 A. That was Richard Shen, who we hired, that then
 24 was promoted to president.

25 Q. Okay. And if I understood what you were

Page 49

1 saying earlier this morning, it sounded like you
 2 thought that the company's development skills were
 3 good. Is that fair?

4 MR. NAEGELE: Object to form. Leading.

5 A. I -- earlier this morning, I would say
 6 depending upon what timeframe you looked at Omniome's
 7 history, Omniome has always had very strong research in
 8 early development, and the product development
 9 discipline did not come really until Richard Shen
 10 joined.

11 Q. And would the product development that you're
 12 referring to then be something necessary to achieve
 13 commercialization?

14 A. Yes.

15 MR. NAEGELE: Objection. Lack --

16 Q. (By Mr. Pfeiffer) And would you have
 17 preferred if you'd had that -- well, actually, let me
 18 ask you to what extent did Mr. Shen bring that
 19 expertise that you thought you were lacking before?

20 A. I think when Richard joined the company to
 21 actually have the responsibility to drive towards
 22 product development, that was a pretty significant
 23 addition to the company; to be able to have a leader
 24 who had the authority to help drive activity forward.
 25 Prior to Richard, we didn't have that.

Page 50

1 Q. Would you have liked to have had that sooner?
 2 MR. NAEGELE: Object to form.
 3 A. Hindsight's always 20/20. So I would say, you
 4 know, if we had to replay history, it would have been
 5 nice to have someone like Richard in the company
 6 earlier.
 7 Q. Would you be further along in the development
 8 process, do you think, if you had had someone with
 9 skills like Richard in the company earlier?
 10 MR. LITVACK: Objection. Calls for
 11 speculation.
 12 THE WITNESS: I just have a -- I just have
 13 a process question. When someone objects, am I
 14 supposed to wait for someone to sort of comment on
 15 that, or --
 16 MR. PFEIFFER: No. No judge is -- yeah,
 17 no judge is going to rule on them. You go ahead and
 18 answer them. The objection is made and preserved for
 19 the record.
 20 THE WITNESS: All right.
 21 A. I think it's hard to -- I think it's hard to
 22 say because there was still a lot of technology
 23 development that was going on at the company. I think
 24 we would have had better visibility and a, and more
 25 discipline and an understanding of what resources and

Page 51

1 organization would be needed to get a product out.
 2 Whether that would have shifted the timelines in one
 3 direction or the other is really difficult to say
 4 because, you know, even since Richard's come on board
 5 as chief product officer, we have made some
 6 modifications to the product specification.
 7 Q. And, again, would you have preferred to have
 8 had that greater visibility and discipline earlier?
 9 MR. NAEGELE: Object to form.
 10 MR. LITVACK: Asked and answered.
 11 MR. NAEGELE: Speculation as well.
 12 A. That would have -- yes, that would have been
 13 preferred.
 14 MR. PFEIFFER: And just for the record,
 15 there are two lawyers here representing Mr. -- Dr. Song
 16 and the company. You actually -- since you're
 17 representing the same people, you don't get to both
 18 object, if you were. I don't know if you both were
 19 because I can't see.
 20 MR. LITVACK: So the FTC is objecting as a
 21 party in the case. And this is Doug Litvack, who
 22 represents the witness who is also objecting on behalf
 23 of the witness.
 24 MR. PFEIFFER: I couldn't tell if anybody
 25 else objected because I can't see all the screens.

Page 52

1 Thank you.
 2 MR. LITVACK: No problems.
 3 MR. PFEIFFER: That's so we're all on the
 4 same page.
 5 MR. LITVACK: Yeah, We're all on the same
 6 page.
 7 Q. (By Mr. Pfeiffer) Are you familiar -- you
 8 mentioned a prototype earlier. Do you recall that
 9 discussion earlier this morning?
 10 A. Yes.
 11 [REDACTED]
 12 [REDACTED]
 13 [REDACTED]
 14 Q. Is that sometimes also referred to as a "beta"
 15 or do you guys not use that terminology?
 16 MR. NAEGELE: Object to form.
 17 A. Some people -- some people use that
 18 terminology. I have come to appreciate people use
 19 different terms -- alpha, beta, prototype -- without
 20 always having clear distinction between those.
 21 (The Reporter clarified speaker identification
 22 for previous objection.)
 23 MR. NAEGELE: That was me. I objected to
 24 form.
 25 Q. (By Mr. Pfeiffer) So is "prototype" how you

Page 53

1 would refer to it? Is that what would be most
 2 comfortable for you if I refer to it that way rather
 3 than a beta?
 4 A. Either one is fine for me.
 5 [REDACTED]
 6 [REDACTED]
 7 [REDACTED]
 8 [REDACTED]
 9 [REDACTED]
 10 [REDACTED]
 11 [REDACTED]
 12 [REDACTED]
 13 [REDACTED]
 14 [REDACTED]
 15 [REDACTED]
 16 [REDACTED]
 17 [REDACTED]
 18 [REDACTED]
 19 [REDACTED]
 20 [REDACTED]
 21 [REDACTED]
 22 [REDACTED]
 23 [REDACTED]
 24 [REDACTED]
 25 [REDACTED]

[Redacted text block]

Page 56
[Redacted text block]
25 Q. If you -- if you turn back to the press

[Redacted text block]

Page 57
1 release, please.
2 A. Okay.
3 Q. If you go down to the quote that's attributed
4 to you, you'll see that there's a quote that comes
5 before your name, and then there's the rest of the
6 quote.
7 A. Yes.
8 Q. If you look at the second part of the quote,
9 it says: The goal and vision have always been to
10 develop a disruptive sequencing technology, taking into
11 account accuracy, speed, throughput, and cost. The
12 team's success in advancing product development across
13 these dimensions has attracted considerable interest
14 from top-tier investors.
15 Was that an accurate statement at the time you
16 made it?
17 A. Yes.
18 Q. To your understanding, what was it about
19 Omniome and its technology that was -- had attracted
20 considerable interest from top-tier investors?
21 A. I think probably one of the most notable
22 features was the improved accuracy of SBB over SBS.
23 Q. And when you refer to accuracy, what do you
24 mean?
25 A. Sequencing error. And we refer to it as

Page 58 Page 60

1 actual single raw-read error.

2 Q. And to your understanding, which technology,

3 which chemistry is more accurate? The SBB that you use

4 or the SBS that Illumina uses?

5 MR. NAEGELE: Object to form.

6 A. Based on what we've been able to evaluate and

7 study, we believe that the SBB chemistry has higher

8 accuracy.

9 MR. PFEIFFER: Marcus, would you put up

10 the next Tab 2 document, please?

11 THE WITNESS: Is this Exhibit 03?

12 MR. PFEIFFER: Exactly, Exhibit 03.

13 And, for the record, Exhibit 03 is a

14 PowerPoint deck entitled "Omniome Overview and Update,"

15 dated January 2018, the first page of which bears the

16 Bates label Omniome FTC_ILL-00000069.

17 (Exhibit 03 was marked for identification.)

18 Q. (By Mr. Pfeiffer) Do you have that document?

19 A. I do.

20 Q. What is this document?

21 A. Oh, sorry, I was just -- I was -- hold on.

22 It's refreshing. I'm trying to go back to the first

23 screen.

24 Q. Sure.

25 A. It's a Omniome presentation providing an

Page 59 Page 61

1 update.

2 Q. And in your role as executive chairman, did

3 you tend to see business overviews and updates?

4 A. I did. Not all the time.

5 Q. Any reason to believe you didn't see this one?

6 A. I'd say that the -- I would have seen likely

7 the content of this. Whether or not I would have seen

8 this particular presentation, I'm not sure.

9 Q. And was it part of the regular course of

10 operations at Omniome to prepare and then retain

11 documents of this type?

12 A. It was -- depending on who -- yeah, it was

13 pretty common to prepare it. I'm not sure what you

14 mean by "retain."

15 Q. Oh, just this came to us from your files. And

16 I assume that you have a computer system where you keep

17 documents. Right?

18 A. Yeah.

19 Q. Okay. Okay. I want to ask you just about a

20 few slides in particular. If you would scroll down to

21 the page that at the bottom ends in 074.

22 A. I'm there.

23 [REDACTED]

24 [REDACTED]

25 [REDACTED]

Page 62
[Redacted text]

Page 64
[Redacted]
[Redacted]
4 Q. So is that the type of product that GRAIL is
5 developing? A liquid biopsy cancer testing base
6 product?
7 MR. NAEGELE: Object to form.
8 A. That's my --
9 MR. NAEGELE: Object to foundation.
10 A. That's my understanding.
11 Q. And this market opportunity that's being
12 discussed in these slides, was that something that you
13 see as limited to just GRAIL and its gallery test, or
14 do you think there are others who are going to be
15 participants in that market?
16 MR. NAEGELE: Object to form.
17 A. There are other com- -- there are other
18 companies also in the liquid biopsy cancer space.
19 Q. How many potential customers do you see in
20 that space?
21 A. I've never gone to quantify it. I think we
22 focused on some of the known companies that are already
23 out there.
24 Q. You mentioned the known companies. Are there
25 other startups that you, perhaps you don't even know

Page 63
[Redacted text]

Page 65
1 about yet in this space?
2 A. There -- there could be.
3 Q. Of the people, including GRAIL, who are out
4 there, do you know which ones are going to be
5 successful as you sit here today?
6 MR. NAEGELE: Object to form. Object to
7 foundation.
8 A. I think it's hard for me to say who's going to
9 be successful and how to quantify that, but there are
10 tests that are available today in this space.
11 Q. What tests do you know that are available
12 today in this space that are -- that are blood-based
13 cancer screening tests?
14 A. I don't know the product names. I know more
15 of the companies that are offering those types of
16 tests.
17 Q. Okay. Sure. Why don't you give it to me that
18 way.
19 A. So Guardant would be a company. Natera would
20 be another company. Invitae. There's others like
21 Freenome and Thrive and Personal Genome Diagnostics. I
22 believe Freenome and Thrive might have both been
23 acquired, though. I think Exact Sciences might have
24 acquired one of them. I can't remember which one.
25 Those are kind of top of mind for me.

17 (Pages 62 - 65)

Page 66

1 Q. In terms of those companies you've just been
 2 discussing, how many of them, to your knowledge, are
 3 offering a blood-based early detection cancer screening
 4 test today that people can actually go out and ask
 5 their doctor to get for them?
 6 MR. NAEGELE: Object to form.
 7 A. Well, you inserted the word "screening." So I
 8 don't know how many are offering -- there's definitely
 9 use of liquid biopsy tests for patients who already
 10 have cancer and for monitoring.
 11 For screening of an asymptomatic population,
 12 there may be. I -- again, I'm not as deeply involved
 13 in this field as I used to be. I don't know if there's
 14 any bona fide blood-based screening tests for cancer
 15 that one can easily access. There might be. I just, I
 16 personally don't know.
 17 Q. So would you consider both the symptomatic
 18 population-based test and the asymptomatic screening
 19 test to both be target customers for NGS sequencing
 20 machines?
 21 A. Yes.
 [REDACTED]

Page 67

[REDACTED]

19 Q. And are you aware of other companies who, like
 20 Omniome, are in the process of developing alternative
 21 sequencers?
 22 A. Yes.
 23 Q. Who else is out there as potential
 24 competitors?
 25 A. Singular Genomics is a company, as well as

Page 68

1 another company that's in the development stage would
 2 be considered Element Biosciences. And then there is a
 3 company called MGI, which is a spin -- some
 4 relationship to BGI from China, which also has a
 5 sequencing system.
 6 Q. Okay. What about Oxford Nanopore? Are you
 7 familiar with them?
 8 A. Yes.
 [REDACTED]

21 Q. Thank you.
 22 Would you go next to the slide ending in 082?
 23 It says: Core Enabling Technology Sequencing By
 24 Binding, SBB.
 25 A. I'm there.

Page 69

[REDACTED]

Page 70

[Redacted]

Page 72

2 Q. Would you go to the next slide, please, the
3 one that ends in 083?
4 A. Yes.

[Redacted]

Page 71

[Redacted]

Page 73

4 Q. So someone then doesn't have to use any
5 specific type of library consumables?
6 A. Yeah. You could design -- you could work
7 with -- you could -- the chemistry could work with a
8 multitude of different types of, different sort of
9 library prep processes.
10 Q. Are those sometimes referred to as "library
11 prep kits"?
12 A. Yes.
13 Q. And are there different manufacturers of
14 library prep kits out there?
15 A. Yes.
16 Q. Not just Illumina; right?
17 A. That's correct.

[Redacted]

Page 74

[REDACTED]

[REDACTED]

6 Q. Okay. Thank you.

7 Mr. Pfeiffer: I think we've been going

8 about an hour. Why don't we take another short break?

9 THE WITNESS: Okay.

10 THE VIDEOGRAPHER: We are going off the

11 record at 10:22.

12 (Recess taken 10:22 a.m. - 10:36 a.m.)

13 THE VIDEOGRAPHER: We are back on the

14 record. The time is 10:36. Please proceed.

15 Q. (By Mr. Pfeiffer) Dr. Song, I wanted to ask

16 you to turn back to an exhibit that you looked at

17 earlier this morning. It'll be in the folder with the

18 number PX8509. It's that September 17th, 2019 board

19 deck.

20 A. I have it open.

21 Q. Oh, great. Okay. Within that, if you would

22 turn to the slide ending in, it's PX8509-018. It has

23 the Bates number ending in 0586.

24 A. Okay.

[REDACTED]

Page 76

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

Page 75

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

Page 77

[REDACTED]

2 Q. If you turn within the same slide deck, then,

3 to the slide -- it's Slide 26 of the slide deck, and it

4 ends in the Bates No. 596.

5 A. Wait. Slide 20 -- wait.

6 Q. Only -- only some of the slides have numbers.

7 Apparently only the odd pages have numbers on the

8 slides themselves the way this is depicted. But if you

9 go to, it's, the Bates number ends in 596 and the PX

10 number ends in 028.

11 A. Oh, yeah, yeah, yeah, I see that. Yeah.

12 Okay.

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

Page 78

[Redacted text block]

Page 80

[Redacted text block]

Page 79

[Redacted text block]

Page 81

23 Q. Yeah. But you're still placing the bet that
24 you are going to be viable and will attract demand?
25 MR. NAEGELE: Object to form.

Page 82

1 A. I mean, we obviously are going forward and
2 still planning on developing a product, yeah.
[Redacted text]

Page 84

1 A. Yes.
[Redacted text]

Page 83

[Redacted text]

23 Q. If you go to -- it's a couple forward now.
24 It'll be Slide 40. And the page has a Bates number
25 ending 610 and the PX number ending in 042.

Page 85

[Redacted text]

24 Q. And -- and Omniome considers its sequencers to
25 be more accurate than Illumina's. Right?

Page 90

[REDACTED]

Page 92

[REDACTED]

Page 91

[REDACTED]

Page 93

1 Okay. Why don't we go back to the folder.

2 MR. PFEIFFER: And, Marcus, if you would

3 please put up Tab 4.

4 Q. (By Mr. Pfeiffer) You should now have a

5 document titled Exhibit 04, Omniome FTC? Do you see

6 that?

7 A. Yes.

8 (Exhibit 04 was marked for identification.)

9 Q. Okay. If you'd open that for me, please.

10 MR. PFEIFFER: And for the record, I'll

11 state while this is coming up that this is a PowerPoint

12 document entitled "Delivering the World's Most Accurate

13 DNA Sequencing Platform, Company Overview." And it

14 says "David Mullarkey, president and CEO" under that.

15 It was produced to us from Omniome's files, and it

16 bears the Bates label from Omniome ending in 01469 on

17 the first page.

18 Q. (By Mr. Pfeiffer) Do you have that document

19 in front of you?

20 A. I do.

21 Q. Okay. What is this document?

22 A. Just looking through it, it's actually the

23 first time I've seen this template, actually. So this

24 would be --

25 Q. Okay.

Page 94

1 A. -- the first time I'm actually seeing this
 2 document because I don't, I don't recognize
 3 the template. But just flipping through it, the
 4 contents, it's -- I'm familiar with the content. Or if
 5 I, if I saw the template, it's not -- it's not really
 6 ringing a bell. So -- but the content is, it just
 7 looks like it's been formatted differently than what
 8 I've seen.
 9 Q. If you'd take a look at the slide ending in
 10 471. It's entitled "Leadership." Do you have that
 11 slide?
 12 A. Yeah, it's just refreshing it right now.
 13 Q. Oh, okay.
 14 A. I'm scrolling around. Yes, I'm at that slide.
 15 Q. Does that help you place approximately when
 16 this leadership team would have been in place?
 17 A. Yeah.
 18 Q. What does it tell you?
 19 A. I would say -- I mean, this was definitely
 20 before -- it's interesting. This would have been --
 21 yeah, because I'm looking at Kathy. I think she left
 22 in the summer of last year. So this would have been
 23 before the summer of 2020. So I would -- you know, I'm
 24 trying to see if there's other points, and I see the
 25 number of employees. This is probably, like, an

Page 96

[REDACTED]

Page 95

1 early -- if I had to take my best-educated assumption,
 2 I would say that -- I could probably hone it in better.
 3 But I would say it's maybe first half 2020, late 2019.
 4 Q. Okay.
 5 A. Just based upon the leadership. I'm sure
 6 there might be other clues in the deck that could --
 7 Q. Yeah. And feel free if you see other clues
 8 that help pin that down any further.
 9 If you turn to the seventh slide in the deck,
 10 there are some smaller numbers at least on some of the
 11 slides, but it's the page ending in 475.
 12 A. Yeah.
 13 Q. It's entitled "Our Cost" -- or our, rather,
 14 "Our Competitive Advantage."
 15 A. Yes.
 16 Q. And then next to it, it says: Next-generation
 17 sequencing, NGS, system with leading accuracy, cost and
 18 flexibility.
 19 Do you see that?
 20 A. Yes. Yes.

[REDACTED]

Page 97

[REDACTED]

Page 98

1 [REDACTED]
 2 [REDACTED]
 3 [REDACTED]
 4 [REDACTED]
 5 [REDACTED]
 6 [REDACTED]
 7 [REDACTED]
 8 [REDACTED]
 9 [REDACTED]
 10 [REDACTED]
 11 [REDACTED]
 12 [REDACTED]
 13 [REDACTED]
 14 [REDACTED]
 15 [REDACTED]
 16 [REDACTED]
 17 [REDACTED]
 18 [REDACTED]
 19 [REDACTED]
 20 [REDACTED]
 21 [REDACTED]
 22 [REDACTED]
 23 [REDACTED]
 24 [REDACTED]
 25 Q. As you sit here today in June of 2021, how

Page 100

1 [REDACTED]
 2 [REDACTED]
 3 [REDACTED]
 4 [REDACTED]
 5 [REDACTED]
 6 [REDACTED]
 7 [REDACTED]
 8 [REDACTED]
 9 [REDACTED]
 10 [REDACTED]
 11 [REDACTED]
 12 [REDACTED]
 13 [REDACTED]
 14 [REDACTED]
 15 [REDACTED]
 16 [REDACTED]
 17 [REDACTED]
 18 [REDACTED]
 19 [REDACTED]
 20 [REDACTED]
 21 [REDACTED]
 22 [REDACTED]
 23 [REDACTED]
 24 [REDACTED]
 25 [REDACTED]

Page 99

1 much growth potential do you see in the sequencing
 2 market? How much market opportunity do you see out
 3 there that you might capture?
 4 MR. NAEGELE: Object to form.
 5 A. I don't -- I have not looked at the sequencing
 6 overall landscape to look at growth trajectory in this
 7 sort of iterated space. I do believe that it's still
 8 growing at maybe low double digits from a pretty strong
 9 base of at least a few billion dollars. And so it, to
 10 us, represents still an attractive market.
 11 Q. If you turn next to Slide 12 in this deck,
 12 which should end in the Bates No. 480.
 13 A. Yes.
 14 [REDACTED]
 15 [REDACTED]
 16 [REDACTED]
 17 [REDACTED]
 18 [REDACTED]
 19 [REDACTED]
 20 [REDACTED]
 21 [REDACTED]
 22 [REDACTED]
 23 [REDACTED]
 24 [REDACTED]
 25 [REDACTED]

Page 101

1 [REDACTED]
 2 [REDACTED]
 3 [REDACTED]
 4 [REDACTED]
 5 [REDACTED]
 6 [REDACTED]
 7 [REDACTED]
 8 MR. NAEGELE: Object to form.
 9 Q. (By Mr. Pfeiffer) Would you turn next to the
 10 Slide 487?
 11 A. Yeah.
 12 Q. It says: Sequencing By Binding (SBB)
 13 Overview.
 14 And then, actually, sequencing by binding is
 15 trademarked. And I noticed that on your website, as
 16 well. Is that a trademarked phrase or is there a
 17 trademark application?
 18 A. I don't know for certain.
 19 Q. Would you expect so, given that it says "TM"
 20 there?
 21 A. I believe -- I believe -- I've heard -- I've
 22 been in conversation where I've heard that there was
 23 plans to get it trademarked. I don't have confirmation
 24 as to whether or not we were successful in getting that
 25 done. But I have seen the TM next to it in various

Page 102

1 documents.

2 Q. Do you know approximately how many patents you

3 have that relate to the various components of your

4 eventual NGS sequence you're offering?

5 A. I don't know how many are directly related.

6 We have a lot of patents that we have filed. I don't

7 know what proportion of those are directly related to

8 the configuration that we would go to market with.

9 Q. Well, let's start with just how many patents

10 do you know that you have at this point that you've

11 applied for? Let's -- let's --

12 A. So, yeah, so --

13 Q. Let me start over so that's a clearer

14 question. Sorry.

15 Approximately how many patents do you

16 understand you have applied for at Omniome?

17 A. Yeah, so both pending and issued, still in

18 progress, you know, in all different countries and

19 jurisdictions, I believe we have over 200.

20 Q. Why did you apply for those patents?

21 A. One, because there was innovation that

22 happened at the company. So if there was novel

23 inventions, and so we wanted to credit that.

24 And then I think ultimately as we think about

25 both our core product and there's a lot of innovation

Page 103

1 that happens in the field, we wanted to make sure that

2 we built a robust patent state to, (a), protect our

3 product, but also ensure that we protect any new ideas,

4 whether or not they be relevant to our products, that

5 might be potentially relevant to what other people are

6 doing in this space or beyond.

7 Q. I think that's it for this document.

8 MR. PFEIFFER: Marcus, would you please put up

9 Tab 5?

10 Q. (By Mr. Pfeiffer) There it is. Do you have

11 it yet? You should see Exhibit 05.

12 A. I have it.

13 (Exhibit 05 was marked for identification.)

14 Q. And for the record, this is a PowerPoint

15 document that is entitled "Omniome, Inc., Board of

16 Director's Meeting, December 2nd, 2019," produced from

17 Omniome's files, with the Bates number ending in 0773

18 on the first page. Is that the document you have in

19 front of you?

20 A. Yeah.

21 Q. And to the best of your understanding, was

22 this a board deck presented in connections with a board

23 meeting in December of 2019?

24 A. Yes.

25 Q. If you'd go within this document to Slide 21,

Page 104

1 please.

2 A. 21. What is the --

3 Q. Sorry. Yeah, it doesn't have the Bates

4 numbers at the bottom. It should say 795 at the

5 bottom. My apologies.

6 [REDACTED]

7 [REDACTED]

8 A. I'm almost -- it's just taking a little bit of

9 time to load.

10 Q. Yeah, no worries. Just let me know when you

11 have it.

12 A. Yep, I'm there.

13 [REDACTED]

14 [REDACTED]

15 [REDACTED]

16 [REDACTED]

17 [REDACTED]

18 [REDACTED]

19 [REDACTED]

20 [REDACTED]

21 [REDACTED]

22 [REDACTED]

23 Q. All right. Well, I'm not going to ask you

24 more about that if it's not being actively pursued.

25 Why don't we go ahead within this to the slide ending

Page 105

1 in 811.

2 A. Okay.

3 [REDACTED]

4 [REDACTED]

5 [REDACTED]

6 [REDACTED]

7 [REDACTED]

8 [REDACTED]

9 [REDACTED]

10 [REDACTED]

11 [REDACTED]

12 [REDACTED]

13 [REDACTED]

14 [REDACTED]

15 [REDACTED]

16 [REDACTED]

17 [REDACTED]

18 [REDACTED]

19 [REDACTED]

20 [REDACTED]

21 [REDACTED]

22 [REDACTED]

23 [REDACTED]

24 [REDACTED]

25 [REDACTED]

Page 110

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

21 MR. NAEGELE: Object to form.

22 MR. PFEIFFER: Let's take a break. We've

23 been going again about -- about an hour. I'm about to

24 change topics. And let's take a short break, if that

25 works for you. And since you're yawning, I think that

Page 111

1 probably works for you.

2 THE WITNESS: Okay. Sorry about that.

3 MR. PFEIFFER: No worries.

4 THE VIDEOGRAPHER: We are going off the

5 record at 11:31.

6 (Recess taken 11:31 a.m.- 11:43 a.m.)

7 THE VIDEOGRAPHER: We are back on the

8 record. The time is 11:43. Please proceed.

9 Q. (By Mr. Pfeiffer) Dr. Song, I wanted to

10 change topics a bit and discuss your time back at

11 Ariosa, which you may recall there were -- there were a

12 number of questions directed to you about your time at

13 Ariosa in your March testimony. Do you recall

14 generally that topic area?

15 A. Yes.

16 Q. So I want to follow up on some of what was

17 discussed there. One of the things that was discussed

18 there was a patent infringement lawsuit that Illumina

19 filed against Ariosa in 2014. Do you recall that

20 general subject?

21 A. Yes.

22 Q. And there was particularly some testimony

23 about the relationship between that lawsuit and a

24 potential IPO that Ariosa had been considering. Do you

25 recall that?

Page 112

1 A. Yes.

2 Q. So do you recall when Ariosa announced its

3 intention to -- to proceed with an IPO?

4 A. I don't recall the exact dates. It was

5 sometime in 2014, though, I believe.

6 Q. And you did at Ariosa actually file a Form S-1

7 registration statement with the SEC, didn't you?

8 A. Yes.

9 Q. Does March 26th, 2014 sound about right for

10 that S-1?

11 A. Yeah, pro- -- that seems like a reasonable

12 time period.

13 Q. Let's see if we can pin that down anymore.

14 MR. PFEIFFER: Marcus, would you put up

15 Tab 6, please -- or seven. Tab 7.

16 Q. (By Mr. Pfeiffer) There we go.

17 A. Okay. I have it up.

18 Q. I'll represent to you and for the record

19 what's been marked as Exhibit 7 is an article from the

20 GenomeWeb website dated "NIPT Firm Ariosa Diagnostics

21 Files for IPO," dated March 26, 2014.

22 (Exhibit 07 was marked for identification.)

23 Q. Are you familiar with GenomeWeb?

24 A. Yes.

25 Q. Who are they?

Page 113

1 A. They're an industry trade publication. I

2 believe they're mostly online.

3 Q. And you'll see that this article refers to

4 Ariosa as having filed for an IPO?

5 A. Yes.

6 Q. Does that refresh your recollection that it

7 was at least on or around March 26th, 2014?

8 A. Yes.

9 Q. Okay. Now, in your testimony back in March,

10 you had referred to Illumina suing Ariosa for patent

11 infringement, but that came well after you filed your

12 Ariosa S-1, didn't it?

13 MR. NAEGELE: Object to form.

14 A. I remember it just because it occurred on the

15 day that we were supposed to launch our IPO road show.

16 Q. Okay. Had you disclosed to Illumina that you

17 were launching your road show for your IPO?

18 A. No. But a press release from NASDAQ, I

19 believe, with a pricing range was released publicly on

20 the eve before Illumina filed its patent infringement

21 lawsuit against us.

22 Q. Oh, so -- so you think the night before

23 Illumina filed its patent infringement lawsuit is when

24 NASDAQ issued its press release about your IPO pricing?

25 A. I -- that's what I recall, is that there was a

<p style="text-align: right;">Page 114</p> <p>1 formal -- because we filed the IPO. There's some 2 period of time that transpires before an IPO road show 3 would start. And it's really once that road show 4 starts with a pricing range that then I would say it's 5 pretty well-known in the industry that you'll be then 6 trading as a public entity. At least back in 2014 it 7 was about two weeks after that date. 8 Q. You're not suggesting that -- that Illumina 9 put together a complaint overnight and filed it the 10 next day because you were going to be going out with 11 your pricing road show, are you? 12 MR. NAEGELE: Object to form. 13 MR. LITVACK: Objection. Mischaracterizes 14 his testimony. Unnecessarily argumentative. 15 Go ahead and answer, Ken. 16 A. I, so, I mean, this is -- I don't know, 17 obviously, exactly for certain what they did or did not 18 do. My -- I'm left with the impression that they had 19 already prepared that and they were waiting for the 20 opportune time to release it, which, to me, seems that 21 it occurred the day after there was a -- they would've 22 well -- I believe it -- I believe it was well known 23 that we would begin our road show and start pricing two 24 weeks later. I don't think that's a mystery to anyone. 25 So that's at least -- to me, it seemed like it was more</p>	<p style="text-align: right;">Page 116</p> <p>1 This argument -- this article wasn't written by Ken 2 Song, so I'm not sure what you're asking him. 3 MR. PFEIFFER: You really have to stop 4 with the speaking objections. That's going to be very 5 problematic. You can object to the form of the 6 question, and then we'll go on from there. 7 Q. (By Mr. Pfeiffer) Sir -- 8 MR. NAEGELE: I also object on the 9 foundation as well. 10 Q. (By Mr. Pfeiffer) It's consistent with your 11 understanding, having been at Ariosa as its CEO, that 12 it sued Sequenom in 2011. Is that right? 13 A. Yes. 14 Q. Okay. And then it also says in early 2012 15 Sequenom returned volley and sued Ariosa, alleging 16 patent infringement. Is that also correct to your 17 understanding? 18 A. It was -- I believe it's on the same patent 19 matter between us sort of doing a declaratory judgment 20 and then them coming back and suing us on that specific 21 patent. 22 Q. And the last sentence in that paragraph says: 23 Later that year, Verinata and Stanford University also 24 took Ariosa to court accusing it of patent 25 infringement.</p>
<p style="text-align: right;">Page 115</p> <p>1 than just a coincidence. I don't believe that they 2 prepared it overnight. I believe that it had already 3 been prepared in advance. 4 Q. But you don't know when -- why they filed it 5 when they filed it. You're speculating. Right? 6 MR. NAEGELE: Object to form. 7 A. That's -- yes. 8 Q. Now, if -- if you look at this web -- 9 GenomeWeb article, Exhibit 7, if you turn to the 10 paragraph, I guess, fourth up from the bottom that 11 begins "In addition." Do you see that paragraph? 12 A. On the first -- on the first page? 13 Q. Yes. Sorry, on the first page, fourth 14 paragraph up from the bottom, beginning with the words 15 "In addition." 16 A. Yes. 17 Q. It says: In addition to being highly 18 competitive, the NIPT space has been -- has been highly 19 litigious. 20 Do you see that? 21 A. Yes. 22 Q. And then next to that it says that in 2011 23 Ariosa actually sued Sequenom to invalidate a patent. 24 Is that right? 25 MR. LITVACK: Objection on foundation.</p>	<p style="text-align: right;">Page 117</p> <p>1 Is that also consistent with your recollection 2 that in 2012 Verinata and Stanford sued Ariosa for 3 patent infringement? 4 A. Yes. 5 Q. And that was prior to Illumina acquiring 6 Verinata, wasn't it? 7 A. I believe so. 8 Q. And that Verinata/Stanford case wasn't 9 resolved by the time you filed your S-1 in 2014, was 10 it? 11 A. It was not. 12 Q. But there had been a claim construction 13 hearing that supported the view that Ariata -- Ariosa, 14 rather, had infringed the Verinata and Stanford 15 patents. Is that right? 16 MR. NAEGELE: Objection. Foundation. 17 A. Yeah, I don't recall the details of timing 18 around -- it's, like, I don't know what the claim 19 construction and this and that and what the outcomes. 20 I don't recall the dates around that in relation to the 21 IPO. 22 Q. Okay. We'll let that speak for, I guess -- 23 there'll be other records of that. 24 Are you suggesting that Illumina's 2014 25 infringement suit after it bought Verinata was not</p>

<p style="text-align: right;">Page 118</p> <p>1 well-founded?</p> <p>2 MR. NAEGELE: Object to form.</p> <p>3 A. Yeah, I think our -- yeah, our belief is that</p> <p>4 that infringement lawsuit that they filed against us</p> <p>5 was not -- that there was no credible basis for that.</p> <p>6 Q. In fact, didn't a federal jury ultimately find</p> <p>7 for Illumina on that patent claim and award it millions</p> <p>8 in damages?</p> <p>9 MR. NAEGELE: Objection. Leading.</p> <p>10 A. So, you know, I know that they're -- at least</p> <p>11 during our, my tenure at Ariosa, and shortly thereafter</p> <p>12 through my involvement with Roche, there was multiple</p> <p>13 patent matters in dispute with multiple appeals going</p> <p>14 back and forth.</p> <p>15 I think we've been very consistent, at least</p> <p>16 my view, and the company, Ariosa's view has been very</p> <p>17 consistent throughout, that we did not have, we did not</p> <p>18 infringe on any patents that were being accused against</p> <p>19 us.</p> <p>20 Q. Were you not aware that a federal jury did</p> <p>21 find for Illumina on the patent infringement claim</p> <p>22 against Ariosa and award it millions in damages?</p> <p>23 MR. NAEGELE: Objection. Leading.</p> <p>24 A. No, I'm aware of that, but I also -- I don't</p> <p>25 recall whether -- again, I just stopped following the</p>	<p style="text-align: right;">Page 120</p> <p>1 Tab 10, please?</p> <p>2 Q. (By Mr. Pfeiffer) You should see now what's</p> <p>3 Exhibit 08.</p> <p>4 A. Okay.</p> <p>5 Q. Would you open that, please?</p> <p>6 A. Yes.</p> <p>7 Q. I think you may have just referred to this.</p> <p>8 This is a GenomeWeb article from May 26, 2001, entitled</p> <p>9 "Illumina, Roche Agree to Settle NIPT Patent Lawsuits."</p> <p>10 (Exhibit 08 was marked for identification.)</p> <p>11 Q. Is that the article you thought you saw</p> <p>12 recently?</p> <p>13 A. Well, I don't -- yeah, I just saw the tag</p> <p>14 line. I don't know that I saw the actual GenomeWeb</p> <p>15 article because I don't have a subscription to</p> <p>16 GenomeWeb.</p> <p>17 Q. Okay. If you take a look within this, I guess</p> <p>18 it's the fourth paragraph in Exhibit 08, it says,</p> <p>19 "Verinata Health, acquired by Illumina in 2013,</p> <p>20 initially filed suit against Ariosa Diagnostics in</p> <p>21 2012" at the beginning of it. Do you have that?</p> <p>22 A. Yes.</p> <p>23 Q. You'll see there in the next to last sentence,</p> <p>24 it says: In June 2018, a jury awarded Illumina</p> <p>25 \$26.7 million in damages for patent infringement</p>
<p style="text-align: right;">Page 119</p> <p>1 back and forth. I don't know if that was -- I can't</p> <p>2 recall if that was formally appealed or whatnot. And</p> <p>3 then I -- I do believe I saw something recently that</p> <p>4 there was ultimately some type of settlement, again.</p> <p>5 But I don't know what patent matters and what the</p> <p>6 outcome of that was. It was just something that was</p> <p>7 published, I think, just a few weeks ago, actually.</p> <p>8 Q. And we may come to that. Were you aware that</p> <p>9 the federal circuit affirmed that jury verdict in</p> <p>10 Illumina's favor of infringement by Ariosa on appeal</p> <p>11 after it was appealed?</p> <p>12 A. On which patent -- again, which patent?</p> <p>13 Q. The patent suit under, in the 2014 Illumina</p> <p>14 lawsuit that you talked about being close in timing to</p> <p>15 your road show.</p> <p>16 A. Again, I, that sounds familiar. Again, I --</p> <p>17 it is, I literally just did not -- it was the different</p> <p>18 lawsuits. I don't -- I don't know ultimately the final</p> <p>19 fate of what happened and all the back and forth.</p> <p>20 Particularly since post our being acquired in 2015 and</p> <p>21 my departure from Roche in 2016, I definitely did not</p> <p>22 keep as close tabs on this as I had when I was at</p> <p>23 Ariosa.</p> <p>24 Q. Let's take a look --</p> <p>25 MR. PFEIFFER: Marcus, would you put up</p>	<p style="text-align: right;">Page 121</p> <p>1 stemming from the 2014 lawsuit.</p> <p>2 Do you see that?</p> <p>3 A. Yes.</p> <p>4 Q. Do you have a basis to dispute what's said in</p> <p>5 that GenomeWeb article?</p> <p>6 A. No.</p> <p>7 Q. Okay. Than it subsequently says: Ariosa</p> <p>8 appealed, and in April 2020 the U.S. Court of Appeals</p> <p>9 for the federal circuit affirmed the lower court's</p> <p>10 decision.</p> <p>11 Do you have a basis to dispute that statement?</p> <p>12 A. Nope.</p> <p>13 Q. Now, did Ariosa ultimately complete the IPO</p> <p>14 that it submitted the S-1 for in March of 2014?</p> <p>15 A. No.</p> <p>16 Q. And Ariosa ultimately was acquired by Roche,</p> <p>17 you said. Right?</p> <p>18 A. Yes.</p> <p>19 Q. And Ariosa actually ended up raising more</p> <p>20 money by Roche buying it than it had planned to raise</p> <p>21 through an IPO, didn't it?</p> <p>22 MR. NAEGELE: Object to form. Leading.</p> <p>23 A. I don't know by "raised." I mean, Roche ended</p> <p>24 up acquiring the company outright, and so, you know, it</p> <p>25 became part of Roche. Whereas the IPO process would</p>

Page 122

1 have, you know, brought in funds into the company to
 2 continue operations obviously as an independent entity.
 3 So I think -- they're a little bit different.
 4 Q. That's fair. The dollar value of the IPO was
 5 substantially less than the purchase price that Roche
 6 paid for the company. Fair to say?
 7 A. I think because we never did -- I think
 8 the projec- -- I don't remember the projected terms of
 9 what the IPO would have valued the company at.
 10 Obviously we don't know what the value would have been
 11 because we never completed the IPO process.
 12 Q. Does it ring a bell that the figures were
 13 discussed in the range of the IPO raising between 56
 14 and \$69 million?
 15 A. I don't know the exact numbers, but that --
 16 that seems to be a reasonable ballpark based upon what
 17 I recall and what I think IPOs were sort of doing, you
 18 know, back then.
 19 Q. And Roche acquired Ariosa in 2015. Is that
 20 right?
 21 A. Yes.
 22 Q. Do you recall approximately how much Roche
 23 paid to acquire Ariosa?
 24 A. There was an upfront payment. I can't
 25 remember. It was like 400 or 425. Somewhere between

Page 123

1 four and 450 upfront. And then there was contingencies
 2 of milestones that was about I think around another 200
 3 or so.
 4 Q. So approximately around \$600 million total?
 5 A. Yes.
 6 MR. PFEIFFER: And, Marcus, would you put
 7 up Tab 8, please?
 8 Q. (By Mr. Pfeiffer) You should have now in your
 9 folder what's marked as Exhibit 09. Would you please
 10 open that, sir?
 11 A. Yes.
 12 Q. And Exhibit 09 is a document reduced to a PDF
 13 from a web page. It is a Roche press release from
 14 December 2nd, 2014, entitled "Roche Acquires Ariosa
 15 Diagnostics and Enters the Noninvasive Prenatal Test,
 16 NIPT, and Cell-Free DNA Testing Services Markets."
 17 (Exhibit 09 was marked for identification.)
 18 Q. Do you have that document in front of you?
 19 A. Yes.
 20 Q. This is another one you might want to zoom in
 21 on just a little bit. There is a quote attributed to
 22 you, I guess, just -- just above the "About Ariosa
 23 Diagnostics" line. It starts with the words, "We are
 24 thrilled."
 25 A. Yes.

Page 124

1 Q. It says: We are thrilled to join forces with
 2 Roche to continue in our commitment to bringing forward
 3 high quality and affordable genetic testing that
 4 positively impacts the medical care of patients around
 5 the world.
 6 And then it says: Said Ken Song, M.D., CEO of
 7 Ariosa.
 8 And that was an accurate quote of you, wasn't
 9 it?
 10 A. Yes.
 11 Q. And did Roche, in fact, help develop and
 12 commercialize Ariosa's Harmony test after the
 13 acquisition?
 14 A. I don't know by, I mean, develop? When they
 15 acquired the company, our tests had already been on the
 16 commercial market. There was -- I think there was one
 17 addition to it over time in terms of test content, but
 18 most of the development had already been completed by
 19 us.
 20 Q. So I'll reframe my question, then. Did Roche
 21 actually continue to expand the commercialization of
 22 your Harmony -- or Ariosa's Harmony test?
 23 A. Yes.
 24 Q. You also had mentioned in your testimony back
 25 in March that Ariosa had switched from an NGS-based

Page 125

1 approach to a microarray-based approach for its Harmony
 2 test. Is that right?
 3 A. Yes.
 4 Q. And you did succeed in making that conversion,
 5 didn't you?
 6 MR. NAEGELE: Objection. Leading.
 7 A. Um, yeah, I mean, it probably depends on how
 8 you define "succeeding." We -- we were able to convert
 9 the current test content we had at the time over to the
 10 array-based format, but in terms of the plans that we
 11 had, had we stayed on an NGS platform, we were not able
 12 to further make those development efforts on the
 13 array-based platform.
 14 Q. The Harmony test did ultimately go to the
 15 commercial marketplace after you switched to microarray
 16 technology. Right?
 17 MR. NAEGELE: Objection. Leading.
 18 A. Yeah, I would say that it continued. I mean,
 19 we continued to offer the Harmony test first through
 20 use of the Illumina sequencing HiSeq platform. And
 21 while we still had it on the marketplace, we
 22 transitioned to an array-based readout format while we
 23 were a commercial product.
 24 Q. And after you transitioned to the microarray
 25 technology, you continued to offer the Harmony test

Page 126

1 commercially?
 2 A. Yes.
 3 Q. On basically a global basis. Right?
 4 MR. NAEGELE: Object to form.
 5 A. Yes.
 6 Q. And is -- Ariosa's Harmony test is still being
 7 sold by Roche as an NIPT test option today, isn't it?
 8 MR. NAEGELE: Objection. Leading.
 9 A. I believe so. I haven't actually checked to
 10 see where it's still offered, but my understanding is
 11 that it is still available.
 12 Q. And is it the case you don't know where it's
 13 currently available?
 14 A. That's correct.
 15 Q. Okay. Have you checked? Have you tried to
 16 keep track of where it's available?
 17 A. No.
 18 Q. Is it fair to say that at least -- at least at
 19 some point you understood that the Harmony test had
 20 been commercialized in more than 100 countries around
 21 the world?
 22 MR. NAEGELE: Objection. Leading.
 23 A. It was -- while we were still at Ariosa, yeah,
 24 we were offering it in, I think we said 100 countries
 25 and territories because I think some of them were not

Page 127

1 necessarily countries but recognized territories or
 2 regions.
 3 Q. And do you know what the state of the
 4 commercial availability is today? Whether it's larger
 5 or smaller than those hundred countries and
 6 territories?
 7 A. I don't know.
 8 MR. NAEGELE: Object to form.
 9 Q. (By Mr. Pfeiffer) Didn't Ariosa at least
 10 publicly state that the switch to microarray both
 11 lowered cost and sped up turnaround times?
 12 MR. NAEGELE: Objection. Leading.
 13 A. I don't, I don't know if we made -- I don't
 14 know in what form or if we made, and how we made those
 15 statements. Did it lower costs? I can't -- I can't --
 16 I don't know if it lowered costs based upon projected
 17 cost or if we had a slight cost advantage. Because I
 18 think the array pricing that we got was slightly below
 19 what we might have been paying on a, on the sequencing
 20 cost.
 21 Probably -- as I go back, I'm trying to
 22 recall. It cost us probably on a per sample basis, I
 23 think, yeah, even with the array it was a little bit
 24 less than -- I think it was about like a few dollars or
 25 something compared to our per sample sequencing cost.

Page 128

1 Q. And --
 2 A. But our sequencing costs were low to begin
 3 with.
 4 Q. So is it the case, then, switching to the
 5 microarray lowered costs and increased turnaround -- or
 6 decreased turnaround time?
 7 MR. NAEGELE: Objection. Form.
 8 A. Yes, we had slightly lower costs and our
 9 turnaround time improved.
 10 Q. Now, I think you also mentioned in your March
 11 testimony, and I wanted to just ask you briefly, that
 12 there came a time before you switched to the microarray
 13 technology where Illumina wanted to charge Ariosa a
 14 higher, I guess, license fee. Does that sound
 15 familiar?
 16 A. There is multiple conversations that took
 17 place post the announcement of their acquisition by
 18 Verinata as it related to our supply agreement. In
 19 some instances the idea of a license fee did come up.
 20 Q. And do you recall having heard that Illumina
 21 and others, including Sequenom, had a patent pool that
 22 applied to some of the NIPT-related technology?
 23 MR. NAEGELE: Object to form.
 24 A. There was -- I do recall a patent pool. That
 25 terminology being used. I can't recall exactly when

Page 129

1 that came about because I do know that there was some
 2 discussions between Illumina, Verinata, and Sequenom.
 3 I don't know when they sort of combined their, the
 4 patents or whatever they did to put in that patent
 5 pool.
 6 Q. And were you aware that the patent pool
 7 charged basically all licensees the same fee? It
 8 didn't differentiate among individual users?
 9 MR. NAEGELE: Objection. Leading.
 10 A. I don't -- I don't know the details of how
 11 they construed the pricing for their other customers,
 12 but it wasn't relevant to us. And so the way it was is
 13 that if someone was using Verinata's methods or
 14 Sequenom's methods, which was a massively parallel
 15 shotgun sequencing, and needed those patents to
 16 practice, then, yes, the patent will apply.
 17 In our case where we used a completely
 18 different approach, even when we were on sequencing,
 19 the patent pool was not relevant.
 20 Q. And so you don't know, then, in answer to my
 21 question, whether the patent pool was charging
 22 everybody the same price?
 23 MR. NAEGELE: Object to form.
 24 A. That is correct.
 25 Q. Okay. Do you know approximately how many

Page 130

1 companies were -- were competing in the NIPT marketing
 2 space before Illumina acquired Verinata in 2013?
 3 A. In the U.S. I could point to that because we
 4 had a very good understanding and visibility.
 5 Q. Okay. Whom did you understand to be in the
 6 NIPT space in the U.S. before Illumina acquired
 7 Verinata?
 8 A. So the way the market worked in the U.S. was
 9 there was a handful of laboratories that could actually
 10 do the testing, you know, Ariosa, Verinata, Sequenom,
 11 and Natera. And then there were multiple other
 12 laboratories that would forge relationships with one of
 13 those four companies to effectively serve as a
 14 distributor of the test. So entities like Labcorp and
 15 Quest and ARUP and a whole bunch of other smaller
 16 regional labs.
 17 And so depending upon how you looked at it,
 18 you know, you could say there was a lot of laboratories
 19 that were offering the tests. But some of them were
 20 offering it via a distribution arrangement with one of
 21 the four main labs that were performing the test.
 22 Q. Didn't some additional companies enter the
 23 NIPT testing market after Illumina's acquisition of
 24 Verinata in 2013?
 25 MR. NAEGELE: Objection. Leading.

Page 131

1 A. I think that what we saw in the NIPT landscape
 2 was it developed very quickly. You had sort of the
 3 first four entrants, and it was relatively complex
 4 technology. And then you started seeing other entrants
 5 coming in, I believe even before Illumina acquired
 6 Verinata. I think particularly overseas.
 7 But then I think you just -- that that just --
 8 that market continued to evolve with more and more
 9 entities starting to offer the test themselves by
 10 performing the test themselves.
 11 Q. So -- so more companies were at that first
 12 level of the market rather than being distributors. Is
 13 that fair to say?
 14 MR. NAEGELE: Object to form.
 15 A. When you say -- what do you mean by "first
 16 level"?
 17 Q. Yeah, that wasn't a great question. Let me
 18 try that again.
 19 You described the original four participants
 20 in the marketplace as companies that were actually
 21 the -- above the distributor level labs. Right?
 22 A. Okay, Yeah.
 23 Q. And there are more -- there were more such
 24 companies after 2013 then there -- and Illumina's
 25 acquisition of Verinata than there were before 2013?

Page 132

1 MR. NAEGELE: Object to form.
 2 A. Yeah, a number of companies doing the testing
 3 themselves. Yes. That -- that continued to grow.
 4 Q. And there are still a number of companies in
 5 that space today, in the NIPT space, doing the testing
 6 themselves, aren't there?
 7 MR. NAEGELE: Object to form.
 8 A. (Inaudible.)
 9 (The Reporter requested the answer be
 10 repeated.)
 11 THE WITNESS: I said yes.
 12 MR. PFEIFFER: I am -- I am going to pass
 13 the questioning and reserve my remaining time for
 14 questioning after counsel for the FTC questions you
 15 again.
 16 MR. NAEGELE: Great. I think we've been
 17 going for almost another hour, and I think it's time
 18 for a lunch break, if that's okay with everyone.
 19 MR. LITVACK: How much more -- are we off
 20 the record? Let's go off the record.
 21 THE VIDEOGRAPHER: Okay. Thank you. One
 22 moment, please. We are going off the record at 12:15.)
 23 (Recess taken 12:15 p.m.- 12:52 p.m.)
 24 THE VIDEOGRAPHER: We are back on the
 25 record. The time is 12:52. Please proceed.

Page 133

1 MR. LITVACK: Dylan, you're on. I think
 2 we're back on the record. It's your turn to ask
 3 questions.
 4 MR. NAEGELE: Okay. Yeah, I think I had
 5 some technical -- technical issues.
 6 * * *
 7
 8 EXAMINATION
 9 BY MR. NAEGELE:
 10 Q. Dr. Song, have there been any leadership
 11 changes at Omniome recently?
 12 A. Yes.
 13 Q. What leadership changes have happened at
 14 Omniome recently?
 15 A. Could you specify a timeframe, and I can walk
 16 you through the changes that have happened?
 17 Q. In the last year.
 18 A. Okay. So in the last year we've had some
 19 changes at the CEO level. So in the sort of late
 20 summer, early fall time frame, I think this was sort of
 21 around September or so, we had a transition of the
 22 former CEO, Dave Mullarkey. And -- and then there was
 23 a gap in the -- and then, actually, at the same time
 24 that Dave Mullarkey was transitioning from the company,
 25 we brought in Richard Shen as the chief product officer

Page 134

1 full-time for the company. And that happened almost
 2 sort of contemporaneously.
 3 And then we actually brought in a new CEO
 4 named Robert Wicke, W-i-c-k-e. I believe he officially
 5 started in November of 2020, but that didn't quite work
 6 out that great for either of us, and so he transitioned
 7 from the company just in the end of April of this year.
 8 So about six or so weeks ago. And with that, we
 9 promoted Richard Shen from chief product officer to
 10 president.
 11 Q. After Mr. Wicke's departure, has Omniome
 12 continued to look for a CEO?
 13 A. We just actually kicked off that process
 14 recently.
 15 Q. When does Omniome anticipate hiring a CEO?
 16 A. That's always difficult to say because we
 17 definitely want to find the right type of individual.
 18 I would say that the phenotype of the individual that
 19 we're looking for is -- is someone that really could be
 20 more externally-facing for fundraising purposes,
 21 primarily. And we hope to be able to bring that
 22 individual into the company, you know, generally it
 23 takes about three to six months.
 24 Q. What benefit -- strike that.
 25 Is there a benefit to Omniome of having a CEO?

Page 135

1 A. Yes, I would say there is.
 2 Q. What is the benefit to Omniome of having a
 3 CEO?
 4 A. I think most organizations have a CEO. So I
 5 think just in terms of that, just from sort of what's
 6 standard and conventional, that's -- that's one. And I
 7 think that does have some relevance for the employees.
 8 But I really believe that, for us, a CEO is
 9 someone that could guide the company's overall sort of
 10 strategy, I would say. Particularly as it relates to
 11 capitalization strategy. So, financing and things like
 12 that.
 13 The type of individual we're looking for is
 14 not someone that really has much technical or product
 15 development expertise because Richard, as president,
 16 you know, really has that capability to lead the
 17 organization. So someone who's more financially savvy
 18 and obviously can understand the overall product
 19 development attributes, et cetera. But it's more
 20 someone who's financially savvy and can -- and can
 21 interface with investors, bankers, analysts eventually,
 22 and even potential, you know, strategic partners and
 23 things like that. Someone with a stronger finance
 24 business background.
 25 Q. Have the leadership changes over the last year

Page 136

1 impacted Omniome's plans for commercialization?
 2 A. Well, I would say that the -- there's, I mean,
 3 there's been some impact. I would say that different
 4 leaders would have different perspectives on sort of
 5 how to commercialize and what type of product it is
 6 that we'd want to get out into the market.
 7 So there has been some differing views, but I
 8 don't think that they were overall material. There
 9 were other factors at play, from, from my perspective,
 10 that really have sort of influenced and guided the
 11 product development timeline.
 12 Q. In your opinion has not having a CEO impacted
 13 Omniome's timeline for commercialization?
 14 A. Interestingly, in a way having Richard be
 15 president probably has been a big positive and has
 16 probably really helped the company towards getting
 17 towards a -- visibility on both the product and the
 18 timeline. And so oddly, the, the absence of the CEO,
 19 particularly recently, has been, actually been -- been
 20 fine. And -- and actually, if anything, having Richard
 21 be president has been an overall positive as it relates
 22 towards product development, timelines, et cetera.
 23 Q. I want to change gears and ask some more
 24 questions about the instrument that Omniome is
 25 developing. What is the throughput of the instrument

Page 137

1 that Omniome is developing?
 2 [REDACTED]
 3 [REDACTED]
 4 [REDACTED]
 5 [REDACTED]
 6 [REDACTED]
 7 [REDACTED]
 8 So depending on which instrument, I can talk
 9 to its anticipated throughput.
 10 Q. So I guess taking a step back, what is
 11 throughput?
 12 A. Throughput really refers in the
 13 next-generation sequencing space around output or
 14 amount of data generation, which is normally measured
 15 in a unit of giga bases. So it's the number of bases
 16 that ultimately you can sequence. And that is derived
 17 from looking at the number of individual sequencing
 18 reads multiplied by the average read for each of those
 19 reads. And so that's how throughput or output is
 20 normally viewed.
 21 Q. Is throughput a metric that you would track?
 22 A. Yes.
 23 Q. Why?
 24 A. Well, for users of next-generation sequencing,
 25 throughput matters because they have a certain amount

Page 138

1 of data that they're expecting to generate for their
 2 application, with some applications requiring a lot
 3 more data generation relative to others.
 [REDACTED]
 [REDACTED]
 [REDACTED]
 [REDACTED]
 [REDACTED]
 [REDACTED]
 [REDACTED]
 [REDACTED]
 [REDACTED]
 [REDACTED]
 [REDACTED]
 [REDACTED]
 [REDACTED]
 [REDACTED]
 [REDACTED]
 [REDACTED]
 [REDACTED]
 [REDACTED]

Page 139

[REDACTED]
 [REDACTED]
 [REDACTED]
 [REDACTED]
 [REDACTED]
 [REDACTED]
 [REDACTED]
 [REDACTED]
 [REDACTED]

8 Q. So what phase comes after the feasibility
 9 stage?
 10 A. We call -- I mean, different organizations
 11 call it something different, but it's product -- we
 12 call it product -- I think it's called, we're calling
 13 it product development stage.
 14 Q. And what does that stage entail?
 15 A. That stage really entails a very detailed
 16 timeline and set of activities to get to the final
 17 commercializable product being shipped out the door.
 18 So it's not as much exploration of different technical
 19 aspects. It's more integrating them and making them
 20 sort of more robust as it comes to repeatability and
 21 reliability, et cetera.
 22 Q. What comes after product development?
 23 A. Commercial launch, which may or may not entail
 24 beta programs and early access programs. That's more
 25 of a company-specific decision.

Page 140

1 Q. Moving back to product development, is product
 2 development a iterative process?
 3 A. It still is, yeah. Because you're -- you're
 4 still putting everything together, and you're learning.
 5 And you -- you may still be making some adjustments and
 6 modifications along the way. But not to the degree
 7 that you would during the feasibility and planning
 8 stage.
 9 Q. What kind of changes might you make during
 10 product development?
 11 A. You might figure out how much -- I mean,
 12 there's a whole host. And I'm, you know, I could just
 13 cite a couple examples I'm aware of, but I'm probably
 14 not the best person to lay out all the details of what
 15 could be there.
 [REDACTED]
 [REDACTED]
 [REDACTED]
 [REDACTED]
 [REDACTED]
 [REDACTED]
 [REDACTED]
 [REDACTED]
 [REDACTED]
 [REDACTED]
 [REDACTED]
 [REDACTED]
 [REDACTED]

Page 141

1 Q. You testified earlier that there's only one
 2 NGS on the market. Is that correct?
 3 MR. PFEIFFER: Object to the form of the
 4 question.
 5 A. Yeah, there's more than one NGS manufacturer,
 6 you know, depending how loosely you want to define
 7 next-generation sequencing. There's -- I would say
 8 there is -- I think people recognize that there's one
 9 clearly dominant player in the market.
 10 Q. And what company is the dominant player in the
 11 market?
 12 A. Illumina.
 13 Q. How many sequencer models does Illumina offer,
 14 to your knowledge?
 15 MR. PFEIFFER: Object to form. Lacks
 16 foundation.
 17 A. There's the, I think there's the iSeq, the
 18 MiniSeq, the MiSeq, the NextSeq, the HiSeq, which may
 19 or may not be getting phased out, and then the NovaSeq.
 20 So those are different models, and within them they
 21 might have -- even within each one of those there might
 22 be some slight differences. Like there's a MiSeq DX
 23 instrument, which is a diagnostic version of the
 24 instrument. So but at least -- I would say at least
 25 five if not six sort of core models upon which each

Page 142

1 core there may be other additional systems.
 2 Q. Are you familiar with the capabilities of
 3 these models?
 4 MR. PFEIFFER: Object to form of the
 5 question.
 6 A. To a certain extent.
 7 Q. Dr. Song, would you mind repeating your
 8 answer?
 9 A. I said to a certain extent.
 10 Q. Are you familiar with the throughput
 11 capabilities for these models?
 12 A. Roughly.

[REDACTED]

Page 144

[REDACTED]

Page 143

[REDACTED]

17 Q. Has Omniome had to make any changes to the
 18 design of its instrument since it began development?
 19 A. Yes. Quite a bit.
 20 Q. What changes has Omniome had to make?

[REDACTED]

Page 145

3 Q. Are you familiar with the term "early access
 4 customer"?
 5 A. Yes.
 6 Q. Does Omniome plan on offering a version of its
 7 instrument to early access customers?

[REDACTED]

16 Q. Do early access customers typically provide
 17 feedback on the product that they're develop -- sorry.
 18 Strike that.
 19 Do early access customers typically provide
 20 feedback on the product that they are provided?
 21 MR. PFEIFFER: Object to the form of the
 22 question. Lacks foundation.
 23 A. That -- that is generally the, yes, that is
 24 generally the arrangement; is they're getting access
 25 earlier than normal with the intent to provide feedback

Page 146

1 on a multitude of different factors back to the
 2 company.
 3 Q. In your experience, how is that feedback used?
 4 A. It could be used to help with the ultimate
 5 commercial launch. It could be everything from
 6 installation, service. You know, if it's a relatively
 7 minor -- if the feedback is something that could be
 8 relatively quickly modified into the instrument, then I
 9 believe companies would look to try and make that
 10 change. But obviously if there was something that
 11 was -- required a significant effort, you know, the
 12 company would need to think about whether or not they
 13 want to delay the launch to incorporate those or
 14 whether they'll just move forward and -- and perhaps
 15 incorporate that into a later upgrade or something
 16 else.
 17 MR. NAEGELE: Thank you. Those are all
 18 the questions that I have.
 19 MR. PFEIFFER: I do not believe I have any
 20 further questions.
 21 MR. LITVACK: Nothing from me. So I think
 22 we can go off the record.
 23 MR. NAEGELE: Great. Thank you. Before
 24 we go off the record, I just wanted to thank Dr. Song
 25 for taking the time to talk to us today.


Page 147

1 MR. PFEIFFER: Very much so. Thank you,
 2 Doctor.
 3 THE VIDEOGRAPHER: All right. Thank you
 4 everyone. One moment please. This concludes today's
 5 testimony given by Dr. Kenneth Song. The total number
 6 of media units used was four. All media will be
 7 retained by Veritext on a local secured drive and
 8 redundantly stored in the Veritext-managed Amazon S3
 9 cloud services for preservation purposes. We're going
 10 off the record at 1:15. Thank you.
 11 (Deposition concluded at 1:15 p.m.)
 12 (Signature was reserved.)
 13 * * *
 14
 15
 16
 17
 18
 19
 20
 21
 22
 23
 24
 25

Page 148

1 CORRECTION & SIGNATURE PAGE
 2
 3 RE: Illumina, Inc. vs. GRAIL, Inc.
 Federal Trade Commission Docket No. 9401
 4 KENNETH SONG, M.D.; TAKEN JUNE 2, 2021
 REPORTED BY: VICKY L. PINSON, RPR-CCR
 5
 6 I, KENNETH SONG, M.D., have read the within
 transcript taken June 2, 2021, and the same is true and
 7 accurate except for any changes and/or corrections, if
 any, as follows:
 8
 9 PAGE/LINE CORRECTION REASON
 10 _____
 11 _____
 12 _____
 13 _____
 14 _____
 15 _____
 16 _____
 17 _____
 18 _____
 19 _____
 20
 21 Signed at _____, Washington,
 22 on this date: _____.
 23
 24
 25 _____
 KENNETH SONG, M.D.

Page 149

1 REPORTER'S CERTIFICATE
 2
 3 I VICKY L. PINSON, RPR-CCR, the undersigned
 4 Certified Court Reporter, authorized to administer
 5 oaths and affirmations in and for the states of
 6 Washington, Oregon and California, do hereby certify:
 7 That the sworn testimony and/or proceedings, a
 8 transcript of which is attached, was given before me at
 9 the time and place stated therein; that the witness was
 10 duly sworn or affirmed to testify to the truth; that
 11 the testimony and/or proceedings were stenographically
 12 recorded by me and transcribed under my supervision.
 13 That the foregoing transcript contains a full,
 14 true, and accurate record of all the testimony and/or
 15 proceedings occurring at the time and place stated in
 16 the transcript; that a review of which was requested.
 17 That I am in no way related to any party to the
 18 matter, nor to any counsel, nor do I have any financial
 19 interest in the event of the cause.
 20
 21 WITNESS MY HAND this 3rd day of June, 2021.
 22
 23 
 24 VICKY L. PINSON, RPR-CCR
 25 Washington Certified Court Reporter, No. 2559
 Oregon State Certified Court Reporter, No. 16-0442
 California State Certified Court Reporter, No. 9845

1 Garrett H Anderson, Esquire
2 garrett@ghanderson-iplaw.com
3 June 3, 2021
4 RE: Federal Trade Commission v. Illumina/Grail
5 6/2/2021, Kenneth Song (#4595722)
6 The above-referenced transcript is available for
7 review.
8 Within the applicable timeframe, the witness should
9 read the testimony to verify its accuracy. If there are
10 any changes, the witness should note those with the
11 reason, on the attached Errata Sheet.
12 The witness should sign the Acknowledgment of
13 Deponent and Errata and return to the deposing attorney.
14 Copies should be sent to all counsel, and to Veritext at
15 cs-midatlantic@veritext.com
16
17 Return completed errata within 30 days from
18 receipt of testimony.
19 If the witness fails to do so within the time
20 allotted, the transcript may be used as if signed.
21
22 Yours,
23 Veritext Legal Solutions
24
25

&	87:5 120:1 140:19	20 77:5 82:22	23:18,24 24:17,21
& 2:5,11,15 6:12 85:3 148:1	100 91:7,15 92:14 126:20,24	20/20 50:3	27:2,25 28:5,13
0	1000 83:8 84:14 84:20,23	200 102:19 123:2	98:25 148:4,6
00000069 58:16	10019 2:6	2000 2:11 83:8 84:24	149:20 150:3
01 4:10 40:10,11 40:17 95:21	100x 82:10	20005 3:6	2022 28:9,15
01-09-20 4:11	103 4:16	2001 120:8	2023 28:24 56:20 76:23 90:9 137:7
01469 93:16	108 4:18	20024 2:22	21 103:25 104:2
019 13:23,24	10:22 74:11,12	2009 39:3	212.474.1000 2:7
02 4:11 43:25 44:2 44:3,11 97:22	10:36 74:12,14	2010 39:5	21359 149:22
028 77:10	10x 109:10	2011 115:22 116:12	23rd 13:12
03 4:12 58:11,12 58:13,17	112 4:19	2012 116:14 117:2 120:21	24 100:5,14
037 82:5	11:31 111:5,6	2013 120:19 130:2 130:24 131:24,25	24th 12:8 27:25 28:5 34:9
04 4:14 93:5,8	11:43 111:6,8	2014 111:19 112:5 112:9,21 113:7	2559 1:24 149:24
042 83:25	12 99:11,24 100:7 100:13 140:19	2015 39:6 119:20 122:19	26 77:3 112:21 120:8
05 4:16 103:11,13	12-2-14 4:23	2016 62:15 119:21	26.7 120:25
0586 74:23	120 4:21	2017 37:20 63:19	26th 112:9 113:7
06 4:18 108:7	1216 108:9	2018 4:13 13:13 58:15 60:5,18,21 62:19 72:7 108:8 109:17 120:24	28 27:15
07 4:19 112:22	123 4:23	2019 4:17 17:10 53:14 74:18 78:3 95:3 103:16,23	2nd 4:17 5:9 103:16 123:14
074 59:21	1264 109:5,7,9	202.326.2688 2:22	3
075 61:23	1266 110:4	202.973.4215 3:7	3 9:8 72:13 150:3
0773 103:17	12670 2:15	2020 20:25 44:4 45:11 46:2 48:2,4 48:7,9 53:15 62:15 90:9 94:23 95:3 121:8 134:5	30 150:17
079 63:15	12:15 132:22,23	2021 1:17 5:1,9 12:8 18:25 22:9,9	300 138:12
08 4:21 120:3,10 120:18	12:52 132:23,25		30th 108:8
082 68:22 71:20	1301 3:6		32 4:5
083 72:3	133 4:6		35 82:4
09 4:23 123:9,12 123:17	145 45:14,23 46:8		38 109:4
1	150 91:1		3rd 149:20
1 5:14 14:3,5,10 14:12 18:15 43:23 100:11 105:15 112:6,10 113:12 117:9 121:14	16-0442 1:25 149:24		3x 74:25 75:3
10 82:10 86:13,16 86:16,18,20 87:3	160 92:16		4
	17th 17:9 74:18		4 93:3
	18 45:14,23 46:9		4-30-18 4:18
	180 92:16		40 4:10 83:24 110:4
	1:15 147:10,11		400 2:21 122:25
	2		4043b 2:6
	2 1:17 5:1 18:16 58:10 82:22 107:1 148:4,6		

415.395.8898 2:12	8	58:6 70:5 72:13	achievable 100:3
425 122:25	8 123:7	73:1 78:19 79:16	achieve 49:12
43 85:1	80 91:7 92:3,15	80:11 83:13 97:7	56:21 92:7 106:20
44 4:11	811 105:1	97:12,17 98:9	achieving 76:25
450 123:1	812 105:15	125:8,11 134:21	acknowledgment
4595722 150:5	825 2:6	138:15	150:12
46 86:25	8510-004 21:3	absence 136:18	acquire 20:3
471 94:10	8510-010 22:12	ac 21:10	122:23
475 95:11	858.366.2983 3:11	accept 78:20	acquired 39:8
480 99:12	858.509.8465 2:16	access 17:24 54:15	65:23,24 119:20
487 101:10	8:14 1:16 5:2,9	54:17,20 55:3,5	120:19 121:16
5	8:59 29:11,12	55:11,15,18 56:12	122:19 124:15
5 103:9	8th 2:6	66:15 91:21 92:9	130:2,6 131:5
500 3:6 83:8 84:13	9	92:20 139:24	acquires 123:14
84:22 110:11	92121 8:3	145:3,7,10,16,19	acquiring 117:5
505 2:11	92130 2:16	145:24	121:24
53 88:19	93 4:14	accommodate	acquisition 9:6
56 122:13	9401 1:6 5:18	10:20	32:25 39:5,8,14
58 4:12	148:3	accomplish 31:21	39:16 97:25
596 77:4,9	94111 2:12	account 57:11	124:13 128:17
5th 20:25	9845 1:25 149:25	accuracy 43:13	130:23 131:25
6	9880 8:2	57:11,22,23 58:8	action 5:22
6 107:25 108:4	9:13 29:12,14	68:18 69:5,9,13	actively 104:22,24
112:15	9th 44:4 45:11	69:19,23 70:4,4	activities 20:10,11
6/2/2021 150:5	a	82:10,12 85:2,12	25:14 139:16
60 46:13 92:3,15	a.m. 1:16 5:2,9	85:23 86:10,11	activity 49:24
600 123:4	29:12,12 74:12,12	95:17 96:2 97:21	actual 54:21 58:1
605 82:4	111:6,6	150:9	70:25 71:18
610 83:25	a1 46:19	accurate 4:14 8:20	120:14
613 85:1	a2 46:19	12:11 13:19 28:3	adapt 78:8
616 87:1	abandoned 107:1	28:4 45:10,19,22	adapted 74:2
623 88:20	abbreviation	47:5,18 57:15	adapters 73:2,25
69 122:14	21:11	58:3 60:1,19	78:22 79:2 80:6
7	abbreviations	62:17 72:7 85:25	80:13 81:18 106:3
7 4:4 21:14 112:15	25:7	87:7 93:12 96:3	106:6
112:19 115:9	ability 71:22	105:10 124:8	adaptors 73:3,23
795 104:4	75:15	143:15 148:7	add 10:15
796 104:13	able 19:17 30:15	149:14	adding 42:14
7th 2:21	31:10,18,20 32:1	accused 118:18	addition 49:23
	32:3 43:12 49:23	accusing 116:24	115:11,15,17
			124:17

additional 20:8 21:22 46:1 54:7 54:10 90:13 130:22 142:1 address 8:1 75:22 addressed 55:5 adequate 76:6 adjustments 140:5 administer 149:4 administrative 1:2 5:18 6:19 adopt 14:10 adopted 14:12 advance 115:3 advanced 54:1 advancements 99:17 advancing 47:3 57:12 advantage 15:3,5 71:12 72:20,21 87:23 95:14 98:13 98:14,16,19 127:17 advantages 43:11 43:14 affirmations 149:5 affirmed 119:9 121:9 149:10 affordable 124:3 affymetrix 29:18 aggressive 99:25 100:7 agilent 79:7,19 ago 33:11 34:23 34:24 42:23 119:7 134:8 agree 4:22 5:13 120:9	agreement 128:18 agreements 80:19 ahead 50:17 63:14 84:25 104:25 114:15 al 2:10 6:7 10:18 32:14 al.pfeiffer 2:13 alleging 116:15 allotted 150:20 allow 75:10,23 76:8 98:10,21 allows 69:18 98:23 alpha 15:23 52:19 54:4 alternative 67:20 amass 87:21 amazon 147:8 ambiguous 144:5 america 1:1 5:17 amount 75:20 88:7 90:13,25 137:14,25 144:12 amounts 98:10 analysis 21:7 29:24 31:24 96:6 analyst 62:23 analysts 135:21 analyzed 32:2 anderson 3:10 6:24,24 150:1 announced 107:3 112:2 announcement 128:17 announcements 84:18 answer 9:25 10:14 10:15 19:11 23:20 50:18 71:4 114:15 129:20 132:9	142:8 answered 10:12 51:10 answers 10:10 anticipate 27:19 53:17 134:15 anticipated 16:2 23:15,16,18 137:9 anticompetitive 33:2 anybody 51:24 92:25 anymore 112:13 anyway 75:16 apart 34:12 apologies 87:4 104:5 apparently 77:7 appeal 119:10 appealed 119:2,11 121:8 appeals 118:13 121:8 appear 40:24 appearance 5:25 appearing 2:2 3:2 5:6 8:22 appears 108:13 applicable 107:11 107:12 150:8 application 30:3 30:17,21 62:6 69:21 70:11 81:12 101:17 138:2 applications 8:9 29:21 30:2,8 31:10,12,20,22 61:20 62:1,2,25 63:7 67:2 87:21 138:2	applied 102:11,16 128:22 apply 102:20 129:16 appreciate 52:18 70:23 apprised 38:16 83:21 approach 96:23 97:2 125:1,1 129:18 approaching 90:21 appropriate 90:1 approximately 13:19 48:6 82:22 91:7 92:2 94:15 102:2,15 122:22 123:4 129:25 april 13:12 22:9 23:18,24 108:8 121:8 134:7 area 84:11 86:19 88:14 98:12 111:14 argue 87:12 argument 116:1 argumentative 114:14 ariata 117:13 ariosa 4:20 29:16 29:16 31:1,25 38:24 39:1,3,8 111:11,13,19,24 112:2,6,20 113:4 113:10,12 115:23 116:11,15,24 117:2,13 118:11 118:22 119:10,23 120:20 121:7,13 121:16,19 122:19
---	---	---	---

<p>122:23 123:14,22 124:7,25 126:23 127:9 128:13 130:10 ariosa's 118:16 124:12,22 126:6 arrangement 48:3 130:20 145:24 arrangements 56:2 array 31:21 32:4 125:10,13,22 127:18,23 arrows 22:19 article 4:19,21 112:19 113:3 115:9 116:1 120:8 120:11,15 121:5 arup 130:15 aside 34:16 asked 24:15 38:23 48:1 51:10 asking 8:14 23:9 60:7 92:24 116:2 aspects 14:21,22 15:6 77:19 96:11 139:19 aspiration 86:8 aspirational 109:24 assessed 106:10 assist 10:7 associated 18:11 142:23 assume 13:14,17 17:11 59:16 assuming 12:14 12:16 85:22 assumption 95:1 109:2</p>	<p>assumptions 22:18 24:8,16,18 25:3,5,8,17 82:7 asymptomatic 66:11,18 attached 109:15 149:8 150:11 attempting 88:11 attending 5:11 attorney 3:10 5:25 9:3 150:13 attract 81:24 attracted 57:13,19 attractive 86:19 86:21 88:8 99:10 attributed 44:24 46:24 47:10 57:3 123:21 attributes 135:19 audio 5:11 29:7 authority 49:24 authorized 149:4 availability 127:4 available 12:24 18:8 19:5 65:10 65:11 73:24 126:11,13,16 150:6 avenue 2:6 average 61:12 137:18 avoid 70:3 76:11 award 118:7,22 awarded 120:24 aware 53:25 54:2 55:24 56:3 67:19 80:10 83:17 107:15,16 118:20 118:24 119:8 129:6 140:13</p>	<p>b b 46:11,17 108:20 109:18 b1 46:19 b2 46:19 back 14:14,15,17 19:12 21:1 27:8 28:12 29:13 39:17 55:9 56:25 58:22 62:4 74:13,16 91:11 93:1 96:7 96:17 109:17 110:8 111:7,10 113:9 114:6 116:20 118:14 119:1,19 122:18 124:24 127:21 132:24 133:2 137:10 140:1 146:1 background 38:25 135:24 ball 92:4 ballpark 91:16 92:5 122:16 bankers 135:21 bar 16:18 62:12 base 64:5 70:1 86:19,20 87:4,5 88:5 99:9 109:11 based 12:13 13:16 14:6 17:11 18:19 24:7 28:4 31:11 31:17,19,21 32:4 39:19 42:23 43:1 56:22 58:6 63:10 65:12 66:3,14,18 68:16,20 69:16 71:14,14,15 80:17 85:19 88:12 92:23 95:5 97:2,6 100:8</p>	<p>105:21,22 122:16 124:25 125:1,10 125:13,22 127:16 142:24 baseline 75:17 92:14 bases 41:25 61:9 61:10 100:23 110:11 137:15,15 138:12 basic 26:10 basically 18:6 25:20 87:11 89:1 106:21 126:3 129:7 basing 91:11 basis 118:5 121:4 121:11 126:3 127:22 bates 58:16 74:23 77:4,9 82:4 83:24 85:1 87:1 88:20 93:16 99:12 103:17 104:3 108:9 109:8 bb 21:13,14 bb7 21:9,10,17,18 21:20,24 22:2,5,8 22:22 23:1,4,10 23:11,14,17,23 24:1,4,8,13,20,21 25:1 27:1 28:16 28:17 bead 97:2,6 beads 75:1,6,10,13 75:15 105:24,25 bears 58:15 93:16 becoming 55:3 began 143:18 beginning 115:14 120:21</p>
---	---	---	---

<p>begins 21:6 115:11 behalf 47:8 51:22 behavior 33:2 belabor 105:7 belief 63:2,3 118:3 believe 14:6 15:9 15:18 22:1 24:10 25:9,13 31:18 33:10 34:19 36:9 37:2,15,16 39:3 39:13 41:3 43:17 45:9 46:3,19 55:10 58:7 59:5 63:22 65:22 80:2 84:17,23 86:11 97:18 99:7 101:21 101:21 102:19 105:21,22 107:19 107:21 108:24 112:5 113:2,19 114:22,22 115:1,2 116:18 117:7 119:3 126:9 131:5 134:4 135:8 146:9 146:19 believed 21:19 bell 46:13 94:6 108:19 122:12 bench 61:5 benefit 69:4,8,11 69:13,20,22 70:5 70:8,10,19 71:10 71:19 134:24,25 135:2 benefits 69:2 best 9:11 12:11 13:20 14:24 15:1 17:14 19:10 21:1 29:22 35:12 54:6 95:1,23 97:18</p>	<p>103:21 140:14 bet 81:23 beta 15:23 17:23 47:4 52:14,19 53:3,6 54:4 56:18 76:17 77:13,20 79:15,21 137:3 139:24 better 28:25 29:8 50:24 82:11 86:9 95:2 96:22 beyond 20:1 75:15 90:2,4 103:6 bgi 68:4 big 136:15 144:20 billion 99:9 binding 40:1 41:7 41:10 42:9,19 68:24 69:15 72:8 78:14 96:1 101:12 101:14 biochemical 71:18 biochemistry 39:25 41:14 70:20 70:25 71:7,8,12 71:24 72:18 97:19 biopsy 63:25 64:5 64:18 66:9 68:17 biosciences 68:2 106:13 bit 39:11 68:16 73:21 104:8 111:10 122:3 123:21 127:23 140:21 143:19 blair 63:19 blank 40:4 block 32:19 blood 63:10 65:12 66:3,14</p>	<p>blue 22:19 100:10 bluff 2:15 board 4:16 7:23 13:10 16:5 17:7,8 19:13 20:23 21:14 22:11 24:10 36:13 38:1,2 51:4 74:18 89:3 103:15,22,22 bold 27:18 bona 66:14 bonding 104:15 bottom 13:22 44:24 59:21 85:11 104:4,5 109:8 115:10,14 bottoms 21:6 bought 79:20 117:25 box 61:4 85:11 bread 21:14 break 10:17,19 29:4,7 74:8 110:22,24 132:18 breaks 32:16 breakthrough 45:15 breakthroughs 97:23 brief 34:17,22 briefly 32:13 37:11 128:11 brighter 75:1,6,13 bring 19:16,20 49:18 134:21 bringing 19:22 124:2 broader 54:25 broadly 18:8 brought 20:4 122:1 133:25 134:3</p>	<p>bubbles 104:21 build 75:22 built 103:2 bulk 19:25 91:2 bullet 21:5,25 69:1 89:10 bullets 69:1 95:25 97:24 bunch 25:7 130:15 bureau 2:21 business 36:15 38:16 59:3 81:12 135:24 buying 79:18 121:20</p>
c			
<p>c 2:1 3:1 46:14,17 134:4 ca 2:12,16 california 1:18,25 5:1 7:25 8:3 149:6 149:25 call 13:10 39:25 42:6 43:7 76:20 89:23 100:22 104:20 139:10,11 139:12 called 20:14 41:17 68:3,14 87:9 88:9 139:1,12 calling 35:25 62:11 84:8 139:12 calls 50:10 80:24 81:10 144:19 camera 7:19 campus 8:2 cancer 61:20 62:1 62:10,11,13,15 63:1,7,10,17,25 64:5,18 65:13 66:3,10,14 67:2,3</p>			

68:20 capabilities 15:8 142:2,11 capability 135:16 capacity 39:12 capat 107:4 capital 46:1 90:13 90:15,18 capitalization 135:11 capture 9:16 99:3 care 100:24,24 124:4 career 19:25 caregiver 62:5 case 51:21 100:24 117:8 126:12 128:4 129:17 cause 149:19 caution 33:17 ccr 1:24 148:4 149:3,23 cease 37:13 ceiling 76:18 cell 26:14 75:1,6 75:10,20,22,24 76:7,10,14,15 96:15 98:9 104:7 104:14,20 123:16 cells 62:8 center 41:8 ceo 16:5 18:19 22:10,24 24:10 25:13 29:16 37:7 39:4,5 46:25 47:9 47:21 48:6,12,15 60:17 93:14 96:10 116:11 124:6 133:19,22 134:3 134:12,15,25 135:3,4,8 136:12	136:18 ceo's 48:16 certain 15:5,8 18:11 34:3 38:10 70:15 101:18 109:3 114:17 137:25 142:6,9 certainly 13:8 23:8 certainty 17:12 33:23 35:2 certificate 149:1 certified 7:7 149:4 149:24,24,25 certify 149:6 cetera 33:22 79:14 135:19 136:22 139:21 chairman 7:23 36:13 37:14,18,22 38:10 47:12 59:2 60:14 challenges 68:15 chance 11:25 17:3 20:19,20 44:14 change 72:23 78:16,19 80:4 100:13,14 110:24 111:10 136:23 140:17 146:10 changed 29:17 56:15 143:21,23 143:23,24 changes 61:1 88:17 92:9 133:11 133:13,16,19 135:25 140:9 143:17,20 144:16 144:22 148:7 150:10	changing 79:9 144:1,1,21 characterize 78:9 charge 128:13 charged 129:7 charging 129:21 chart 109:15,16 109:23 chat 10:24 checked 126:9,15 chemicals 78:21 chemistry 41:16 42:24 43:2,15,16 56:23 58:3,7 69:15 73:1,7 78:15 95:23 96:1 96:13,14 chicken 81:2 chief 20:5 48:21 51:5 75:8 133:25 134:9 china 68:4 choose 43:8 chosen 106:5 circuit 119:9 121:9 cite 140:13 claim 117:12,18 118:7,21 clarification 35:20 clarified 52:21 clarify 23:3 clarifying 55:19 clarity 19:18 class 95:23 97:18 clear 52:20 55:18 clearer 102:13 clearly 141:9 clicking 13:1 clinical 62:1,2,6 62:25 63:6 67:2,2	close 22:5 119:14 119:22 143:15 cloud 147:9 clues 95:6,7 clustering 76:20 76:24 96:17,23 97:8 106:7 107:2 107:8,9,10,13,17 107:19,23 137:6 144:21 cognizant 83:16 coincidence 115:1 colleague 6:9 7:2 color 77:25 100:14 column 59:25,25 60:19 89:9 95:22 97:22 com 64:17 combined 129:3 come 18:16 33:14 39:17 49:9 51:4 52:18 70:22 71:7 71:14 80:19 86:9 119:8 128:19 139:3 comes 57:4 139:8 139:20,22 comfortable 53:2 coming 26:12 60:13 93:11 116:20 131:5 comment 7:16 14:25 15:2 24:6 50:14 55:7 commercial 15:24 16:2 17:25 18:6 19:5 21:20 22:3,6 22:8,17,25 23:2 23:12,15,18 24:21 24:23 25:16 27:2 27:4,19 28:8,16
--	---	--	---

<p>28:19,19,21,23 54:21 56:19,22 73:24 76:22 89:22 124:16 125:15,23 127:4 137:5 138:5 138:12,24 139:23 144:2,17 145:11 145:14 146:5 commercializable 139:17 commercializati... 45:15 49:13 54:25 55:12 56:16 92:7 124:21 136:1,13 145:8 commercialize 67:1 124:12 136:5 142:16 commercialized 18:5 126:20 commercially 16:6 24:14 76:7 80:8 106:21 126:1 commission 1:1 2:19,21 5:17 6:6 7:3 8:24 9:4 148:3 150:4 commission's 9:8 commitment 124:2 common 59:13 commonly 30:7 110:17 communicate 8:14 11:2 60:15 communicated 22:11,24 24:10 communicating 10:22 25:14 26:2 26:17 38:8</p>	<p>communications 55:20,21,23 companies 53:23 56:6 63:6,9 64:18 64:22,24 65:15 66:1 67:19 105:8 105:12 130:1,13 130:22 131:11,20 131:24 132:2,4 146:9 company 4:15 18:20 19:1,13,17 19:21 22:24 28:5 37:15,15 45:14 46:4 47:12,14 48:4,17 49:20,23 50:5,9,23 51:16 53:19,22 54:10 55:13 56:15 60:17 65:19,20 67:5,25 68:1,3 80:2 83:20 88:13 89:2,6,24 90:25 93:13 102:22 118:16 121:24 122:1,6,9 124:15 133:24 134:1,7,22 136:16 139:25 141:10 143:22 146:2,12 company's 38:16 40:21 49:2 60:5,9 60:13,20 89:2 91:2 135:9 comparable 30:20 31:2,6 100:16 101:2,3 comparator 83:10 compare 60:12 compared 43:13 43:18 60:12 69:9 70:1 71:23 83:11</p>	<p>127:25 142:17 compares 59:24 comparing 30:24 31:16 comparison 83:18 comparisons 109:14 compatibility 105:4,14,20 106:5 106:10,21 107:8 107:18 compatible 78:17 79:21,25 80:23 competing 36:23 67:9 130:1 competition 2:21 32:25 competitive 76:7 84:3 85:22 87:11 95:14 97:7,12,17 98:13,14,16,19 99:23,24 101:6 115:18 competitively 61:5 98:24 competitor 68:9 competitors 67:24 complaint 33:4,13 33:21,24 114:9 complete 10:14 45:14 96:18 121:13 completed 122:11 124:18 150:17 completely 129:17 complex 131:3 complexity 138:17 component 143:25 components 15:1 25:22 26:11 98:22 102:3 138:18,21</p>	<p>computer 10:9 11:15 59:16 conceived 75:19 concept 138:16 concerns 32:25 81:22 concierge 3:16 concluded 147:11 concludes 147:4 condom 8:23 conducted 5:10 85:6 confidence 28:25 69:24 70:2,7 77:22 97:7,11 138:23 confident 77:18 97:15 139:4 confidential 1:14 9:6 configuration 102:8 configuration's 86:7 confirmation 101:23 connect 35:11 connection 32:19 34:5 54:15 108:16 109:1 connections 103:22 consider 43:4 48:16 53:7 66:17 68:9 98:13 considerable 57:13,20 144:12 considerably 88:5 143:14 considerations 15:10</p>
--	---	--	---

<p>considered 68:2 considering 111:24 considers 85:24 consistent 116:10 117:1 118:15,17 consistently 83:13 construction 117:12,19 construed 129:11 consulting 48:3 consumable 109:19 consumables 26:13 73:5 110:2 contact 38:5 contacted 34:19 35:13 contain 45:6 contained 47:16 contains 149:13 contemplation 89:17 90:4 contemporaneo... 134:2 content 44:19 59:7 94:4,6 124:17 125:9 contents 94:4 context 26:7,19 35:15 36:3 contingencies 123:1 continue 5:12 122:2 124:2,21 continued 19:1 39:13,15 48:3 83:6 125:18,19,25 131:8 132:3 134:12</p>	<p>continuing 2:25 continuous 70:16 contrast 62:5,6 control 25:10,19 79:6 conventional 135:6 conversation 34:17,22 88:13 101:22 conversations 55:5 56:1 96:8 128:16 conversion 125:4 convert 125:8 converted 40:17 44:12 convey 72:17 conveyed 77:16 conveying 84:4 convince 80:11 coordinated 25:25 copies 150:14 copy 63:23 core 68:23 102:25 141:25 142:1 corner 13:22 corporation 1:5,8 correct 28:1 29:18 41:8,9 45:23,25 47:12,22,24 53:12 56:7 73:17 82:16 84:24 101:7 116:16 126:14 129:24 141:2 correction 148:1,9 corrections 148:7 correctly 42:22 correspond 17:25 corresponds 18:1 21:10</p>	<p>cost 30:18 57:11 71:21,24 85:23 86:2,13,23 87:13 87:23 95:13,17 96:2,4,6,11,13,21 96:22 97:2,7,12 97:13,17 98:23 99:18,22 127:11 127:17,17,20,22 127:25 costing 86:5 costs 43:20 76:11 85:12 88:4,7 98:18 127:15,16 128:2,5,8 counsel 34:19 35:13 132:14 149:18 150:14 counterpart 10:18 32:7 countries 102:18 126:20,24 127:1,5 couple 24:15 34:23 38:23 44:25 83:23 92:17 140:13 course 40:18 44:13 59:9 91:1 court 5:5,20 6:4 7:7 9:8,16,23 116:24 121:8 149:4,24,24,25 court's 121:9 covalently 42:5 cover 13:17 17:12 covered 9:7 cravath 2:5 6:12 cravath.com 2:7 create 78:24,25 created 89:13</p>	<p>credible 118:5 credit 102:23 cross 35:19 71:3 cs 150:15 current 7:22,24 48:12 56:14 60:5 72:14 76:15,16 83:18,19 89:21 90:14 101:4 125:9 currently 8:2 16:16 39:19 41:4 48:18 67:12 75:19 78:9 126:13 138:4 138:6,13 142:25 curtis 2:14 6:9 custom 144:10,13 customer 54:24 55:3 69:20,21,23 70:10 76:2 78:18 79:10 85:8,9 87:20,25 98:11 145:4,10 customers 18:6,8 53:20 54:20 55:2 55:14,16,17 56:1 56:4 63:5 64:19 66:19 67:10,14 70:15 72:18 78:8 78:16 79:14,18 85:2,14 86:21 100:24,24 129:11 145:7,16,19 cycle 71:17</p>
			d
			<p>d 4:1 d.c. 2:22 3:6 damages 118:8,22 120:25 darker 62:13 data 62:22 76:17 86:17 97:24</p>

<p>100:20,25 110:9 110:16 137:14 138:1,3 date 13:15,16,16 13:18 17:11,13,15 23:18 24:21,24 25:16 27:2,5 28:8 28:16,17,22,23 37:1 48:11 76:22 114:7 148:22 dated 58:15 112:20,21 dates 112:4 117:20 dave 46:24 47:7 96:9 133:22,24 david 93:14 davis 3:5 6:13 day 42:18 113:15 114:10,21 149:20 days 150:17 de 89:1,5 deal 83:4 debt 46:5 december 4:16 103:16,23 123:14 decent 138:22 decheng 4:18 108:5,14,19 decided 145:15 decision 81:20 85:23 121:10 139:25 deck 58:14 61:22 74:19 77:2,3 82:4 95:6,9 99:11 103:22 110:5 declaratory 116:19 decouples 42:9</p>	<p>decreased 128:6 deeply 66:12 defendant 2:9 define 125:8 141:6 definitely 66:8 86:16 94:19 106:19 119:21 134:17 144:9 145:10 degree 140:6 delay 146:13 delayed 22:9 delivered 53:5,8 53:11,13 delivering 4:14 47:4 54:7 70:2 93:12 delivers 110:5 delivery 26:25 90:5,8 demand 81:14,15 81:24 demonstrate 77:18 83:13 dep 6:17 departure 119:21 134:11 dependent 67:15 70:11 79:8 depending 30:17 30:18 49:6 59:12 85:9 92:8 130:17 137:8 141:6 depends 30:21,22 48:16 69:21 71:11 125:7 depict 17:21 22:15 depicted 77:8 99:25 depiction 62:17</p>	<p>deponent 150:13 deposing 150:13 deposition 1:10 5:4,10,15 6:16,19 8:6 9:10,10 147:11 derived 137:16 describe 39:22 described 97:1 131:19 design 73:6 75:9 75:20 76:8,15 79:11,12,13,17 143:18 144:14,22 designed 21:19 30:7 72:13,18 73:19,23 99:17 144:11 designs 104:19 detail 33:6 detailed 20:10 21:6 139:15 details 18:1,12 19:9 24:19 26:9 26:10 34:4 36:1 53:25 56:1,3,16 105:22 106:24 117:17 129:10 140:14 145:8 detect 69:25 detection 63:11 66:3 determine 42:1 85:8 determines 71:17 develop 57:10 60:10 80:12 124:11,14 145:17 developed 21:12 23:10 29:24 78:16 107:14 131:2</p>	<p>developing 19:23 36:17 39:19 52:11 63:6,10 64:5 66:25 67:20 82:2 136:25 137:1 138:5,19 142:15 142:21 143:6,10 development 14:20,21 19:15,25 20:1 45:15 47:2 49:2,8,8,11,22 50:7,23 57:12 68:1 76:21 77:13 83:5 109:25 124:18 125:12 135:15,19 136:11 136:22 139:6,13 139:22 140:1,2,10 140:23 143:18 developments 104:7,14 device 8:6 39:19 devices 86:3 91:21 100:15 diagnostic 11:6 141:23 diagnostics 4:20 65:21 112:20 120:20 123:15,23 dialogue 55:16 diego 1:18 2:16 5:1 7:25 8:3 differ 41:23,24 differences 43:6 141:22 different 7:20 15:1 18:1,2 20:7 22:16,18 24:7,12 24:16 25:3,4,14 25:17,22 26:11 41:11,25 46:20</p>
--	---	---	---

<p>52:19 69:3,15,16 69:17,25 70:12,12 70:17 71:23 73:8 73:8,13 76:19,20 76:25 77:19 80:3 80:5 83:1,10 89:5 96:17 97:6 98:9 98:10,11 102:18 105:16,17 107:10 107:12,14 109:18 110:18 119:17 122:3 129:18 136:3,4 137:6 138:18 139:7,10 139:11,18 141:20 142:23 146:1</p> <p>differentiate 129:8</p> <p>differently 94:7</p> <p>differing 136:7</p> <p>difficult 9:16 51:3 73:21 134:16 138:7</p> <p>digits 99:8</p> <p>dimensions 57:13</p> <p>direct 55:16,23 96:20</p> <p>directed 111:12</p> <p>directing 10:4</p> <p>direction 51:3</p> <p>directly 102:5,7</p> <p>director's 4:16 103:16</p> <p>directors 13:11 17:7,8 20:23</p> <p>discipline 49:9 50:25 51:8</p> <p>disclosed 107:20 113:16</p> <p>discuss 111:10</p>	<p>discussed 35:10 64:12 111:17,17 122:13</p> <p>discussing 66:2</p> <p>discussion 14:7,18 52:9 90:1 91:23 98:8 144:24</p> <p>discussions 53:22 54:1,2 55:11 56:4 80:18,20 107:16 107:22 129:2</p> <p>dispute 118:13 121:4,11</p> <p>disruptive 57:10</p> <p>distinct 41:10,11</p> <p>distinction 52:20</p> <p>distracted 7:20</p> <p>distributing 16:17</p> <p>distribution 130:20</p> <p>distributor 130:14 131:21</p> <p>distributors 131:12</p> <p>diverse 105:9</p> <p>dna 4:14 42:1,5,11 42:15 45:16 70:12 93:13 123:16</p> <p>dnaegele 2:23</p> <p>docket 1:6 5:18 148:3</p> <p>doctor 66:5 147:2</p> <p>document 10:6 11:24,25 12:3,17 12:18 13:3,5,6,8 13:12 16:23 17:4 17:6 20:15,16,18 20:21,22 40:4,16 44:17,18 58:10,18 58:20 83:12,17 93:5,12,18,21</p>	<p>94:2 103:7,15,18 103:25 108:4,10 108:12 123:12,18</p> <p>documents 10:3 59:11,17 102:1</p> <p>doing 32:4 62:3,6 72:20 76:12 103:6 116:19 122:17 132:2,5 138:20 142:25</p> <p>dollar 122:4</p> <p>dollars 91:1 92:17 99:9 127:24</p> <p>dominant 141:9 141:10</p> <p>door 139:17</p> <p>dots 78:3 100:10 100:15</p> <p>double 99:8</p> <p>doug 6:13 51:21</p> <p>douglas 3:5</p> <p>douglitvack 3:7</p> <p>downloaded 44:12</p> <p>dr 7:12 9:2 11:7 11:21 12:19,25 16:10,19 17:4,21 20:13 23:3 27:8 29:5,15 32:13 44:15 51:15 74:15 111:9 133:10 142:7 146:24 147:5</p> <p>dramatic 62:16</p> <p>drive 2:15 8:2 49:21,24 60:18,21 147:7</p> <p>driven 61:25 62:25</p> <p>driver 63:17 64:1</p> <p>drivers 85:12,23</p>	<p>duly 149:10</p> <p>dwt.com 3:7</p> <p>dx 141:22</p> <p>dylan 2:20 6:5 9:3 133:1</p> <hr/> <p style="text-align: center;">e</p> <hr/> <p>e 2:1,1 3:1,1,5 4:1 48:20 134:4</p> <p>earlier 9:2 32:13 34:5 38:24 39:18 49:1,5 50:6,9 51:8 52:8,9 74:17 97:9 141:1 145:25</p> <p>early 17:24 20:1 28:15,24 39:6 45:15 48:2 49:8 53:8,14 54:11,14 54:17,20 55:3,5 55:11,15,18 56:12 56:20 60:18 63:11 66:3 76:23 84:18 90:9 91:20 92:9 92:19 95:1 109:25 116:14 133:20 137:7 139:24 145:3,7,10,16,19</p> <p>easily 66:15</p> <p>east 3:6</p> <p>educated 95:1</p> <p>effectively 130:13</p> <p>efficiencies 87:13</p> <p>effort 90:14,16,17 97:5 144:12 146:11</p> <p>efforts 14:8 47:3 125:12</p> <p>egg 81:2</p> <p>either 11:13 38:20 53:4 78:24 134:6</p> <p>element 68:2</p>
---	--	--	---

<p>elements 72:25 138:21 email 10:24 emails 8:11 embedded 24:16 emphasize 61:18 employ 145:13 employed 37:8 employees 94:25 100:1 135:7 emulsion 106:6 enabled 75:1,6,12 enables 96:1,2 98:17 enabling 68:23 encodia 53:12 ended 121:19,23 ends 59:21 72:3 77:4,9,10 82:4 88:19 engineered 42:14 engineering 14:8 14:19 15:6 70:24 71:16 97:23 england 106:13 enhanced 143:25 ensure 25:21 26:1 103:3 138:21 ensuring 26:14 entail 139:14,23 entails 139:15 enter 130:22 enters 123:15 entire 12:3 89:24 entirely 21:18 46:4 78:24 104:18 entirety 12:14 entities 130:14 131:9 entitled 20:14 58:14 59:23 61:25</p>	<p>63:17 72:5 77:13 82:7 85:1 87:3 88:22 93:12 94:10 95:13 103:15 108:5 110:5 120:8 123:14 entity 54:3 114:6 122:2 entrants 131:3,4 envelope 96:7 enzymes 96:15 equal 82:14 equate 75:24 errata 150:11,13 150:17 error 57:25 58:1 68:16 especially 7:18 esquire 150:1 estimate 54:7 91:14 100:10 143:15 estimates 96:19 et 33:22 79:14 135:19 136:22 139:21 evaluate 58:6 evaluated 105:20 evangelica 3:16 eve 113:20 event 149:19 eventual 102:4 eventually 23:1 135:21 everybody 129:22 evolve 131:8 142:25 exact 13:15 20:4 37:1 44:18 46:10 65:23 88:15 105:22 112:4</p>	<p>122:15 exactly 14:12 58:12 60:7 92:24 114:17 128:25 examination 1:11 4:3 7:10 32:11 133:8 examples 140:13 exclude 6:22 excuse 105:14 execution 88:22 executive 7:23 36:12 37:14,18,22 38:9 47:11 59:2 60:14 exhibit 4:10,11,12 4:14,16,18,19,21 4:23 7:18 10:4 11:7,16,19,20 12:19,25 16:10,12 16:13 20:13 34:8 40:10,11,17 43:25 44:2,3,11 58:11 58:12,13,17 74:16 86:12 93:5,8 103:11,13 108:4,7 112:19,22 115:9 120:3,10,18 123:9 123:12,17 exhibits 4:9 35:22 40:7 exist 31:25 existing 37:24 38:20 79:2,5 exists 84:2 expand 124:21 expect 56:11 70:1 80:21 86:2 100:1 101:19 expectation 22:25</p>	<p>expected 84:3 expecting 138:1 experience 19:23 92:23 146:3 expertise 15:8 19:15,17,20 20:3 49:19 135:15 explain 35:25 97:25 explaining 14:16 exploration 139:18 exploring 138:20 expression 29:24 30:4 31:23,23 extension 46:12 extent 49:18 142:6 142:9 external 15:9 externally 134:20 extra 25:9 extraction 63:23 extremes 31:15 eyes 7:17</p> <p style="text-align: center;">f</p> <p>facing 134:20 fact 87:9 118:6 124:11 factor 144:7 factors 136:9 146:1 fails 150:19 fair 37:19 49:3 85:13 122:4,6 126:18 131:13 fairly 18:7 97:4 fall 48:2 133:20 familiar 41:16 44:20 52:7 54:14 63:20 68:7 94:4 112:23 119:16</p>
---	---	--	--

<p>128:15 142:2,10 145:3 familiarize 27:23 far 47:18 62:24 85:16 87:7 105:10 fast 70:19 faster 71:7,8,12 100:20 fate 119:19 favor 119:10 feasibility 138:6 138:14,19 139:1,8 140:7 features 57:22 federal 1:1 2:19 2:21 5:17 6:6 7:2 8:24 9:4,7 118:6 118:20 119:9 121:9 148:3 150:4 fee 128:14,19 129:7 feedback 85:8 145:17,20,25 146:3,7 feel 95:7 145:1 felt 22:3 24:13 76:5 fide 66:14 field 66:13 103:1 figure 140:11 figured 77:24 figures 92:14 122:12 file 12:23 16:17,20 43:23 112:6 filed 5:16 33:5 102:6 111:19 113:4,11,20,23 114:1,9 115:4,5 117:9 118:4 120:20</p>	<p>files 4:20 59:15 93:15 103:17 108:9 112:21 fill 140:16 final 22:5 26:24 28:19 86:7 119:18 139:16 finance 135:23 financial 149:18 financially 5:22 135:17,20 financing 46:7,11 46:14,14,21 47:15 89:7 108:17 135:11 find 69:24 118:6 118:21 134:17 fine 29:6 42:21 53:4 83:3 136:20 finish 71:5 firm 4:19 5:19,21 112:20 first 18:4 21:5 28:8 37:16 39:2,3 40:21 41:1 47:4 58:15,22 60:17 69:3 87:5 93:17 93:23 94:1 95:3 103:18 115:12,12 115:13 125:19 131:3,11,15 137:2 144:23 fisher 79:7 106:1,8 106:25 fit 78:22 five 29:5 141:25 flexibility 87:13 87:15 88:9,10 95:18 98:5,15 flexible 78:15</p>	<p>flip 20:20 flipping 94:3 flow 26:13 75:1,6 75:10,20,22,24 76:7,10,13,15 96:15 98:9 104:7 104:14,20 fluidics 25:23 143:24 fluorescently 42:4 42:8 flush 140:21 foam 104:16,21 109:20 focus 59:24 60:5,9 60:20 89:22 99:15 101:4 106:22 focused 60:17 63:1 64:22 67:3 focuses 89:24 focusing 99:21 folder 40:7,13 74:17 93:1 123:9 follow 111:16 followed 17:24 145:11 following 18:14 118:25 follows 7:8 148:7 forces 124:1 foregoing 149:13 forge 130:12 form 23:5 28:10 30:12 31:7 44:21 47:23 49:4 50:2 51:9 52:16,24 56:18 58:5 60:6 63:12 64:7,16 65:6 66:6 67:4 71:13 81:25 86:4 89:20 90:6,23</p>	<p>91:22 92:11,18 97:20 98:20 99:4 101:8 110:21 112:6 113:13 114:12 115:6 116:5 118:2 121:22 126:4 127:8,14 128:7,23 129:23 131:14 132:1,7 141:3,15 142:4 144:4,18 145:21 formal 48:10 96:5 114:1 formally 55:17 119:2 format 125:10,22 formats 98:9 formatted 94:7 former 18:19 22:10 47:9 75:8 133:22 forth 54:19 118:14 119:1,19 forum 90:1 forward 24:8 49:24 60:18,21 78:1 82:1 83:23 107:5 110:3 124:2 146:14 foundation 30:13 31:8 60:24 62:20 64:9 65:7 86:15 87:18 98:7 115:25 116:9 117:16 141:16 143:12 145:22 founded 36:25 118:1 founder 37:7,12</p>
---	---	--	---

<p>founders 37:4 founding 37:9 four 36:14 69:1,3 105:16,16,19 123:1 130:13,21 131:3,19 147:6 fourth 115:10,13 120:18 fragment 70:16 frame 133:20 francisco 2:12 free 95:7 123:16 freenome 65:21 65:22 front 10:11 12:14 17:12,18,20 86:10 93:19 103:19 123:18 ftc 32:19,24 33:4 34:13,18 35:17,23 36:6 51:20 58:16 93:5 132:14 ftc.gov 2:23,23 fulfilling 48:14 full 7:12 8:19 17:24 18:3 26:8 86:6 89:10 92:7 96:6,11 134:1 145:11 149:13 fully 145:14 function 100:19 functional 20:7 functioning 26:1 26:17 functions 48:15,17 funding 108:20 fundraising 109:1 134:20 funds 122:1 further 50:7 70:15 89:1 95:8 125:12</p>	<p>144:16 146:20 future 53:20 54:5 56:4 63:18 64:2 99:17 145:13</p> <hr/> <p style="text-align: center;">g</p> <hr/> <p>gallery 64:13 gap 133:23 gaps 20:6 garrett 3:10 6:24 150:1,2 gates 139:7 gating 144:7 gauge 55:20 gears 136:23 gene 29:24 30:4 31:23,23 general 32:22 33:15 38:16 39:14 62:22 72:20 96:19 98:12 111:20 143:2 generally 30:15 61:7,15,19 77:16 86:23 87:21 111:14 134:22 145:23,24 generate 61:9 81:15 100:19 105:9 110:9,10,16 138:1,15 generated 76:17 83:12 84:22 139:2 generates 87:6 generation 11:4 18:14 36:17,20 41:11 56:13,22 72:14,24 89:14,18 95:16 98:10 137:13,14,24 138:3 141:7</p>	<p>genetic 124:3 genome 63:19 65:21 genomeweb 4:19 4:21 112:20,23 115:9 120:8,14,16 121:5 genomic 70:12 genomics 67:25 genotyping 29:24 30:3 31:24 gentleman 37:7 gestures 9:16 getting 24:16 77:19 80:8 87:4 101:24 136:16 141:19 145:24 ghanderson 150:2 giga 61:10 86:18 86:20 87:3,5 88:4 100:23 109:11 110:11 137:15 138:12 give 8:19 31:18 48:9 65:17 92:16 138:7,22 143:15 given 17:9,14 28:12 101:19 147:5 149:8 global 126:3 go 5:13 15:21 24:1 25:1 40:3,9 44:1 50:17 57:3 58:22 66:4 68:22 72:2 77:9 79:13,15 82:3 83:23 84:25 88:15,19 89:4,9 93:1 97:22 102:8 103:25 104:13,25 105:13 110:3 112:16 114:15</p>	<p>116:6 125:14 127:21 132:20 143:21 146:22,24 goal 54:22 57:9 99:20 106:20 goes 86:24 88:5 going 5:8 9:4,9 24:11 29:3,6,10 32:15 33:16 44:8 50:17,23 56:15 60:10 62:25 64:1 64:14 65:4,8 71:6 74:7,10 79:23 81:7,24 82:1 84:10 90:4,20 100:21 104:23 107:5,9,13 110:23 111:4 114:10,10 116:4 118:13 132:12,17,22 147:9 gold 82:11,12 good 29:5 32:16 32:17 49:3 56:6 76:2,4 130:4 grail 1:7 2:9 5:16 6:8 8:25 9:6 32:14 32:20 33:1 34:14 64:4,13 65:3 148:3 150:4 graph 62:12 graphical 140:25 great 19:2 20:17 32:5 74:21 108:2 131:17 132:16 134:6 146:23 greater 51:8 green 77:21 78:10 ground 9:9 group 63:5 83:20 85:6</p>
--	---	---	---

<p>grow 67:6 132:3 growing 42:5 99:8 growth 61:25 62:14,16,18,24 64:1 99:1,6 guardant 65:19 guess 35:3 75:17 90:20 105:16 107:21 115:10 117:22 120:17 123:22 128:14 137:10 guide 135:9 guided 136:10 guys 52:15</p>	<p>hardware 73:19 98:17 144:22 harmony 29:17 124:12,22,22 125:1,14,19,25 126:6,19 head 9:15 39:15 header 104:6 heading 89:10 104:14 health 120:19 hear 9:19 heard 101:21,22 128:20 hearing 12:11 34:7 35:24 36:7 91:6 117:13 help 10:6 14:20 44:9 49:24 85:8 94:15 95:8 124:11 146:4 helped 136:16 helping 34:20 hey 36:3 79:25 high 2:15 36:15 39:23 41:22 61:4 61:13,17,17,18 77:21 87:20 97:24 104:15 124:3 higher 30:16 31:9 43:12 58:7 69:18 69:23,24 70:2,4 75:23,25 76:8,11 76:25 82:22,23 89:6 96:2,25 97:3 100:22 128:14 142:14,20 143:5,8 highly 84:3 115:17 115:18 hindsight's 50:3</p>	<p>hire 20:2 hired 20:8 48:21 48:23 hiring 134:15 hiseq 125:20 141:18 history 49:7 50:4 59:24 91:2 143:22 hit 85:22 138:11 hmm 84:15 99:19 105:18 hold 58:21 hone 95:2 honestly 33:20 36:1 hope 134:21 hopefully 43:25 hoping 66:25 host 140:12 hour 10:18 29:4 74:8 110:6,7,23 132:17 hours 99:24 100:5 100:7,13,14 hundred 100:23 127:5</p>	<p>71:20 identifying 38:7 ih 27:8 29:15 illumina 1:5 2:4 4:21 5:15 6:12 8:25 9:5 29:17 32:20 34:15 36:19 36:23 37:5,8 42:13 43:16 58:4 67:13,15,17 69:6 69:9 73:2,16,23 73:25 79:19 82:12 82:18 83:2,6,10 83:12,22 100:11 100:15 111:18 113:10,16,20,23 114:8 117:5 118:7 118:21 119:13 120:9,19,24 125:20 128:13,20 129:2 130:2,6 131:5 141:12,13 148:3 150:4 illumina's 32:25 42:3,23 85:25 86:3 117:24 119:10 130:23 131:24 image 63:23 impact 15:11,13 15:15 136:3 144:2 impacted 136:1,12 impacts 124:4 important 85:10 85:23 107:7 impression 114:18 improve 69:4 70:9 70:21 109:19 140:24 improved 57:22 69:13 70:4 101:4</p>
h			
<p>h 3:10 48:20 150:1 half 28:8 54:13 56:19 95:3 137:5 143:1 halfway 15:22 27:17 hand 60:19 89:9 95:22 149:20 handerson 3:11 handful 130:9 handle 85:7 86:6 hands 54:24 hang 40:5 happen 19:19 24:11 happened 83:3,15 102:22 119:19 133:13,16 134:1 happening 96:8 happens 86:23 88:4 103:1 happy 10:19 hard 31:14 50:21 50:21 65:8</p>			
		i	
		<p>icsw 25:10 idea 22:4 88:6 109:20 110:8 128:19 ideas 90:11 103:3 109:19 identification 4:9 11:20 12:20 16:11 40:11 44:2 52:21 58:17 93:8 103:13 108:7 112:22 120:10 123:17 identified 39:4 43:15 53:16 55:15</p>	

<p>128:9 improvements 83:13 improving 69:8 inaccurate 47:16 100:2 inaudible 23:19 132:8 incentive 80:23 include 53:20 63:6 63:9 including 65:3 96:1 128:21 incorporate 146:13,15 incorporated 42:5 incorporation 42:6,10 incorrect 70:3 incorrectly 10:13 increase 89:2 increased 99:17 128:5 independent 122:2 individual 20:5,6 41:25 129:8 134:17,18,22 135:13 137:17 138:21 individuals 53:23 55:25 industry 61:15 113:1 114:5 inflection 88:23 88:24 influence 144:22 influenced 136:10 informatics 26:16 information 10:2 15:19 31:19 33:18</p>	<p>47:16 62:4 70:3 infringe 118:18 infringed 117:14 infringement 111:18 113:11,20 113:23 116:16,25 117:3,25 118:4,21 119:10 120:25 initial 54:23 60:13 99:15,16 initially 120:20 innovate 83:7 innovation 102:21 102:25 innovative 97:22 input 87:24 inserted 66:7 installation 54:23 146:6 instances 70:13 128:19 instant 8:10 instrument 14:19 14:21 15:23 17:23 18:1,5,14,15,18 18:21,24,24 19:4 21:11,17,19,20 22:3,6 23:2,11 24:13 25:10,19,22 26:4,12 31:25 54:12,22 56:18 89:15,22 98:24 136:24,25 137:4,6 137:8 138:5,12,25 141:23,24 142:14 142:20 143:5,9,18 144:16 145:2,7,14 146:8 instruments 22:17 26:21,24 47:4 54:24</p>	<p>integrating 139:19 integration 19:6 25:11 26:6,18 intel 84:19 intend 60:10 intending 36:22 intent 145:25 intention 112:3 interacted 38:10 interaction 36:10 38:12 interactions 37:23 38:2,15 interest 42:17 54:3 55:2 56:6,10 57:13,20 62:22 87:6 88:15 149:19 interested 5:22 interesting 94:20 110:12 interestingly 136:14 interface 135:21 140:25 interject 33:16 internalized 14:22 internally 14:19 interrelated 100:17 interrogation 42:7 42:10 interview 85:6 invalidate 115:23 inventions 102:23 invest 78:19 81:21 investigating 34:14 investigational 12:11 36:7 investigative 34:7 35:24 91:6</p>	<p>investor 89:3 investors 37:24,25 38:4,6,8,11,13,15 38:21 57:14,20 108:14 135:21 invitae 56:6 65:20 involved 37:3,13 39:2 46:8 55:22 66:12 138:18 involvement 118:12 involves 26:8 ion 105:23,24,24 106:2,2,6 iplaw.com 3:11 150:2 ipo 4:20 111:24 112:3,21 113:4,15 113:17,24 114:1,2 117:21 121:13,21 121:25 122:4,9,11 122:13 ipos 122:17 iseq 87:14,16 141:17 ish 91:15 issue 78:14 81:15 87:19 issued 6:18 8:23 9:7 45:10 102:17 113:24 issues 76:9 133:5 it'll 40:10 74:17 83:24 109:5 item 95:21 items 61:1 69:3 iteral 99:7 iteration 145:9 iterative 140:2 ivd 11:6</p>
--	---	--	---

j	<p>kicked 134:13</p> <p>kind 21:16 55:14 65:25 81:2,5,18 140:9</p> <p>kit 79:5 80:22 106:15,15,19 107:16</p> <p>kits 73:11,14,20 73:22,24 74:1 79:3,7,9,18,20,24 80:1,23 81:17 84:13 105:6,8,17 105:19,22 106:4 106:22 107:4,11 107:12,14,18 142:23</p> <p>knew 28:5 47:18 62:24</p> <p>know 10:6,15,19 11:1,24 12:5,13 12:21 13:3 14:1 14:25 16:12,23 17:18 19:6,9,12 20:4,15,18 21:15 22:4,13 23:1 24:18,19 26:9 30:16 31:18,23,24 33:2,22 35:14 37:1 44:14 46:5 46:10,18 50:4 51:4,18 54:4,20 54:22 55:4,24 56:2,17 60:7 62:7 63:21 64:25 65:4 65:11,14,14 66:8 66:13,16 67:12,16 68:19 71:25 72:22 73:2 75:12 77:22 79:18 80:9,13 81:1,3 83:15,15 83:19,19,21 84:9</p>	<p>84:12,16 85:7,9 85:16 86:6 88:8 88:12,13,17 90:15 90:24,24,25 91:1 91:2,13 94:23 97:1 98:1 99:23 100:4,8,12,21,23 101:18 102:2,5,7 102:10,18 104:10 104:17 105:11,19 105:21 110:11 114:16 115:4 117:18 118:10,10 119:1,5,18 120:14 121:23,24 122:1 122:10,15,18 124:14 126:12 127:3,7,13,14,16 129:1,3,10,20,25 130:10,18 134:22 135:16,22 138:9 140:12,16,18,21 140:22 141:6 142:25 143:8,13 143:16,22,23 144:11,23 145:9 146:6,11</p> <p>knowledge 12:12 13:18,20 17:14 21:2 26:5 30:8,14 30:19 31:5 56:9 66:2 141:14 142:13,19 143:4</p> <p>knowledgeable 55:7</p> <p>known 64:22,24 114:5,22</p> <p>kstamell 2:7</p>	l
<p>january 4:13 44:4 45:11 46:2 58:15 62:19 72:7</p> <p>jd 3:10</p> <p>join 124:1</p> <p>joined 7:1 49:10 49:20</p> <p>jp 62:23</p> <p>judge 10:11 50:16 50:17</p> <p>judges 1:2 5:18</p> <p>judgment 43:7 116:19</p> <p>july 22:9 24:17,21</p> <p>june 1:17 5:1,9 98:25 120:24 148:4,6 149:20 150:3</p> <p>jurisdictions 102:19</p> <p>jury 10:11 118:6 118:20 119:9 120:24</p>	k	<p>l 1:24 148:4 149:3 149:23</p> <p>lab 62:7</p> <p>labcorp 130:14</p> <p>label 58:16 93:16</p> <p>labeled 42:4,8</p> <p>laboratories 130:9 130:12,18</p> <p>laboratory 72:23</p> <p>labs 130:16,21 131:21</p> <p>lack 49:15</p> <p>lacking 49:19</p> <p>lacks 30:13 31:8 60:23 87:17 141:15 143:11 145:22</p> <p>landscape 99:6 131:1</p> <p>laptop 8:8,10</p> <p>large 64:1 87:21</p> <p>largely 67:15</p> <p>larger 67:3 75:1,5 75:10,16,22,24 76:7 127:4</p> <p>lari 104:15</p> <p>lastly 11:1</p> <p>late 39:3 48:2 95:3 133:19</p> <p>latest 83:21 88:13</p> <p>latham 2:11,15 6:8,9</p> <p>launch 15:24 16:2 16:6 17:25,25 18:3,7,18,21,25 19:5,19 21:24,25 22:8,17,25 23:12 23:18,23 24:17,21 24:23 25:4 27:2,4 27:19 28:8,14,16</p>	
<p>k 3:6 134:4</p> <p>kate 2:5 6:11</p> <p>kathy 94:21</p> <p>keen 87:6 88:14</p> <p>keep 38:15 59:16 83:21,22 119:22 126:16</p> <p>ken 6:25 114:15 116:1 124:6</p> <p>kenneth 1:12 5:15 7:6,14 147:5 148:4,6,25 150:5</p> <p>key 69:2 70:8,19 71:19 72:5,15 85:12</p>			

<p>28:17,21,23 56:19 56:22 76:22 77:14 83:5 88:22 89:10 90:21 113:15 137:7 139:23 142:18 145:11 146:5,13 launched 20:11 24:14 launches 83:21 launching 19:1,23 113:17 law 1:2 3:10 5:18 lawsuit 111:18,23 113:21,23 118:4 119:14 121:1 lawsuit's 32:23 lawsuits 4:22 119:18 120:9 lawyers 51:15 lay 41:22 140:14 lays 83:18 lead 33:1 135:16 leader 49:23 82:15 82:19 88:17 leaders 136:4 leadership 19:15 19:22 20:7 88:18 94:10,16 95:5 133:10,13 135:25 leading 25:4 28:11 29:19 37:6 41:13 45:24 49:4 56:8 95:17 97:14 118:9 118:23 121:22 125:6,17 126:8,22 127:12 129:9 130:25 learned 85:14 96:24</p>	<p>learning 140:4 leave 47:25 led 96:9 108:19 left 37:15,20 59:25 91:13 94:21 95:22 114:18 legal 35:13 150:23 legitimately 87:12 lend 71:9 length 61:12 70:9 82:14 85:3 lengths 70:12 lens 144:13 level 14:13 26:11 36:15,22 39:23 41:22 55:2 68:17 70:6 85:21 107:22 131:12,16,21 133:19 138:17,22 leverage 15:9 libraries 78:17,24 78:25 105:9 library 72:14,24 73:5,9,10,14,20 73:22 78:7,8,13 78:20 79:2,5,6,24 80:3,11 81:17 105:3,6,14 106:3 106:15,19 107:2 license 128:14,19 licensees 129:7 life 106:1 light 97:4 liked 50:1 limited 64:13 137:3 limiting 70:23 line 80:12 107:5 120:14 123:23 143:2 148:9</p>	<p>liquid 63:25 64:5 64:18 66:9 68:17 140:22 list 40:10 53:19 55:13 109:19 listed 69:4 72:15 78:7 98:12 100:12 106:9 lists 69:3 105:15 literally 119:17 litigious 115:19 little 16:25 38:4,12 39:11 73:21 85:11 104:8 122:3 123:21 127:23 140:20,21 litvack 3:5 6:13,13 11:14 16:15 33:16 50:10 51:10,20,21 52:2,5 114:13 115:25 132:19 133:1 146:21 llp 2:5,11,15 load 104:9 loading 99:14 local 147:7 location 1:18 7:24 39:15 long 10:20 12:3 25:9,15 36:12 39:10 72:24 78:18 longer 43:18 47:21 70:13 104:21,22 107:4,4 107:9 look 7:18 33:14 44:14,23 45:2 46:22 57:8 62:12 92:1 94:9 95:21 99:6 115:8 119:24 120:17 134:12</p>	<p>145:1 146:9 looked 12:6 33:7,9 34:7 44:16 49:6 74:16 99:5 130:17 looking 14:7 25:6 30:22 62:8 68:18 75:18 91:12,14 92:13,15 93:22 94:21 101:2 110:18 134:19 135:13 137:17 looks 13:9 80:21 94:7 loosely 141:6 lori 3:16 5:19 lot 19:13 20:8 25:23 33:21 50:22 56:15 88:17 89:24 99:21 100:19 102:6,25 109:18 130:18 138:2 low 61:17 99:8 128:2 lower 30:18 71:20 71:24 75:21 86:2 88:2,3,6,7 96:2,4 98:17,21,23 99:18 109:11 121:9 127:15 128:8 142:17 lowered 127:11,16 128:5 lunch 132:18 lw.com 2:13,17</p>
m			
<p>m.d. 1:12 7:6 124:6 148:4,6,25 machine 71:11,16 machines 66:20 78:22 80:1,1 81:7</p>			

<p>main 63:17 130:21 144:7</p> <p>maintains 53:19</p> <p>majority 73:22</p> <p>making 26:24 92:8 125:4 139:19 140:5</p> <p>managed 147:8</p> <p>manager 39:14</p> <p>manufacturer 80:12 141:5</p> <p>manufacturers 73:13 79:9 80:3 81:17 106:16,17 106:19 107:17,23</p> <p>mapping 90:17</p> <p>march 12:8 27:25 28:5,13,13 34:9 34:25 35:21 36:7 55:9 91:5,9,11,18 111:13 112:9,21 113:7,9 121:14 124:25 128:10</p> <p>marcus 2:14 6:9 40:6 43:22 58:9 93:2 103:8 107:24 112:14 119:25 123:6</p> <p>marcus.curtis 2:17</p> <p>marked 11:19 12:20 16:11 27:15 40:7,11,16 43:23 43:25 44:2,11 58:17 93:8 103:13 108:4,7 112:19,22 120:10 123:9,17</p> <p>market 61:25 62:14,24 64:11,15 67:1,6 81:13,13 81:15 82:15,19</p>	<p>84:23 85:20 88:11 88:16 89:11 90:5 90:8 99:2,2,10 102:8 124:16 130:8,23 131:8,12 136:6 141:2,9,11</p> <p>marketing 54:19 83:20 85:6 86:17 130:1</p> <p>marketplace 33:3 100:21 125:15,21 131:20</p> <p>markets 123:16</p> <p>massive 87:24</p> <p>massively 129:14</p> <p>material 71:9 136:8</p> <p>materially 86:9</p> <p>materials 38:19</p> <p>matter 1:4 5:15 6:18,21,23 8:25 80:8 116:19 149:18</p> <p>matters 118:13 119:5 137:25</p> <p>mean 11:4,5,6 14:24,25 18:3 25:6,6 26:6,19 30:6,6,14,14 31:13,14 33:8,19 43:5 54:17 57:24 59:14 62:2,3,10 62:21 67:12,13 69:11 70:20 71:4 75:5 76:5 77:21 77:23 78:1,11,13 79:4 80:7 81:1,20 82:1 83:4,11 85:19 94:19 106:23 114:16 121:23 124:14</p>	<p>125:7,18 131:15 136:2 138:14,17 139:10 140:11 143:21 144:20</p> <p>meaning 75:3 78:4</p> <p>means 18:6 70:21 76:25 97:25 98:2 98:6 138:15</p> <p>meant 61:18 75:12 76:10 77:18 84:7 98:4</p> <p>measured 137:14</p> <p>mechanics 25:23 70:24 143:24</p> <p>media 5:14 147:6 147:6</p> <p>medical 124:4</p> <p>meeting 4:16 103:16,23</p> <p>meetings 38:2</p> <p>mention 48:21 105:23</p> <p>mentioned 9:2 21:24 34:5 39:18 52:8 64:24 90:18 106:8 124:24 128:10 137:2</p> <p>merger 32:19</p> <p>messages 10:24</p> <p>messaging 8:10 10:25</p> <p>met 32:13 72:5,8</p> <p>method 68:16 76:20,20,24 96:17 97:6,8 106:7 107:8,9,10,13,17 107:19,23 137:7 144:21</p> <p>methods 69:5 70:9 76:16 129:13,14</p>	<p>metric 72:15 110:14 137:21</p> <p>metrics 72:6,9</p> <p>mgj 68:3</p> <p>microarray 30:20 30:23 31:6,11,16 125:1,15,24 127:10 128:5,12</p> <p>microarrays 29:18,21,23,25 30:1 31:1</p> <p>mid 48:7 61:4,13 61:17,18 84:3,8 84:11 86:18,22 100:22</p> <p>midatlantic 150:15</p> <p>middle 46:24 84:11,12</p> <p>miguel 3:16</p> <p>milestones 123:2</p> <p>million 45:14,23 46:8,13 91:1,7 92:3,14,16,17 120:25 122:14 123:4</p> <p>millions 91:16 118:7,22</p> <p>mind 10:16 14:16 65:25 138:9 142:7</p> <p>mine 99:14</p> <p>minimum 85:19 85:21</p> <p>miniseq 141:18</p> <p>minor 22:4 146:7</p> <p>minus 91:15</p> <p>minute 11:23 12:22 13:2 20:17 27:22</p> <p>minutes 29:5</p>
---	---	--	---

<p>mischaracterizes 114:13 miseq 82:22 141:18,22 142:13 142:16 misspoke 86:20 mls 140:19,19 mode 68:13 modeling 86:13 models 141:13,20 141:25 142:3,11 modifications 21:22 22:4 28:19 51:6 140:6 modified 42:12,14 69:17 143:25 146:8 modify 79:2 140:24 module 144:10 modules 98:22 moment 16:22 29:9 42:22 132:22 147:4 momentarily 11:8 money 81:6 91:12 91:13 121:20 monitoring 66:10 montgomery 2:11 month 110:11 months 34:23,24 37:17 45:14,23 46:9 134:23 moore 2:5 6:12 morgan 62:23 morning 32:13 49:1,5 52:9 74:17 move 6:20,21 96:16 139:6 146:14</p>	<p>moved 107:10 moving 16:18 25:24 140:1 mullarkey 46:24 47:8,21 93:14 96:10 133:22,24 mult 138:17 multiple 7:16 26:8 56:10 70:17 84:9 118:12,13 128:16 130:11 138:18 142:22 multiplied 61:11 137:18 multitude 73:8 146:1 musser 2:20 7:2 mutations 68:18 mystery 114:24</p> <p style="text-align: center;">n</p> <p>n 2:1 3:1 4:1 48:20 n.w. 3:6 naegele 2:20 4:4,6 6:2,5,5 7:1,11 9:3 11:15,19 16:16,19 17:3 23:23 29:3 29:15 32:5 37:6 41:13 45:24 47:23 49:4,15 50:2 51:9 51:11 52:16,23 54:9 56:8 58:5 60:6,23 62:20 63:12 64:7,9,16 65:6 66:6 67:4 71:13 80:24 81:10 81:25 86:4,15 87:17 89:20 90:6 90:23 91:22 92:11 92:18 97:14,20 98:7,20 99:4 101:8 110:21</p>	<p>113:13 114:12 115:6 116:8 117:16 118:2,9,23 121:22 125:6,17 126:4,8,22 127:8 127:12 128:7,23 129:9,23 130:25 131:14 132:1,7,16 133:4,9 146:17,23 naegle 12:23 name 5:19 6:5 7:13 9:3 32:14 53:12 57:5 named 134:4 names 65:14 nanopore 68:6,13 68:14,16,19 narrower 106:22 nasdaq 113:18,24 natera 56:7 65:19 130:11 natural 42:12 navigating 10:6 neb 79:7,19 necessarily 127:1 necessary 19:3 49:12 88:4 107:2 need 10:5,19 25:24 26:3 32:16 68:17 70:5 75:14 78:5,25 81:5,18 85:21 87:21 140:21 146:12 needed 19:18 21:22 51:1 88:7 129:15 needing 70:14 72:22 needs 77:24 neither 11:14</p>	<p>never 64:21 122:7 122:11 new 2:6 78:24,25 103:3 104:15 106:13 107:13,17 107:19,23 134:3 nextseq 82:23 83:7,8 84:13,14 84:18,20,22,23 100:17 109:15 141:18 142:19,22 142:22,24 nextseq's 143:3 ngs 11:4 19:15 29:17 39:19 42:23 61:25 62:4 63:18 63:19 64:2 66:19 67:1 95:17 102:4 124:25 125:11 141:2,5 nice 50:5 night 113:22 nipt 4:19,22 11:5 29:17 112:20 115:18 120:9 123:16 126:7 128:22 130:1,6,23 131:1 132:5 nods 9:15 non 97:6 noncommercial 54:12 56:18 137:4 noninvasive 11:5 123:15 nope 121:12 normal 145:25 normally 137:14 137:20 notable 57:21 note 108:4 150:10</p>
--	---	--	---

<p>noted 9:23 notice 6:19 62:12 noticeable 71:9 noticed 20:6 101:15 noticing 5:25 novaseq 31:6,9,16 31:18,25 32:3 87:11,13,19,24 109:15 141:19 143:4 novaseq's 143:8 novel 39:25 102:22 november 134:5 nucleotide 42:8,12 nucleotides 41:25 42:4,14 69:17 number 16:13 20:4 21:15 32:2 46:10 61:7,8,11 74:18,23 77:9,10 82:5,21 83:24,25 87:22,24 88:3 91:24 94:25 103:17 108:9 109:8 111:12 132:2,4 137:15,17 138:8 147:5 numbers 13:22 21:16 77:6,7 95:10 104:4 122:15 ny 2:6</p>	<p>51:9,18 52:16 58:5 60:6 63:12 64:7,9,16 65:6,6 66:6 67:4 71:13 81:25 86:4 89:20 90:6,23 91:22 92:11,18 97:20 98:20 99:4 101:8 110:21 113:13 114:12 115:6 116:5,8 118:2 121:22 126:4 127:8 128:23 129:23 131:14 132:1,7 141:3,15 142:4 144:4,18 145:21 objected 51:25 52:23 objecting 51:20,22 objection 6:15 9:23,24,25 29:19 37:6 41:13 45:24 49:15 50:10,18 52:22 54:9 56:8 60:23 62:20 80:24 81:10 86:15 87:17 97:14 98:7 114:13 115:25 117:16 118:9,23 125:6,17 126:8,22 127:12 128:7 129:9 130:25 143:11 objections 5:23 116:4 objective 144:10 144:13 objects 50:13 obviously 12:2 62:22 82:1 83:6 88:3 114:17 122:2</p>	<p>122:10 135:18 144:21 146:10 occur 54:24 occurred 113:14 114:21 occurring 149:15 october 27:2 odd 77:7 oddly 136:18 offer 88:11 125:19 125:25 131:9 141:13 offered 126:10 offering 56:12 65:15 66:3,8 102:4 126:24 130:19,20 145:6 offerings 83:14 offers 69:9 88:6 office 1:2 5:17 officer 20:5 48:22 51:5 75:9 133:25 134:9 official 39:13 officially 37:3 134:4 oh 13:23 58:21 59:15 74:21 77:11 83:9 94:13 110:10 113:22 okay 11:11 13:23 13:25 27:10 32:18 35:17 37:22 39:22 40:15 42:18 43:4 43:8 46:7,16 47:18 48:14,25 53:5 55:8 57:2 59:19,19,23 63:16 65:17 68:6 69:22 72:11 73:18 74:6 74:9,21,24 75:3</p>	<p>77:12 78:2 83:9 83:17 92:13 93:1 93:9,21,25 94:13 95:4 96:12 98:3 105:2 106:20 107:15 111:2 112:17 113:9,16 116:14 117:22 120:4,17 121:7 126:15 129:25 130:5 131:22 132:18,21 133:4 133:18 oligos 79:12 om 66:23 omnio 3:4,9 4:10,11,12,16,18 6:14,25 7:22 14:10 16:6 17:8 19:20 20:2 27:19 36:13,16,25 37:4 37:9,13 38:20 39:17,18 40:7,10 41:7 43:9 44:3,12 46:25 47:9 49:7 54:15 55:1,25 56:4 57:19 58:14 58:16,25 59:10,23 60:16 62:18 66:23 66:24 67:9,20 69:9 71:24 72:5 78:17 79:21,21 81:22 84:6 85:7 85:24 90:19 91:6 91:19 92:25 93:5 93:16 99:15,20,22 100:1,11 102:16 103:15 108:5,19 109:10 110:5 133:11,14 134:11 134:15,25 135:2</p>
o			
<p>oath 8:17 10:9 33:10 oaths 149:5 object 9:22 23:5 28:10 30:12 31:7 47:23 49:4 50:2</p>			

<p>136:24 137:1 138:5 142:14,20 143:5,9,17,20 144:15 145:6 omniome's 16:2 37:23 40:18 43:1 49:6 72:8 93:15 103:17 108:8 136:1,13 144:2 once 26:21 37:14 114:3 139:3 oncology 62:22 ones 14:23 63:1 65:4 67:13 79:15 onetouch 107:1 online 113:2 op 100:8 open 11:18 33:14 74:20 86:10 93:9 108:2 109:9 120:5 123:10 opened 16:21 33:10 operations 54:23 59:10 122:2 opinion 136:12 opportune 114:20 opportunities 67:8 opportunity 39:4 62:18 64:11 67:7 84:2 99:2 optics 25:23 143:23 144:1,6,9 optimistic 24:1,4 24:14,20 25:18 100:9 option 126:7 oral 1:11 orally 9:14 order 9:7 20:3 79:1</p>	<p>oregon 1:25 149:6 149:24 organization 51:1 135:17 organizations 135:4 139:10 orient 84:13 original 37:7,12 90:5 131:19 originally 29:23 outcome 5:23 119:6 outcomes 117:19 outlined 15:17,19 18:13 output 61:9 85:2 88:2 98:10 137:13 137:19 143:3 outputs 98:5 outright 121:24 outsourced 14:23 outsourcing 14:9 14:13,16 15:5 overage 140:20 overall 15:12 71:20 72:21 75:20 89:7 98:23 99:6 135:9,18 136:8,21 142:17 145:1 overlap 37:10 overnight 114:9 115:2 overseas 131:6 overview 4:12,15 58:14 93:13 101:13 overviews 59:3 owns 106:2 oxford 68:6,13,19</p>	<p>p p 2:1,1 3:1,1 p.m. 132:23,23 147:11 pacific 5:9 packed 75:11 page 2:25 4:3,9,10 13:21,22 14:1,2 14:14,14 15:23 17:17,18,21 21:3 22:12,13,15,19 27:11,15,23 40:7 40:24 41:2,8 44:24 52:4,6 58:15 59:21 61:22 63:15 82:8 83:24 93:17 95:11 103:18 109:5 110:4 115:12,13 123:13 148:1,9 pages 10:5 77:7 paid 122:6,23 paragraph 115:10 115:11,14 116:22 120:18 parallel 129:14 part 9:8 15:7 38:14 46:12 57:8 59:9 74:1 96:9 108:16 109:20 121:25 participants 5:11 64:15 131:19 participated 87:10 particular 41:2 43:19 59:8,20 105:19 particularly 13:16 111:22 119:20 131:6 135:10 136:19</p>	<p>parties 2:2 3:2 5:5 5:13 56:10 partners 55:24 135:22 party 5:22 14:20 51:21 53:9 54:11 149:17 pass 32:6 132:12 passed 71:25 patent 4:22 103:2 111:18 113:10,20 113:23 115:23 116:16,18,21,24 117:3 118:7,13,21 119:5,12,12,13 120:9,25 128:21 128:24 129:4,6,16 129:19,21 patents 102:2,6,9 102:15,20 117:15 118:18 129:4,15 path 77:13 78:1 patient 62:4,5 patients 32:2 66:9 124:4 paying 127:19 payment 122:24 pcr 106:6 pdf 40:17 44:12 44:21 123:12 pending 10:20 102:17 people 19:24 20:2 20:8 51:17 52:17 52:17,18 65:3 66:4 72:20 81:14 103:5 141:8 performing 130:21 131:10 period 14:18 39:7 112:12 114:2</p>
--	--	--	--

[person - prepared]

Page 22

<p>person 14:24 15:1 19:10 108:25 140:14</p> <p>personal 65:21</p> <p>personally 66:16</p> <p>perspective 19:16 67:5 76:3 87:25 89:4 136:9</p> <p>perspectives 136:4</p> <p>pfeiffer 2:10 4:5 6:7,7 7:21 11:12 12:4 16:13 23:5 28:10 29:19 30:12 31:7 32:7,8,12,14 35:23 40:3,12,15 40:20 43:22,24 44:10 49:16 50:16 51:14,24 52:3,7 52:25 58:9,12,18 60:25 74:7,15 92:19 93:2,4,10 93:18 101:9 103:8 103:10 107:24 108:3 110:22 111:3,9 112:14,16 116:3,7,10 119:25 120:2 123:6,8 127:9 132:12 141:3,15 142:4 143:11 144:4,18 145:21 146:19 147:1</p> <p>phase 88:23,24 139:7,8</p> <p>phased 141:19</p> <p>phases 18:2 89:5</p> <p>phd 3:10</p> <p>phenotype 134:18</p> <p>phone 29:7</p> <p>phrase 54:14 101:16</p>	<p>physically 80:5</p> <p>pieces 26:1 70:12 70:18 81:16</p> <p>pin 95:8 112:13</p> <p>pinson 1:24 5:21 148:4 149:3,23</p> <p>place 5:12 54:2 55:21 84:12 94:15 94:16 104:19 128:17 149:9,15</p> <p>placing 81:23</p> <p>plaintiff 2:4</p> <p>plan 10:17 18:18 18:19 28:14 56:14 56:21 89:14 137:2 142:16,18 145:6 145:10</p> <p>planned 121:20 137:4</p> <p>planning 82:2 139:2 140:7</p> <p>plans 18:13 56:16 56:17 60:13 89:21 101:23 125:10 136:1 137:7</p> <p>platform 4:15 10:4,25 11:2 30:18,23,23 31:11 45:16 93:13 99:17 125:11,13,20</p> <p>play 136:9</p> <p>player 141:9,10</p> <p>players 84:10</p> <p>please 5:24 6:1,2 7:12 9:14,19,21 10:6,14,18,21,23 11:1,23 12:4,20 13:2,21 14:1 16:11,22 17:17,18 20:14,17,21 21:3 22:12 27:8,11,22</p>	<p>29:9,14 39:22 40:6 43:22 44:15 45:2 57:1 58:10 63:14 72:2 74:14 82:3 84:25 93:3,9 103:8 104:1 107:24 108:2 109:4 110:3 111:8 112:15 120:1,5 123:7,9 132:22,25 147:4</p> <p>plus 91:1,15</p> <p>point 8:2 10:12 19:7 21:5,25 35:12 54:6 56:12 72:17 78:2 89:18 90:20 91:7,20 99:25 102:10 110:15 126:19 130:3</p> <p>points 94:24</p> <p>pool 128:21,24 129:5,6,19,21</p> <p>population 66:11 66:18</p> <p>portions 10:5</p> <p>portray 109:23</p> <p>portrayed 110:17</p> <p>portraying 109:16</p> <p>position 7:22 97:17</p> <p>positioning 101:6</p> <p>positive 136:15,21</p> <p>positively 124:4</p> <p>possible 33:2,25 55:15 76:19 80:7 144:15 145:12</p> <p>possibly 24:14 71:22</p> <p>post 39:14,15 40:6 46:5 119:20</p>	<p>128:17</p> <p>posted 41:4</p> <p>potential 37:24 38:6,8,11,12,20 43:18 53:16,19,24 55:2,13,23,25 56:2,4 64:19 67:23 68:9 69:2,4 70:3,8,10,19 71:19 85:14 90:11 99:1 111:24 135:22</p> <p>potentially 30:16 33:1 38:3 54:3 71:25 103:5</p> <p>powerpoint 58:14 93:11 103:14 108:13</p> <p>practical 81:12 88:1</p> <p>practice 45:5 129:16</p> <p>pre 46:5</p> <p>precursor 23:4</p> <p>predated 60:13</p> <p>predecessor 23:4</p> <p>preferred 49:17 51:7,13</p> <p>preliminary 96:7</p> <p>prenatal 11:5 123:15</p> <p>prep 73:9,11,14 73:20,22 78:7 80:11 105:4,6,14 106:15</p> <p>preparation 108:14</p> <p>prepare 59:10,13 89:11</p> <p>prepared 20:23 114:19 115:2,3</p>
---	--	--	--

<p>prepares 38:20 preparing 44:13 present 3:15 presentation 13:9 16:1 17:7,9 18:13 18:17 19:12 20:24 22:7 58:25 59:8 presented 13:12 60:15 75:8 97:16 103:22 preservation 147:9 preserve 6:21 preserved 50:18 president 46:25 48:17,19,24 93:14 134:10 135:15 136:15,21 press 4:11,23 44:3 44:11,18,20 45:5 45:10 46:6,22 47:15 56:25 113:18,24 123:13 pressure 104:15 pretty 49:22 56:6 59:13 89:24 99:8 99:25 100:7 114:5 143:24 previous 15:21 22:22 23:14,17 24:9 46:21 52:22 previously 22:24 23:12 60:16 price 85:2 98:23 109:11,20 110:2 122:5 129:22 priced 61:5 pricing 87:3,5 88:15 113:19,24 114:4,11,23 127:18 129:11</p>	<p>primarily 134:21 primary 62:18 89:21 primers 79:13 principle 138:16 139:3 prior 37:9 49:25 109:17 117:5 145:9 prioritized 55:15 prioritizing 20:9 privileged 10:1 33:17 pro 112:11 probable 145:12 probably 14:24 19:10 34:23 37:1 44:9 57:21 75:8 75:15 77:25 81:14 85:17 94:25 95:2 97:16 100:22 109:17 111:1 125:7 127:21,22 136:15,16 140:13 problematic 116:5 problems 52:2 proceed 29:14 74:14 111:8 112:3 132:25 proceeding 5:24 6:17,19,20,23 40:18 44:13 proceedings 149:7 149:11,15 process 34:7 50:8 50:13 52:11 67:20 79:13 106:3 107:2 121:25 122:11 134:13 140:2 processes 72:14 72:24 73:9 105:8</p>	<p>produce 89:19 144:12 produced 93:15 103:16 108:8 producing 26:21 product 19:15,19 19:25 20:5,11 47:2 48:22 49:8 49:11,22 51:1,5,6 57:12 61:11 64:4 64:6 65:14 80:12 80:22 82:2,7 83:6 83:10,14,18,21 86:7 89:5 99:15 99:16 102:25 103:3 107:5 125:23 133:25 134:9 135:14,18 136:5,11,17,22 139:5,6,11,12,13 139:17,22 140:1,1 140:10,23 145:17 145:20 production 25:12 26:19,21 products 103:4 program 55:18 145:10 programs 8:9 139:24,24 progress 16:17 19:2,14 77:23 102:18 progressed 61:16 prohibitive 97:3 projec 122:8 projected 22:8 24:21,23 25:15 27:2,4 28:8,17,21 28:24 56:19 62:14 122:8 127:16</p>	<p>projection 100:9 projects 20:1 promoted 48:24 134:9 proof 138:16,16 139:2 properly 26:2 proportion 102:7 proposed 9:5 17:23 24:12 proprietary 95:22 protect 103:2,3 protective 9:7 prototype 21:18 21:23,25 22:2 28:18 47:4 52:8 52:12,19,25 53:5 53:7,8,17,20 54:4 54:8 90:21 prototypes 53:18 55:11 provide 19:18 20:23 62:4 69:18 71:24 145:16,19 145:25 provided 145:20 providers 79:24 provides 67:6 providing 54:20 58:25 public 114:6 publication 113:1 publicly 113:19 127:10 published 119:7 purchase 18:8 122:5 purchasing 79:6 purely 62:7 purpose 60:14</p>
--	--	--	--

<p>purposes 134:20 147:9 pursue 83:5 pursued 104:22 104:24 pursuing 67:8 pushed 97:3 put 6:15 13:10 24:8 42:11 43:22 54:19 58:9 85:17 93:3 100:2 103:8 104:19 107:25 110:14 112:14 114:9 119:25 123:6 129:4 puts 25:3 putting 22:16 47:15 140:4 px 21:3 22:12 77:9 82:5 83:25 px045 85:1 px048 87:1 px055 88:20 px7071 11:20 27:9 px7071-007 27:11 px7071-008 27:13 px8059 86:13 px85019 16:11 px8509 16:16 74:18 px8509-018 74:22 px8509-055 17:17 px8510 20:14 px8519 12:20 px8519-018 14:15 15:21 px8519-019 13:21 15:17</p>	<p>q q1-2019 15:23 q1-2021 18:18,21 q2-2020 15:24 16:3,7 q4-2019 15:24 quadrant 27:15 27:18 qualified 19:11 qualifies 62:9 qualify 19:10 quality 101:4 124:3 quantify 64:21 65:9 quest 130:15 question 9:19,21 10:1,13,20 13:7 23:6 28:11 30:13 31:8 50:13 75:17 86:10 96:4 102:14 116:6 124:20 129:21 131:17 141:4 142:5 144:5 144:19 145:22 questioning 34:6 132:13,14 questions 8:14 9:5 9:23 32:15 38:23 111:12 132:14 133:3 136:24 146:18,20 quick 7:16 quickly 71:17 110:15 131:2 146:8 quite 68:15 78:15 87:12 109:5 134:5 143:19 quote 44:24 46:23 47:2,9 57:3,4,6,8</p>	<p>123:21 124:8 quotes 45:6 87:9</p>	<p>96:4,13,21,22 97:13,13 98:15 105:25 reality 83:4,15 realize 10:12 71:7 140:16,18 really 19:14,22 26:9 30:22 31:17 49:9 51:3 62:15 67:13,17,17 68:11 68:20 70:23 75:12 76:6 79:5 90:17 94:5 96:10 100:18 104:22 107:20 109:21 110:12,16 114:3 116:3 134:19 135:8,14 135:16 136:10,16 137:12 139:15 145:1 reason 8:19 36:3 41:3 45:9 46:3 59:5 106:4 148:9 150:11 reasonable 100:6 112:11 122:16 recall 13:14 14:6 14:22 15:15,18 25:5 33:12,20,25 34:2,17 35:8,12 36:10 46:4,9 52:8 55:8,10,13 85:5 91:21,23,23 96:5 96:8 104:19 108:15 111:11,13 111:19,25 112:2,4 113:25 117:17,20 118:25 119:2 122:17,22 127:22 128:20,24,25</p>
		<p>r r 2:1 3:1 raise 121:20 raised 45:22 46:1 46:4,8 90:25 121:23 raises 45:14 raising 121:19 122:13 range 92:16 113:19 114:4 122:13 rapidly 47:3 rate 70:23 rating 142:23 raw 58:1 reached 22:13 34:18 35:6,15,23 80:3 reaching 35:11 36:3 reaction 41:15 read 11:23 12:2 13:2 16:22 39:24 43:18 58:1 61:12 70:9,13,16 82:14 85:3 86:13,16 137:18 148:6 150:9 reading 12:2 readout 31:21 32:4 125:22 reads 47:2 61:8,8 61:11 75:20 82:21 137:18,19 reagent 43:20 140:17 reagents 26:13 71:23 76:10 96:2</p>	

receipt 150:18 received 21:21 recess 29:12 74:12 111:6 132:23 recognize 44:17 94:2 141:8 recognized 127:1 recollection 14:11 18:10 26:20 34:4 35:9 91:4,25 113:6 117:1 record 5:8,13 6:15 7:13 10:21,25 17:2 29:10,11,14 40:16 44:10 50:19 51:14 58:13 74:11 74:14 93:10 103:14 108:3 111:5,8 112:18 132:20,20,22,25 133:2 146:22,24 147:10 149:14 recorded 1:10 5:14 149:12 recording 5:12 records 117:23 red 25:8,9 77:25 78:3 redesign 145:1 reduce 86:23 reduced 123:12 redundantly 147:8 refer 42:18 53:1,2 57:23,25 61:6 89:12 referenced 150:6 referred 18:4 52:14 73:10 88:9 113:10 120:7	referring 49:12 61:14 71:21,22 82:18 83:1 84:16 87:15 88:23 97:9 104:17 108:23 110:6 refers 41:14 61:7 63:18 88:25 89:15 113:3 137:12 reflective 22:23 refocusing 20:9 refrain 10:22,24 reframe 124:20 refresh 91:4,25 113:6 refreshing 58:22 94:12 regional 130:16 regions 127:2 registration 112:7 regular 59:9 relate 102:3 related 5:21 31:24 38:24 47:15 85:4 102:5,7 128:18,22 149:17 relates 135:10 136:21 relating 63:7 relation 117:20 relationship 68:4 111:23 relationships 130:12 relative 43:5 138:3 relatively 131:3 146:6,8 release 4:11,23 23:15 44:3,11,19 44:21 45:10 46:6	46:22 47:15 57:1 113:18,24 114:20 123:13 137:3 144:3,17 released 45:7 113:19 releases 45:6 relevance 135:7 relevant 89:7 103:4,5 107:5 129:12,19 reliability 139:21 remaining 132:13 remember 10:13 14:17 15:14 18:12 33:9 35:1,7 36:1,2 46:11 48:10 65:24 72:16 75:7 85:18 108:16 113:14 122:8,25 remembered 34:6 remind 10:8 remotely 2:2 3:2 5:6,11 repeat 9:20 13:7 repeatability 139:20 repeated 23:21 132:10 repeating 142:7 rephrase 9:21 23:7 60:8 replaced 30:9,11 replay 50:4 report 62:23 reported 1:24 148:4 reporter 5:6,20 7:7 9:16,24 17:1 23:20 35:20 52:21 132:9 149:4,24,24	149:25 reporter's 149:1 represent 6:6,8 22:19,22 24:5 25:2 32:14 40:15 91:5 92:1 112:18 representation 38:1 60:20 85:13 87:7 represented 16:4 61:10 representing 6:12 6:14,25 34:20 51:15,17 60:2 represents 51:22 99:10 requested 17:1 23:20 35:20 132:9 149:16 require 78:16 87:24 required 19:5,8 146:11 requirement 90:12 requiring 138:2 research 20:1 49:7 62:7 109:25 reserve 32:5 132:13 reserved 147:12 resolved 117:9 resources 50:25 respond 9:14 81:14 respondent 9:24 respondents 8:24 9:22 response 8:23 responsibility 38:14 49:21
--	--	---	--

[rest - see]

Page 26

<p>rest 32:6 57:5 result 70:2 retain 59:10,14 retained 147:7 return 150:13,17 returned 116:15 reveal 10:1 33:17 review 11:23,25 13:3 16:22 17:4 20:18 33:4 34:3 38:19 45:5 149:16 150:7 reviewed 12:13 13:5 richard 48:20,23 49:9,20,25 50:5,9 133:25 134:9 135:15 136:14,20 richard's 51:4 right 6:21 10:15 11:15 12:23 13:1 13:22 16:19 27:13 32:20 34:8 36:20 36:23 37:5 39:20 41:7,12 45:13 46:23 50:20 59:17 59:25 60:19 73:16 78:3 80:6,7 81:19 82:24 85:25 86:3 86:14 89:9 92:3 92:20 94:12 99:14 99:16 104:23 106:11 112:9 115:5,24 116:12 117:15 121:17 122:20 125:2,16 126:3 131:21 134:17 147:3 ring 46:13 108:19 122:12</p>	<p>ringing 94:6 risk 89:5 risking 89:1 road 113:15,17 114:2,3,11,23 119:15 robert 134:4 robust 103:2 139:20 roche 4:22,23 39:8 79:8 106:9 118:12 119:21 120:9 121:16,20,23,25 122:5,19,22 123:13,14 124:2 124:11,20 126:7 role 37:22 38:7 59:2 room 8:4 roughly 100:16 142:12 round 108:20 rounds 46:7 rpr 1:24 148:4 149:3,23 rule 50:17 rules 9:10 run 87:3,5,23 88:8 99:18,23 100:13 100:19 101:3 running 8:10 ryan's 34:6</p>	<p>samples 62:4 87:22,25 88:3 san 1:18 2:12,16 5:1 7:25 8:2 savvy 135:17,20 saw 62:18 63:21 94:5 119:3 120:11 120:13,14 131:1 saying 24:11 49:1 60:4 73:18 says 14:3 15:22 16:17 21:6 27:18 41:6 45:13 57:9 61:4,13 68:23 69:2 70:8 78:7 82:10,14,21 84:2 84:14 85:11 89:11 93:14 95:16,22 97:22,24 98:17 99:16 101:12,19 104:7,14 105:8,13 115:17,22 116:14 116:22 120:18,24 121:7 124:1,6 sbb 42:19 43:1,8 43:12,15,17,20 56:23 57:22 58:3 58:7 68:24 69:11 69:19 72:5,18 73:1,24 101:12 sbs 42:20,24 43:13 43:16,19,21 57:22 58:4 69:5,6,11,15 69:19 70:9 71:23 scale 145:11 scenario 14:3,5,10 14:12 25:16,18 scenarios 22:16 sciences 65:23 screen 10:10 11:8 11:21 12:21 20:15</p>	<p>20:16 40:4 58:23 63:10 screening 65:13 66:3,7,11,14,18 screens 7:16,20 51:25 screenshot 4:10 40:8 screenshotted 40:17 scroll 16:24 59:20 63:14 scrolling 12:1 94:14 sec 112:7 second 18:14 46:12 54:12 56:18 57:8 61:4 69:1 70:8 89:14,14 137:4 sector 67:2 secured 147:7 see 7:17 11:8,9,16 11:20 12:21,25 13:22,23 14:3 15:7,22 16:12,20 20:15 21:5 24:2 25:8 27:15,17 31:17 35:11 40:20 41:6 43:24 44:3 44:23 45:2,17 46:23,25 51:19,25 57:4 59:3,5 62:14 64:13,19 67:6,9 70:1 77:11 80:3 84:12 88:5 91:4 91:25 93:5 94:24 94:24 95:7,19 99:1,2 100:21 103:11 104:6 109:12 112:13</p>
	<p>s</p>		
	<p>s 2:1 3:1 48:20 112:6,10 113:12 117:9 121:14 s.w. 2:21 s3 147:8 sales 91:21 92:20 sample 42:2 88:7 127:22,25</p>		

[see - slowly]

Page 27

113:3 115:11,20 120:2,23 121:2 126:10 seeing 11:13 16:15 54:22 62:15 94:1 131:4 seen 33:20,21,24 33:25 34:1 41:1 59:6,7 80:19 93:23 94:8 101:25 140:20 segment 61:19 84:8 sell 36:20 80:1,1 80:23 selling 81:6 sending 53:17 senior 20:5 sense 62:21 80:17 101:1 109:3 110:19 sensitive 85:2 sent 150:14 sentence 116:22 120:23 sentences 44:25 separate 37:16 separation 48:10 september 17:9 48:9 74:18 78:3 133:21 sequence 42:2 43:18 69:25 70:6 70:16 102:4 137:16 sequenced 42:11 sequencer 16:3,6 27:20 30:20 31:6 39:23 56:22 78:18 81:17 138:19 141:13	sequencers 31:2 56:13 67:1,21 83:2 85:24 100:12 sequencing 4:14 11:4 18:9 19:23 30:10,11,15,23 36:17,18,20 39:24 39:25 40:1 41:7 41:10,12,15,17,19 42:3,9,13,19,19 43:13 45:16 57:10 57:25 61:8,15 63:17 66:19 67:11 67:16 68:5,13,14 68:15,23 69:5,8 69:13,14,19,23 70:22,24 72:6,8 78:14 79:1,22 80:11 85:10 86:11 87:23 93:13 95:17 95:23 96:1 97:19 99:1,5 101:12,14 106:2 110:5 125:20 127:19,25 128:2 129:15,18 137:13,17,24 141:7 sequenom 115:23 116:12,15 128:21 129:2 130:10 sequenom's 129:14 series 46:11,14 108:20 109:17 serve 130:13 served 39:5 service 146:6 services 123:16 147:9 set 22:2 24:7 25:3 25:17 32:15 54:20	71:23 76:22 139:16 settle 4:22 120:9 settled 144:13 settlement 119:4 setup 72:23 seven 21:15 112:15 seventh 95:9 shake 9:15 share 10:4 shared 12:15 sheet 150:11 shen 48:20,23 49:9 49:18 133:25 134:9 shift 60:9 97:5 shifted 51:2 shifting 7:17 47:3 shipped 139:17 short 10:17 39:24 74:8 110:24 shorter 99:18 shortly 43:24 118:11 shotgun 129:15 show 11:7 12:19 16:10 20:13 40:4 84:7 86:17 107:7 113:15,17 114:2,3 114:11,23 119:15 showing 10:3 shown 12:14 75:9 si 25:11 sic 16:11 sign 55:17 150:12 signature 147:12 148:1 149:22 signed 148:21 150:20	significant 49:22 61:1 90:13 92:9 97:5 146:11 similar 145:13 simultaneous 35:19 71:3 single 58:1 singular 67:25 84:12 sir 116:7 123:10 sit 65:5 98:25 site 4:18 39:14 108:5,22 six 134:8,23 141:25 size 62:14 skills 49:2 50:9 skipped 21:16 slide 15:17,19,21 60:14 61:22 68:22 71:20 72:2 74:22 77:2,3,3,3,5,17 82:4 83:24 84:7 84:13,21 85:1,4 86:25 88:19 94:9 94:11,14 95:9 98:13 99:11 100:4 101:10 103:25 104:6,13,25 105:13,15 109:4 110:4,4,5 slides 59:20 64:12 77:6,8 89:13 95:11 110:3 slight 28:18 127:17 141:22 slightly 96:16 127:18 128:8 slow 11:16 slowly 16:18
---	--	--	--

<p>smaller 67:3 95:10 127:5 130:15</p> <p>smusser 2:23</p> <p>software 25:10,19 25:21,25 26:3,15 140:24</p> <p>sold 18:5 126:7</p> <p>solution 140:17</p> <p>solutions 67:12 150:23</p> <p>somewhat 105:6</p> <p>song 1:12 3:9 5:15 6:25 7:6,12,14 9:2 11:7,21 12:19,25 16:10,19 17:4,21 20:13 23:3 27:8 29:5,15 32:13 44:15 51:15 74:15 111:9 116:2 124:6 133:10 142:7 146:24 147:5 148:4,6,25 150:5</p> <p>sooner 50:1</p> <p>sophisticated 79:10,14,16</p> <p>sorry 13:7 14:15 15:3 27:13 58:21 63:15 66:24 71:4 83:9 102:14 104:3 105:12 111:2 115:13 145:17</p> <p>sort 12:1 21:15 26:22 30:9 34:19 35:11 41:8 46:20 48:3,9 50:14 61:16,18 63:22 73:8 84:11 85:7 86:18 91:13 95:21 96:7,17 99:7 109:25 110:1 116:19 122:17</p>	<p>129:3 131:2 133:19,20 134:2 135:5,9 136:4,10 138:7 139:20 141:25 143:2 144:13 145:1</p> <p>sound 86:14 91:8 92:3 112:9 128:14</p> <p>sounded 49:1</p> <p>sounds 46:16 91:10 119:16</p> <p>space 64:18,20 65:1,10,12 68:10 99:7 103:6 115:18 130:2,6 132:5,5 137:13</p> <p>speak 17:1 34:13 47:8 92:23 117:22</p> <p>speaker 52:21</p> <p>speaking 10:9 116:4</p> <p>specialist 3:16</p> <p>specific 10:5 26:4 72:17 73:5 116:20 139:25 144:10</p> <p>specifically 35:15 55:5 62:1,10,11 63:1 96:21 105:15 106:8</p> <p>specification 51:6</p> <p>specifications 18:11 26:24 138:24</p> <p>specifics 14:25 15:18 19:9 24:7 33:12 34:2 35:8 35:10</p> <p>specify 133:15</p> <p>specs 139:5</p> <p>speculating 115:5</p>	<p>speculation 50:11 51:11 54:9 80:25 81:11 84:14,24 144:19</p> <p>sped 127:11</p> <p>speed 57:11 97:24</p> <p>spend 91:20</p> <p>spending 15:12,15</p> <p>spent 19:24 90:19 91:3,7,12,17 92:15</p> <p>spin 68:3</p> <p>spoke 23:12</p> <p>spoken 36:6 55:1 55:25</p> <p>square 92:19</p> <p>stage 68:1 138:14 138:20 139:2,9,13 139:14,15 140:8</p> <p>stages 138:7 140:24</p> <p>stamell 2:5 6:11 6:11</p> <p>standard 82:11,12 135:6</p> <p>stands 21:14</p> <p>stanford 116:23 117:2,8,14</p> <p>start 9:9 26:23 78:6 102:9,13 114:3,23</p> <p>started 131:4 134:5</p> <p>starting 81:16 131:9</p> <p>starts 114:4 123:23</p> <p>startups 64:25</p> <p>state 5:24 7:12 93:11 103:2 127:3 127:10 149:24,25</p>	<p>stated 149:9,15</p> <p>statement 45:19 47:5,7 57:15 60:1 105:10 112:7 121:11</p> <p>statements 96:3 127:15</p> <p>states 1:1 5:16 9:24 149:5</p> <p>stay 39:7</p> <p>stayed 125:11</p> <p>ste 2:6,11 3:6</p> <p>stemming 121:1</p> <p>stenographically 149:11</p> <p>step 42:7 70:23 71:4,18 137:10</p> <p>steps 19:3 42:10 43:19 69:16</p> <p>stop 116:3</p> <p>stopped 118:25</p> <p>stored 147:8</p> <p>strand 42:5,11,15</p> <p>strategic 135:22</p> <p>strategies 38:17</p> <p>strategy 135:10,11 145:13</p> <p>street 2:11,21 3:6</p> <p>strike 15:4 23:16 29:25 134:24 145:18</p> <p>strong 49:7 88:14 99:8</p> <p>stronger 135:23</p> <p>study 58:7</p> <p>sub 69:1,3 95:25 97:24 139:4</p> <p>subject 111:20</p> <p>submitted 121:14</p> <p>subpoena 6:18</p>
---	--	---	--

<p>subpoenas 8:23 subscription 120:15 subsequently 121:7 subset 37:25 substance 35:10 substantially 122:5 143:16 subsystems 138:22 succeed 125:4 succeeding 125:8 success 57:12 successful 65:5,9 80:15 101:24 sued 115:23 116:12,15 117:2 suggest 44:8 84:20 suggesting 114:8 117:24 suing 34:14 113:10 116:20 suit 117:25 119:13 120:20 suited 29:22 30:1 68:20 summer 48:2 94:22,23 133:20 superior 43:4,5 82:15 86:11 100:11 supervision 149:12 supplied 105:25 106:1 suppliers 67:11 73:20 80:22 supply 128:18 support 90:13,15 104:20 107:1</p>	<p>supported 117:13 supports 104:15 supposed 50:14 113:15 sure 10:19 14:12 23:7,9 26:16 34:1 46:4 48:5 53:11 58:24 59:8,13 65:17 69:10 80:14 90:7 95:5 98:4 101:1 103:1 106:24 107:6 116:2 survey 63:19,20 63:22,23,24 85:5 85:14 87:10 susan 2:20 7:2 swaine 2:5 6:12 swear 6:3 switch 29:7 127:10 switched 31:1 124:25 125:15 128:12 switching 128:4 sworn 6:4 7:7 149:7,10 symptomatic 66:17 synthesis 41:17,19 42:3,13,20 synthesized 42:1 42:15 system 18:7,9 25:11 26:17 28:15 36:18 39:23 42:23 53:8 59:16 68:5 68:19 78:15,20 79:22 80:13 84:20 88:6 90:9 95:17 96:25 98:16</p>	<p>140:21 systems 19:24 26:6,18 36:20 67:16 88:2 142:1 t tab 43:23 58:10 63:14 93:3 103:9 107:25 112:15,15 120:1 123:7 table 32:6 105:15 tabs 119:22 tag 120:13 take 5:12 11:23 12:22 13:2 14:8 16:22 20:17 25:15 27:22 29:4,10 48:9 71:11 72:19 74:8 91:19 92:7 92:14,17 94:9 95:1 109:5 110:22 110:24 119:24 120:17 taken 5:4 6:16 29:12 34:7 54:1 55:20 62:23 69:16 74:12 111:6 132:23 148:4,6 takes 16:25 26:23 110:13 134:23 talbot 5:19 talbott 3:16 talk 9:11 35:1,4 67:14 90:8,19 137:8 146:25 talked 105:6 119:14 talking 35:19 71:3 90:8 talks 59:24 target 63:5 66:19 100:5 138:23</p>	<p>143:2 targeting 66:22 101:5 138:10 targets 138:8,9 tbd 56:17 86:5 88:16 145:9 team 54:19 77:19 80:18 94:16 110:1 team's 57:12 teams 139:4,4 technical 3:16 19:2,13 79:12 133:5,5 135:14 139:18 technologies 41:23 41:24 106:1 technology 5:10 31:16 39:24 43:1 50:22 56:10 57:10 57:19 58:2 59:23 60:5,11 67:18 68:23 72:8 75:8 75:14 104:15 125:16,25 128:13 128:22 131:4 tell 8:13 51:24 94:18 98:6 108:12 109:16 template 93:23 94:3,5 tend 59:3 tens 91:16 92:17 tenure 118:11 term 145:3 terminology 52:15 52:18 128:25 terms 20:6,9 22:25 25:9 35:14,14 38:25 43:12 52:19 53:25 60:10 66:1 67:8,11 76:13</p>
--	--	---	---

88:18 96:20 97:12 108:22 110:1,6 122:8 124:17 125:10 135:5 144:23 territories 126:25 127:1,6 test 29:17 31:1 64:13 66:4,18,19 123:15 124:12,17 124:22 125:2,9,14 125:19,25 126:6,7 126:19 130:14,21 131:9,10 tested 8:23 21:21 tester 54:4 testers 53:21,24 testified 7:8 29:16 55:8 91:18 141:1 testify 149:10 testifying 8:16 testimony 8:20 12:7,16 27:25 28:3,12 33:22 34:6,9,12,16,25 35:21,24 36:8 55:9 91:5,10,11 111:13,22 113:9 114:14 124:24 128:11 147:5 149:7,11,14 150:9 150:18 testing 11:5 54:8 54:11 64:1,5 68:20 123:16 124:3 130:10,23 132:2,5 tests 63:10 65:10 65:11,13,16 66:9 66:14 124:15 130:19	text 10:24 25:8,9 27:18 thank 7:21 9:2 12:18 15:20 16:9 20:12 23:13 27:7 29:2 32:8 42:16 52:1 55:19 61:21 68:21 74:6 132:21 146:17,23,24 147:1,3,10 thermo 79:7 84:12 106:1,8,25 thing 46:18 76:2,4 things 11:3 17:22 20:8 26:8,14 30:15,17 33:21 61:20 78:5 79:23 89:8 96:16 111:17 135:11,23 think 15:17 19:2 24:8 26:10,20 30:21 31:17,20 35:5,6,6 37:19 43:6 48:1,4,8,8 49:20 50:8,21,21 50:23 56:14,16 57:21 64:14,21 65:8,23 67:5,15 71:7 72:17,23 74:7 75:18 78:13 80:9,19 81:4,6 83:7,12 84:5,7 85:18 86:6,8,9,10 86:17 88:16,25 89:4,19,21 90:3 90:11 92:4,4 94:21 96:24 98:8 98:21 99:21,24 100:6,16,20 102:24,24 103:7 106:4 108:15	109:18 110:8,19 110:25 113:22 114:24 118:3,15 119:7 120:7 122:3 122:7,7,17 123:2 124:16 126:24,25 127:18,23,24 128:10 131:1,6,7 132:16,17 133:1,4 133:20 135:4,5,7 136:8 139:12 141:8,17 143:14 144:6,8,9,13,15 144:24 145:8,11 146:12,21 thinking 31:22 thinkpad 8:8 third 14:20 53:9 54:11 70:19 thought 43:9 49:2 49:19 91:19 92:2 100:2 120:11 three 61:1 75:3,5 75:16 105:16 106:16 134:23 thrilled 123:24 124:1 thrive 65:21,22 throughput 30:16 30:19,20 31:2,5,9 31:19 32:2 57:11 61:5,6,7,13,17,19 75:11,21,23,25 76:5,6,8,18,25 84:3,8,11 85:17 85:21 86:18,22,23 87:20 96:25 97:3 99:18,22 100:18 100:22 101:3 110:6,7,18 136:25 137:9,11,12,19,21	137:25 138:4,10 138:11 142:10,14 142:17,20 143:2,5 143:9 tier 57:14,20 time 5:9,24 9:22 9:22 10:5,7 14:7 14:17 16:1,25 18:10,17 19:12 22:7 24:9 25:13 26:23 28:6,7,20 29:14 30:9,25,25 31:4 32:1,4,6 34:13,14 38:9 39:7 41:1 42:7 45:20 47:5,11,19 57:15 59:4 60:11 70:22 71:17 74:14 75:18,19 78:2 84:17,21 86:12 87:5 89:13 90:16 93:23 94:1 96:11 99:24 100:13,19 100:25 104:9 109:25 110:10,13 111:8,10,12 112:12 114:2,20 117:9 124:17 125:9 128:6,9,12 132:13,17,25 133:20,23 134:1 144:9,25 146:25 149:9,15 150:19 timeframe 48:9 49:6 133:15 150:8 timeline 17:23 20:10 22:22 23:14 23:17 24:2,4,9,15 24:20 25:2,2,4 27:1 54:7 136:11 136:13,18 139:16
--	--	---	--

<p>144:2 timelines 15:11,13 22:20 24:13 51:2 136:22 times 10:3 38:3,10 38:11 75:3,5,16 82:22,22 99:18,23 101:3 127:11 timing 21:24 22:17 29:1 117:17 119:14 title 39:13 45:13 74:25 99:15 110:4 titled 93:5 tm 101:19,25 today 8:7,16,20,22 9:5 36:11 60:1 63:3 65:5,10,12 66:4 72:11 78:11 78:12 83:11 85:16 97:15,19 98:25 126:7 127:4 132:5 138:9 146:25 today's 147:4 tongue 42:17 top 17:23 40:9 57:14,20 61:5 65:25 78:6 105:3 109:10 topic 98:12 111:14 topics 110:24 111:10 torrent 105:24 106:2,2 total 61:9 90:20,24 123:4 147:5 touch 109:21 track 83:22 126:16 137:21 trade 1:1 2:19,21 5:17 6:6 7:2 8:24</p>	<p>9:4,8 113:1 148:3 150:4 trademark 101:17 trademarked 101:15,16,23 trading 114:6 traditionally 29:23 trajectory 99:6 tranches 46:20 transcribed 149:12 transcript 12:7 27:9 148:6 149:8 149:13,16 150:6 150:20 transition 48:2 96:9 133:21 transitioned 37:16 48:6 125:22,24 134:6 transitioning 133:24 transpires 114:2 tremaine 3:5 6:14 trial 6:22 tricks 70:14 tried 126:15 tries 8:13 11:1 trigger 81:1 tripping 42:17 true 45:10 72:11 85:16 92:10 148:6 149:14 truth 149:10 truthful 10:10 try 9:11 10:7 83:20 85:7 91:14 109:19 131:18 146:9</p>	<p>trying 32:19 33:8 33:8,10,24 42:1 55:20 58:22 72:16 72:16 75:7 76:11 83:5 94:24 109:23 110:14 127:21 turn 13:21 14:14 14:15 17:17 21:3 22:12 27:8,11 56:25 61:22 74:16 74:22 77:2 86:25 95:9 99:11 101:9 109:4 115:9 133:2 turnaround 127:11 128:5,6,9 turquoise 62:13 two 24:12 41:22 43:6 51:15 83:1 100:11 110:3 114:7,23 type 23:2 41:11,16 59:11 64:4 73:5 86:22 88:10 119:4 134:17 135:13 136:5 145:13 types 29:21 56:2 65:15 73:8 98:9 typically 145:16 145:19</p>	<p>ultra 61:17,17 um 22:1 35:5 43:5 48:1,1 79:4 84:15 99:19 105:18 125:7 uncertain 92:8 uncertainty 96:12 unclear 70:25 71:6,8 underlined 87:6 underlying 71:18 undersigned 149:3 understand 8:16 8:22 9:12,17,20 25:20 32:18 35:13 75:16 102:16 130:5 135:18 understanding 28:4,7,14,20 32:22,24 37:8 45:20 50:25 54:18 56:5 57:18 58:2 61:16 63:25 64:10 71:15 85:20 103:21 116:11,17 126:10 130:4 understood 7:21 19:3 42:22 48:5 48:25 60:16 63:24 87:7 107:6 126:19 unit 5:14 100:25 110:9,14 137:15 united 1:1 5:16 units 53:6 54:8 147:6 universal 105:3,14 university 116:23 unnecessarily 114:14</p>
		u	
		<p>u.s. 121:8 130:3,6 130:8 ultimate 101:6 146:4 ultimately 19:16 25:11 42:11 54:21 76:12 102:24 118:6 119:4,18 121:13,16 125:14 137:16</p>	

<p>update 4:12 20:23 58:14 59:1 updates 59:3 upfront 122:24 123:1 upgrade 84:18 146:15 upload 16:25 use 6:16,22 43:15 52:15,17,18 58:3 60:11 63:18 64:2 66:9 69:17 71:23 72:13 73:1,4 78:21 97:12 104:20 107:13 125:20 useful 70:14 user 71:10 110:12 140:25,25 users 53:6,17 54:8 72:1 129:8 137:24 uses 39:25 42:3 43:16 58:4 69:6 98:11 usually 61:10 utilizes 137:6</p>	<p>variant 69:25 various 73:20 101:25 102:3 vast 73:22 vendor 106:9 vendors 105:16 verdict 119:9 verified 26:22 verify 150:9 verinata 116:23 117:2,6,8,14,25 120:19 128:18 129:2 130:2,7,10 130:24 131:6,25 verinata's 129:13 veritext 5:19,21 147:7,8 150:14,23 veritext.com 150:15 version 18:4,15,16 28:20 54:12 89:15 90:12 100:11 141:23 144:23 145:6 versus 14:20 71:18 viable 80:22 81:24 106:22 107:9 vicky 1:24 5:20 6:2 148:4 149:3 149:23 video 1:10 3:16 5:12,14 6:16,17 6:20,22 videoconference 5:5 videographer 5:5 5:8,20 29:9,13 74:10,13 111:4,7 132:21,24 147:3</p>	<p>view 68:19 117:13 118:16,16 viewed 137:20 views 136:7 virtual 5:10 visibility 19:18 28:25 50:24 51:8 90:14 96:11,18,22 130:4 136:17 vision 57:9 visit 4:18 108:5,15 108:22,24 visited 40:21 vitro 11:6 voice 85:8 volley 116:15 volume 76:13 volumes 140:17 vs 148:3</p>	<p>128:11,13 146:24 washington 1:24 2:22 3:6 5:1 148:21 149:6,24 watkins 2:11,15 6:8,10 way 22:2 41:24 43:19 53:2 60:16 65:18 72:17 73:19 75:22 77:8 80:16 91:14 110:16,16 110:18 129:12 130:8 136:14 140:6 149:17 ways 105:9 we've 29:3 58:6 70:22 71:7 74:7 77:22 80:2,10,14 80:14 81:20,20 83:4 86:11 88:16 90:25 91:12 107:10 110:22 118:15 132:16 133:18 139:2 143:21,22,23 web 4:10 40:7 63:19 115:8 123:13 website 40:10,19 40:22,25 41:4 44:12 101:15 112:20 weeks 48:10 114:7 114:24 119:7 134:8 went 40:4 whatnot 119:2 wicke 134:4 wicke's 134:11 william 63:18</p>
v		w	
<p>v 150:4 v1 17:24 18:3,4,9 18:11,15,18,21 21:17 23:3,10,11 82:7 89:10,19,23 v2 18:14 89:11,12 89:15,18 vague 144:5 value 85:2 88:22 88:24 89:3,6 122:4,10 valued 122:9 variable 38:9</p>	<p>walk 133:15 want 7:15 10:8 35:2 38:24 39:17 44:6 53:11 59:19 67:1 69:10 70:15 79:11,25 80:1,23 81:7 89:23 90:7 96:20 105:7 111:16 123:20 134:17 136:6,23 140:17,19,20 141:6 146:13 wanted 40:3 47:14 74:15 75:21 90:18 102:23 103:1 107:6 110:1 111:9</p>		

<p>willing 78:19 witness 1:18 5:5 6:3,4,14 7:7,15 11:17 12:5 17:1 29:6 33:17 50:12 50:20 51:22,23 58:11 74:9 111:2 132:11 149:9,20 150:8,10,12,19 word 66:7 words 115:14 123:23 work 18:25 19:6,8 29:8 37:5 39:18 54:15 73:6,7,20 74:3,4 78:5,17 79:1,24 80:13 85:20 134:5 138:22 worked 130:8 workflow 72:21 working 14:20 26:14,23 77:20,22 works 73:2,3,24 74:5 110:25 111:1 world 124:5 126:21 world's 4:14 93:12 worries 104:10 111:3 worse 43:9 would've 114:21 wright 3:5 6:14 written 25:21,25 26:3 116:1 wrong 87:4</p>	<p style="text-align: center;">y</p> <p>yawning 110:25 yeah 9:1 11:12 12:1,5 16:15 23:7 30:7 35:3 40:2 44:8,20 45:1 46:18 50:16 52:5 59:12,18 60:7,12 66:24 69:12 73:6 74:4,5,5 77:11,11 77:11,11 78:4,13 78:18,21,23,25 79:4,4 80:2,7,17 81:1,4,9,23 82:2 83:11 84:7,14 85:17 86:5,20 87:2 90:7,11 91:9 92:23 94:12,17,21 95:7,12 96:24 97:15 99:21 101:11 102:12,17 103:20 104:3,10 106:18 107:5 109:8 112:11 117:17 118:3,3 120:13 125:7,18 126:23 127:23 131:17,22 132:2 133:4 140:3 141:5 144:8 year 39:11 54:13 56:19 90:22 94:22 96:8 116:23 133:17,18 134:7 135:25 137:5 143:1 144:11</p>	<p>yellows 78:4 yep 16:21 29:6 104:12 york 2:6</p> <p style="text-align: center;">z</p> <p>zoom 1:10 10:23 44:7 123:20 zooming 44:8</p>
<p style="text-align: center;">x</p>	<p>years 36:14 37:2,3 61:2</p>	
<p>x 4:1 xpress 105:24</p>	<p>yellow 77:23 78:7 78:9</p>	

Federal Rules of Civil Procedure

Rule 30

(e) Review By the Witness; Changes.

(1) Review; Statement of Changes. On request by the deponent or a party before the deposition is completed, the deponent must be allowed 30 days after being notified by the officer that the transcript or recording is available in which:

(A) to review the transcript or recording; and

(B) if there are changes in form or substance, to sign a statement listing the changes and the reasons for making them.

(2) Changes Indicated in the Officer's Certificate. The officer must note in the certificate prescribed by Rule 30(f)(1) whether a review was requested and, if so, must attach any changes the deponent makes during the 30-day period.

DISCLAIMER: THE FOREGOING FEDERAL PROCEDURE RULES ARE PROVIDED FOR INFORMATIONAL PURPOSES ONLY. THE ABOVE RULES ARE CURRENT AS OF APRIL 1, 2019. PLEASE REFER TO THE APPLICABLE FEDERAL RULES OF CIVIL PROCEDURE FOR UP-TO-DATE INFORMATION.

VERITEXT LEGAL SOLUTIONS
COMPANY CERTIFICATE AND DISCLOSURE STATEMENT

Veritext Legal Solutions represents that the foregoing transcript is a true, correct and complete transcript of the colloquies, questions and answers as submitted by the court reporter. Veritext Legal Solutions further represents that the attached exhibits, if any, are true, correct and complete documents as submitted by the court reporter and/or attorneys in relation to this deposition and that the documents were processed in accordance with our litigation support and production standards.

Veritext Legal Solutions is committed to maintaining the confidentiality of client and witness information, in accordance with the regulations promulgated under the Health Insurance Portability and Accountability Act (HIPAA), as amended with respect to protected health information and the Gramm-Leach-Bliley Act, as amended, with respect to Personally Identifiable Information (PII). Physical transcripts and exhibits are managed under strict facility and personnel access controls. Electronic files of documents are stored in encrypted form and are transmitted in an encrypted fashion to authenticated parties who are permitted to access the material. Our data is hosted in a Tier 4 SSAE 16 certified facility.

Veritext Legal Solutions complies with all federal and State regulations with respect to the provision of court reporting services, and maintains its neutrality and independence regardless of relationship or the financial outcome of any litigation. Veritext requires adherence to the foregoing professional and ethical standards from all of its subcontractors in their independent contractor agreements.

Inquiries about Veritext Legal Solutions' confidentiality and security policies and practices should be directed to Veritext's Client Services Associates indicated on the cover of this document or at www.veritext.com.

In the Matter of:

Illumina, Inc. and Grail, Inc.

March 24, 2021

Ken Song

Condensed Transcript with Word Index



For The Record, Inc.

(301) 870-8025 - www.ftrinc.net - (800) 921-5555

Song

Illumina, Inc. and Grail, Inc.

3/24/2021

1	<p>FEDERAL TRADE COMMISSION</p> <p>ILLUMINA,) a corporation,) and) Matter No. 2010144 GRAIL,) a corporation) -----)</p> <p>Wednesday, March 24, 2021</p> <p>Remote Investigational Hearing Federal Trade Commission</p> <p>The above-entitled matter came on for remote investigational hearing, pursuant to notice, at 11:00 a.m., Eastern Time.</p>	3
2	<p>APPEARANCES:</p> <p>ON BEHALF OF THE FEDERAL TRADE COMMISSION:</p> <p>DYLAN NAEGELE, ESQ. NICK STEBINGER, ESQ. Federal Trade Commission 400-7th Street, S.W. Washington, D.C. 20024 (202) 326-2433 dnaegele@ftc.gov</p> <p>ON BEHALF OF OMNIOME AND THE WITNESS:</p> <p>DOUG LITVAK, ESQ. Davis Wright Tremain 1301 K Street, Suite 500 East Washington, D.C. 20005 (202) 973-4200 douglitvak@dwt.com and GARRETT ANDERSON, ESQ. Omniome 6965 Lusk Boulevard San Diego, California 92121 (858) 832-2300</p>	4
1	<p>I N D E X</p> <p>WITNESS: EXAMINATION: KEN SONG BY MR. NAEGELE 3</p> <p>EXHIBITS DESCRIPTION FOR ID NONE</p> <p>OTHER EXHIBITS REFERENCED PAGE NONE</p>	3
1	<p>P R O C E E D I N G S</p> <p>- - - - -</p> <p>STIPULATION: ALL COUNSEL PRESENT STIPULATE THAT THE WITNESS SHALL BE SWORN REMOTELY BY THE COURT REPORTER * * *</p> <p>Whereupon--</p> <p>KEN SONG a witness, called for examination, having been first duly sworn, was examined and testified as follows: EXAMINATION BY MR. NAEGELE: Q. Good morning, Mr. Song. Thank you for appearing here today. My name is Dylan Naegele, and I am an attorney with the Federal Trade Commission. Before we begin, I would like to ask the other persons with us today to introduce themselves for the record.</p> <p>MR. LITVAK: Doug Litvak from Davis Wright Tremain on behalf of the witness and Omniome. MR. ANDERSON: Garrett Anderson, solo practitioner, on behalf of the witness and Omniome. MR. STEBINGER: Nick Stebinger from the Federal Trade Commission.</p>	4

Song

Illumina, Inc. and Grail, Inc.

3/24/2021

5	<p>1 MR. NAEGELE: Thank you.</p> <p>2 BY MR. NAEGELE:</p> <p>3 Q. Dr. Song, can you please state your name for</p> <p>4 the record?</p> <p>5 A. Ken Song.</p> <p>6 Q. Who is your current employer?</p> <p>7 A. Well, my full-time employer is a company called</p> <p>8 RayzeBio, R-A-Y-Z-E-B-I-O, but I also serve as the</p> <p>9 chairman of the board at Omniome.</p> <p>10 Q. Are you currently employed by anyone else?</p> <p>11 A. No.</p> <p>12 Q. Do you understand that you are appearing here</p> <p>13 today pursuant to a subpoena?</p> <p>14 A. Yes.</p> <p>15 Q. Do you understand that you will be testifying</p> <p>16 under oath today?</p> <p>17 A. Yes.</p> <p>18 Q. How did you prepare for today's hearing?</p> <p>19 A. So I reviewed the subpoena for different areas</p> <p>20 that required some looking into -- you know, I went</p> <p>21 back and looked at some company documents just so that</p> <p>22 I was prepared for myself in case any specifics or</p> <p>23 details were going to be required. And then, you know,</p> <p>24 I also discussed things with counsel.</p> <p>25 Q. Is there any reason why you would not be able</p>	7	<p>1 IP-related, and so competition, yes, because we both</p> <p>2 had similar products in the marketplace.</p> <p>3 Q. Thank you.</p> <p>4 Before we get into substantive questions, I'd</p> <p>5 like to explain a little bit about how today's</p> <p>6 investigational hearing will be conducted. All of my</p> <p>7 questions and your answers will be recorded by the</p> <p>8 court reporter. Please understand that you need to</p> <p>9 speak up and answer my questions orally so that the</p> <p>10 court reporter can record your answers. She will not</p> <p>11 be able to record a nod or a shake of your head.</p> <p>12 To make the questions and answers easier to</p> <p>13 record, we should both do our best not to speak at the</p> <p>14 same time. If you do not understand one of my</p> <p>15 questions or if you cannot hear a question, I'd be</p> <p>16 happy to clarify it, rephrase it, or do whatever is</p> <p>17 necessary so that you and I understand each other.</p> <p>18 This is particularly important because we're conducting</p> <p>19 this hearing over Zoom.</p> <p>20 I do want to remind you that you are under</p> <p>21 oath, so if at any point you realize that you've</p> <p>22 answered a question incorrectly or if you remember</p> <p>23 something else that would make your answer more</p> <p>24 complete, please just let me know, and we can add to</p> <p>25 your earlier answer right then while it's still on your</p>
6	<p>1 to fully and accurately testify today?</p> <p>2 A. No.</p> <p>3 Q. I am going to ask you some questions today</p> <p>4 about Illumina, Inc. and Illumina, Inc.'s proposed</p> <p>5 acquisition of Grail, Inc. I will refer to them as</p> <p>6 "Illumina" and "Grail." If for any reason that's</p> <p>7 confusing, please let me know, and I can answer or sort</p> <p>8 of modify the term that I'm using appropriately.</p> <p>9 So let me start off by asking, have you ever</p> <p>10 given testimony by deposition before?</p> <p>11 A. Yes.</p> <p>12 Q. How many times?</p> <p>13 A. Deposition, once.</p> <p>14 Q. And what was that matter?</p> <p>15 A. That was with -- in regards to a company that I</p> <p>16 was priorly -- prior involved in, and that was in a</p> <p>17 litigation case that involved my prior company as well</p> <p>18 as with Illumina.</p> <p>19 Q. What was that prior company?</p> <p>20 A. That prior company was Ariosa, but at the time</p> <p>21 that the deposition was done, I was doing it on behalf</p> <p>22 of Roche, because Roche had acquired Ariosa, and so the</p> <p>23 suit was still ongoing at that time.</p> <p>24 Q. Did the suit involve competition matters?</p> <p>25 A. Well, it was -- it was patent-related, it was</p>	8	<p>1 mind.</p> <p>2 If you need a break at any point, just let me</p> <p>3 know and we can take one. I only ask that you not</p> <p>4 request a break while we have a question pending.</p> <p>5 Do you understand everything that I've told</p> <p>6 you?</p> <p>7 A. Yes.</p> <p>8 Q. Excellent.</p> <p>9 You are currently accessing Zoom, correct?</p> <p>10 A. Yes.</p> <p>11 Q. Is Zoom working for you as far as you can tell</p> <p>12 at this time?</p> <p>13 A. Yes.</p> <p>14 Q. What is the full address of your current</p> <p>15 location?</p> <p>16 A. 9880 Campus Point Drive, Suite 360, San Diego,</p> <p>17 California, 92121.</p> <p>18 Q. Is there anyone else in the room with you?</p> <p>19 A. No.</p> <p>20 Q. What device are you using for this hearing?</p> <p>21 A. I'm using a Thinkpad laptop.</p> <p>22 Q. Do you have any form of communication with your</p> <p>23 attorney at your disposal?</p> <p>24 A. I don't know what you mean by that. What does</p> <p>25 that mean? I mean, they're on the Zoom with us here,</p>

Song

llumina, Inc. and Grail, Inc.

3/24/2021

<p style="text-align: right;">9</p> <p>1 obviously, so that's a form of communication, and there</p> <p>2 is -- you know, if needed, we have the option to -- you</p> <p>3 know, during a break or whatever, we do have a separate</p> <p>4 breakout room virtually to be able to discuss things.</p> <p>5 Q. Do you anticipate anyone trying to contact you</p> <p>6 while I'm asking you questions during the hearing?</p> <p>7 A. No. I mean, my cell phone might ring, but that</p> <p>8 is not related to this. I can't help that from</p> <p>9 happening, so I won't pick it up.</p> <p>10 Q. Thank you.</p> <p>11 Will you tell me if anyone tries to communicate</p> <p>12 with you while I'm asking questions?</p> <p>13 A. I will.</p> <p>14 Q. To the extent that you do get some kind of</p> <p>15 contact, if you could let me know, I would be happy to</p> <p>16 take a break if you need to respond to something, but</p> <p>17 it is important that, you know, you not be involved in</p> <p>18 reading or responding to either text messages or emails</p> <p>19 while we're conducting the IH.</p> <p>20 A. Okay, understood.</p> <p>21 Q. If at any point our line of communication</p> <p>22 breaks down, I take it you do have a way to contact</p> <p>23 your attorneys?</p> <p>24 A. Yes.</p> <p>25 Q. Excellent.</p>	<p style="text-align: right;">11</p> <p>1 California, the San Francisco Bay area, and I was vice</p> <p>2 president of Venrock, which invested in early-stage</p> <p>3 healthcare companies, from 2007 to 2010.</p> <p>4 In 2010, I took up the position of chief</p> <p>5 executive officer at a company called Ariosa</p> <p>6 Diagnostics -- actually, at the time of founding, it</p> <p>7 was called Tandem Technologies, but we renamed the</p> <p>8 company eventually. There I served as CEO for</p> <p>9 approximately five years. This was a molecular</p> <p>10 diagnostics company where we ultimately developed a</p> <p>11 sequence of -- where we ultimately developed a</p> <p>12 diagnostic product for maternal-fetal health.</p> <p>13 That company was then acquired by Roche in</p> <p>14 2015, at which point I then joined Roche as part of --</p> <p>15 where I became part of Roche and stayed there for a</p> <p>16 little bit over a year. In 2016 I left Roche and then</p> <p>17 took about six or so months off and moved down to San</p> <p>18 Diego, and in the fall of 2016, I joined Metacrine,</p> <p>19 which is a biotechnology company working on drug</p> <p>20 discovery, as their president and chief executive</p> <p>21 officer. So that was in -- I think in the fall of</p> <p>22 2016.</p> <p>23 And then in the spring of 2017, I took on the</p> <p>24 executive chairman role at Omniome. Then in June of</p> <p>25 2020, I left Metacrine as president and CEO, and then</p>
<p style="text-align: right;">10</p> <p>1 Dr. Song, would you please briefly describe</p> <p>2 your educational background, starting with college.</p> <p>3 A. I was an undergraduate at the Massachusetts</p> <p>4 Institute of Technology, where I studied biology and</p> <p>5 was a premed major. I graduated in 1996. Then I went</p> <p>6 to medical school, the University of California, San</p> <p>7 Francisco, where I received my medical degree, M.D., in</p> <p>8 the year 2000.</p> <p>9 Q. Thank you.</p> <p>10 Would you now please give a brief overview of</p> <p>11 your career after receiving your M.D.?</p> <p>12 A. After receiving my M.D., I went to work for</p> <p>13 McKinsey & Company, a management consulting firm in San</p> <p>14 Francisco, where I was an associate for two years.</p> <p>15 Then I left McKinsey and actually returned back to</p> <p>16 medicine, where I completed a residency in internal</p> <p>17 medicine at the University of California, San</p> <p>18 Francisco.</p> <p>19 And then I completed a fellowship in</p> <p>20 gastroenterology, as well as a research fellowship, up</p> <p>21 at the University of Washington Medical School and also</p> <p>22 was doing my research at the Fred Hutchinson Cancer</p> <p>23 Research Center.</p> <p>24 In 2007, I left medicine again and joined</p> <p>25 Venrock, a venture capital firm, and moved back down to</p>	<p style="text-align: right;">12</p> <p>1 later that month, in June of 2020, I joined RayzeBio as</p> <p>2 president and CEO, where I continue to serve in that</p> <p>3 function, and I also have continued service as the</p> <p>4 chairman of the board at Omniome since 2017.</p> <p>5 Q. Other than your work at Omniome, did any of the</p> <p>6 work that you mentioned involve DNA sequencing?</p> <p>7 A. At Omniome, yes.</p> <p>8 Q. Sorry.</p> <p>9 Would you please read that question back.</p> <p>10 (The record was read as follows:)</p> <p>11 "QUESTION: Other than your work at Omniome,</p> <p>12 did any of the work that you mentioned involve DNA</p> <p>13 sequencing?"</p> <p>14 THE WITNESS: Oh, other than.</p> <p>15 Yes. So at Ariosa we were pretty significant</p> <p>16 users of DNA sequencing technology for our diagnostic</p> <p>17 tests, including the use of the Illumina sequencing</p> <p>18 platforms.</p> <p>19 BY MR. NAEGELE:</p> <p>20 Q. Thank you.</p> <p>21 Would you please describe Omniome at a high</p> <p>22 level?</p> <p>23 A. So Omniome is a life science tools company. So</p> <p>24 that means that the company was founded with a goal of</p> <p>25 making life science instruments that would be useful</p>

Song

Illumina, Inc. and Grail, Inc.

3/24/2021

13

15

1 for research as well as, you know, potentially clinical
2 and other uses.
3 The focus for Omniome has been on developing --
4 and ultimately what it hopes to commercialize -- a DNA
5 sequencing system. So that would include a sequencing
6 box or piece of hardware that would physically be sold,
7 and then there would be, you know, reagents and other
8 things like that that would also be sold to a company
9 so that that sequencing box or -- you know, could be
10 used.

11 **Q. When was Omniome founded?**
12 A. So Omniome was founded actually back in 2013.
13 **Q. And where is Omniome located?**
14 A. In San Diego, California.
15 **Q. How many employees does Omniome have?**
16 A. It has about 160 or so. I don't know the -- I
17 don't recollect the exact number, but in that range.

[REDACTED]

1 reaction. That's probably conventionally the way
2 people look at it. So they talk about -- you know,
3 there's a term called gigabases, and sometimes people
4 will just say Gs, so how many gigabases of output can
5 you generate in a sequencing run, which would consume,
6 like, one kit, right, and one sort of finite period

[REDACTED]

14

16

[REDACTED]

7 **Q. And what products is Omniome developing?**
8 A. So we're developing a DNA sequencer. So this
9 is a system that would be able to sequence -- you know,
10 not just DNA, but also any type of genetic material,
11 like RNA, et cetera.

12 The focus of our technology is to provide, in
13 industry terms, a reasonably mid to high throughput
14 system, meaning that we would be able to generate a
15 fair amount of data each time a customer would want to
16 actually perform a sequencing run. And by "sequencing
17 run," that means, you know, start to stop to doing an
18 analysis, right, or a running of samples.

19 THE REPORTER: I'm sorry, a running of?
20 THE WITNESS: Samples.
21 THE REPORTER: Thank you.
22 BY MR. NAEGELE:

23 **Q. How is throughput measured?**
24 A. Throughput is usually measured by number of
25 bases that you can provide through your sequencing

[REDACTED]

18 **Q. So in your position as chairman of Omniome's**
19 **board, what are your responsibilities?**

20 A. So there's, you know, standard board member
21 responsibilities in terms of just governance, making
22 sure that the -- that we're having appropriate board
23 meetings, that there's appropriate disclosures and
24 information that's being shared, you know, voting
25 matters as they relate to shareholders and board

Song

llumina, Inc. and Grail, Inc.

3/24/2021

17

19

1 consents, et cetera.
 2 I'd say one of the big things is obviously
 3 ensuring that we have the proper management in place,
 4 but, you know, for me, I also -- you know, I'm in close
 5 contact with the CEO as well as some other senior
 6 executives just to sort of get updates in between board
 7 meetings and when they happen. I would say, you know,
 8 I'm in touch pretty much on a weekly basis, you know,
 9 with members inside the company to understand what's
 10 going on and will provide, you know, strategic input.
 11 I don't want to use the word "direction,"
 12 because my job is not to run the company, but I do try
 13 to provide input based upon information that's shared
 14 with me.
 15 **Q. How often does Omniome's board meet?**
 16 A. Quarterly.
 17 **Q. Do you have any other interactions with the**
 18 **board besides the quarterly meeting?**
 19 A. Yeah, there will be communication or contact if
 20 there's something going on, such as, you know, if
 21 there's a material development, like if there's a
 22 financing or if there's perhaps a senior executive
 23 employee issue, which can be good and -- or not, I mean
 24 like promotions and things like that, or if there's
 25 concerns around senior management, then that will also

[REDACTED]

18

20

1 be discussed amongst the board members.
 2 There are committees, compensation committees
 3 and audit committees that will also meet at separate
 4 times outside of the formal quarterly board meetings.
 5 **Q. In your role as chairman, do you have any**
 6 **interaction with Omniome's investors?**
 7 A. Yes. Some of those investors sit on the board
 8 as well.
 9 **Q. How often do you interact with Omniome's**
 10 **investors?**
 11 A. It varies by the investor. Obviously, if an
 12 investor is on the board and also serves on one of the
 13 committees that I'm involved with, that -- I'll have
 14 more frequent involvement. There are some investors
 15 where I have, you know, almost no contact at all.
 16 **Q. Shifting gears, I have some questions now about**
 17 **the sequencer that Omniome's developing. What**
 18 **chemistry is Omniome considering using for its**
 19 **sequencer?**
 20 A. We're using a chemistry that -- we are using
 21 sequencing by binding chemistry, and maybe the simplest
 22 way to think about this is it is a base-by-base
 23 interrogation and extension that occurs that relies
 24 upon imaging to be able to detect the sequences.

[REDACTED]

4 **Q. Is the size of the instrument important?**
 5 A. To whom?
 6 **Q. Sorry. To your knowledge, is the size of a**
 7 **sequencer important to the customer?**
 8 A. I think it matters for some. You know, some
 9 customers have a limited amount of space in their
 10 laboratory, so they don't want a very large footprint,
 11 you know, thing that's taking up a ton of space.
 12 In general, you know, my understanding is
 13 customers do like to have things that could fit on a
 14 standard laboratory bench so that you don't need
 15 specialized, you know, equipment or seismic fitting to
 16 a room to accommodate it.

Song

Illumina, Inc. and Grail, Inc.

3/24/2021

21

[REDACTED]

23

[REDACTED]

22

[REDACTED]

24

[REDACTED]

6 (Pages 21 to 24)

For The Record, Inc.
(301) 870-8025 - www.ftrinc.net - (800) 921-5555

PX7071-007

Song

Illumina, Inc. and Grail, Inc.

3/24/2021

25

[REDACTED]

27

[REDACTED]

26

[REDACTED]

28

[REDACTED]

7 (Pages 25 to 28)

For The Record, Inc.
(301) 870-8025 - www.ftrinc.net - (800) 921-5555

PX7071-008

Song

Illumina, Inc. and Grail, Inc.

3/24/2021

29

[Redacted text block]

31

[Redacted text block]

2
3 **Q. When Omniome commercially releases its**
4 **sequencer, who does it anticipate being its target**
5 **audience -- target customer?**
6 A. I think our target customer is anyone that's
7 interested in currently doing next-generation
sequencing.

30

[Redacted text block]

32

[Redacted text block]

8 (Pages 29 to 32)

33

1 [REDACTED]
 2 **Q. Would there be a benefit to Omniome in having**
 3 **FDA approval?**
 4 A. There's definitely a -- if you have an
 5 FDA-approved instrument and FDA-approved reagents and
 6 kits, then it makes it easier for diagnostic labs to
 7 use that, especially those that, you know, aren't CLIA
 8 labs, right, because then they can just use an
 9 FDA-approved product. And even for CLIA labs, they
 10 would not need to go through their own separate process
 11 and internal validation. They could just use an
 12 FDA-approved product and start offering the testing.

[REDACTED]

34

[REDACTED]

12 **Q. If customers are using non-RUO instruments,**
 13 **would that process be the same?**
 14 A. Well, by "non-RUO," you mean an FDA-approved
 15 instrument?
 16 **Q. Yes.**
 17 A. So the process could be different. So if
 18 there's an FDA-approved instrument and there's already
 19 an FDA-approved test kit for that instrument, it should
 20 be relatively straightforward for a customer just to
 21 use that instrument and buy that test kit.
 22 If there is an FDA-approved instrument but the
 23 test itself is not approved, then there could be, you
 24 know, cooperation that's needed between a laboratory
 25 testing provider and the instrument manufacturer to try

35

1 and see whether or not they can get a test kit or a
 2 test approved on an FDA instrument.

3 **Q. What kind of cooperation would that be?**
 4 A. I think that can run a pretty broad spectrum of
 5 different types of cooperation. It's a question of, I
 6 think -- you know, again, I'm not the expert here on
 7 this, having -- not having done it, but I think I could
 8 contemplate that, you know, a laboratory testing
 9 provider might say, I just want to get my test approved
 10 just for me, right, or they could say I want to get my
 11 test approved as a kit on your instrument that can then
 12 become widely available to everyone else.

13 In that latter situation, I would assume that a
 14 laboratory testing provider there might want to, you
 15 know, reap some of the economics from the testing kit
 16 if they work with a testing kit man -- if they work
 17 with an instrument manufacturer to work with them to
 18 get that test kit approved. So, again, I think there's
 19 different ways of how people want to get FDA approval,
 20 if they wanted to get it. So there's not a single way
 21 to do it.

22 **Q. You mentioned a kit approach. What would**
 23 **Omniome's involvement be if one of its customers wanted**
 24 **to get an FDA-approved kit?**

25 A. It would -- it would depend, again, on how the

36

1 different components of that testing process wanted to
 2 be approved and who wanted to control that approval.
 3 So, I mean, Omniome could be involved or any instrument
 4 manufacturer could be involved in production of perhaps
 5 primers that are specific to the test content, and I
 6 guess the question is, who would that manufacturing and
 7 production lie upon? Would that be upon the
 8 manufacturer, like Omniome or Illumina, or would that
 9 rely upon the customer or some other third party
 10 through which it could be compatible with your
 11 sequencing system?

12 **Q. Would Omniome be involved with any of the**
 13 **interactions with the FDA?**

14 A. If it's off of our instrument and we were
 15 involved in the manufacturing of some of the reagents,
 16 then I would assume yes.

17 **Q. What would -- what kind of involvement would**
 18 **that be?**

19 A. In what -- I guess I'm just trying to -- like,
 20 it depends. In what scenario are we -- I just want to
 21 make sure we're talking about the right scenario.

22 **Q. Certainly.**
 23 **In the event that an Omniome customer wanted to**
 24 **get FDA approval for a kit or a test that ran on an**
 25 **Omniome sequencer, would Omniome have to be involved in**

37

39

1 **any interactions with the FDA?**
 2 A. I would say if Omniome's manufacturing some of
 3 the components of that kit, then most likely yes.
 4 **Q. By "components in the kit," do you mean**
 5 **reagents or the instrument or something else?**
 6 A. Well, if the instrument's already approved by
 7 the FDA and there is no further modifications that are
 8 needed on any aspect of the instruments or the reagents
 9 that are used on those instruments, if those are all
 10 FDA-approved, then I don't know that there would need
 11 to be much more on the instrument portion.
 12 But if there are -- you know, obviously if
 13 there's any things that need to be even modified or
 14 slightly changed, then I would assume -- again, I'm not
 15 a regulatory expert -- I assume that there would need
 16 to be some direct involvement from the company on those
 17 matters.
 18 MR. NAEGELE: So we've been going for about an
 19 hour now. Let's take a short break. Let's come back
 20 at 9:03 Pacific time. Does that work for you?
 21 THE WITNESS: Yes.
 22 (A brief recess was taken.)
 23 BY MR. NAEGELE:
 [REDACTED]

1 read technology that's out there, and so it's really
 2 been more of a niche application. There is some
 3 potential for overlap if you're trying to sequence,
 4 like, a human genome or something like that, but the
 5 reality is that the shorter read technology
 6 applications, which we fall into and Illumina and
 7 Thermo Fisher, would be more broadly applicable for the
 8 sequencing market.
 [REDACTED]
 [REDACTED]
 14 **Q. To your knowledge, is BGI currently selling**
 15 **instruments in the United States?**
 16 A. I -- I honestly don't know whether or not they
 17 are right now.
 18 **Q. I'd like to shift gears and ask some questions**
 19 **now about intellectual property and next-generation**
 20 **sequencing. Earlier in our conversation you mentioned**
 21 **that your position involves engaging with some of**
 22 **Omniome's investors. Have any of Omniome's investors**
 23 **required due diligence related to Omniome's**
 24 **intellectual property before investing in the company?**
 25 A. Yes.

38

40

[REDACTED]

19 **Q. And what is the difference between long-read**
 20 **technology and the technology that Omniome is using?**
 21 A. So people typically talk about short-read verse
 22 long-read technology, and that usually is distinguished
 23 by whether or not you're sequencing hundreds of bases
 24 or if you're sequencing many, many thousands of bases.
 25 And there's fewer applications for the longer

1 **Q. And why do you think that investors have**
 2 **required due diligence into Omniome's intellectual**
 3 **property before investing in it?**
 4 A. Intellectual property -- well, first,
 5 intellectual property diligence is fairly standard for
 6 any type of investment that's made, but I think that in
 7 this particular instance, there's probably more
 8 attention on it given Illumina's fairly aggressive
 9 litigious sort of aspect of how they've just behaved in
 10 the marketplace.
 11 **Q. Would you mind explaining a little more what**
 12 **you mean by Illumina's litigiousness?**
 13 A. So Illumina has been -- I think it's -- it's
 14 perceived by the marketplace that Illumina is very
 15 aggressive in how they go after different companies and
 16 even customers in regards to intellectual property.
 17 You know, they've -- they've amassed a fairly large IP
 18 estate, but, you know, I think they -- they will use
 19 that, you know, sometimes -- and I've experienced this
 20 directly as Ariosa, that they will use it almost as a
 21 weapon, actually, to try and ensure that they maintain
 22 their dominance in the sequencing space.
 23 And it's actually a bit intimidating, you know,
 24 because I think the expectation is that even if you
 25 have complete freedom to operate around Illumina, which

Song

Illumina, Inc. and Grail, Inc.

3/24/2021

41

1 we believe we do, that it's almost an expectation that
2 Illumina is going to come after you and sue you,
3 regardless of whether or not there's any merit to the
4 intellectual property case, if it threatens their, you
5 know, business or revenue, and I think that's pretty
6 widely known, so -- and they have done it, right? They
7 have filed a lot of lawsuits. They're out there quite
8 a bit.

9 **Q. What actions has Omniome undertaken to ensure**
10 **that it has freedom to operate with its intellectual**
11 **property?**

[Redacted]

43

[Redacted]

10 **Q. To your personal knowledge, how does Illumina**
11 **do that?**

12 A. So I'll speak based on my prior experience at
13 Ariosa Diagnostics, where we were in situations where
14 we were trying to sell our system, which ended up being
15 a nonsequencing-based option, where Illumina would go
16 to our customers or our prospective customers and tell
17 them, well, you know -- you know, that's -- that either
18 doesn't have adequate patent protection or if you do
19 that, you know, not only is the company infringing, but
20 you're potentially liable for infringing as well.

21 So, you know, they're not saying that they're
22 going to sue their customer, but they're definitely
23 insinuating that that's a possibility, and I think they
24 also use that to perhaps threaten the customer -- the
25 customer might still need to use Illumina's sequencing

42

[Redacted]

44

1 products for other applications, right, not just
2 specific to -- in my case of Ariosa, on behalf of
3 Ariosa, in the case of NIPT, that was just one
4 application.

5 But if a customer needs Illumina for, like, 80
6 percent of their other tests, you know, I think
7 Illumina indirectly sort of said, well, you know, if
8 you need to be reliant upon us for that other stuff,
9 you should really use us for everything.

10 So, look, I mean, they have been around.
11 They're super smart. They're super successful. I
12 think they have an army of lawyers there. So they know
13 kind of -- I would anticipate they kind of know what
14 they might be able to get away with, but it's -- but I
15 would -- I would say it's sort of a -- you know,
16 they're kind of the big bully, and I remember I thought
17 of this back in my Ariosa days, that they literally
18 do -- I believe they literally use their IP as a weapon
19 to try and control the marketplace, and people are
20 scared of them because of that, because they're really
21 the only solution that's out there in a pretty large
22 and expanding NGS market.

[Redacted]

Song

llumina, Inc. and Grail, Inc.

3/24/2021

45

47

1 **Q. Does this threat of IP litigation -- has this**
2 **threat of IP litigation reduced the number of investors**
3 **that would be willing to invest in Omniome?**

4 A. I would say that -- yes, but I think it does
5 give some investors pause and concern. One investor or
6 some investors are likely to say I don't know how
7 you're going to be able to go up against the giant,
8 because everyone knows they're very aggressive.

[Redacted text block]

[Redacted text block]

9 **Q. What is the process for changing the adaptors**
10 **in an assay?**

11 A. You would need to redesign your primer. So you
12 would need to remove those P-5/P-7 adaptors, and then
13 you would need to put in new adaptors into that. It's
14 not that trivial because sometimes customers may have
15 already made a big investment in securing sufficient
16 supply of those primers or have supply agreements with
17 third-party manufacturers, et cetera.

18 And also, for companies that provide those
19 primer kits, it's a level of investment for them on
20 their manufacturing line, obviously their oligo
21 synthesis, to change the primer -- there's a level of
22 investment in production that would need to be made in
23 order to make that happen, and how many of those
24 suppliers would be willing to do that in advance before
25 another sequencing technology was out there and well

46

48

[Redacted text block]

1 adopted is TBD.

2 It's sort of like the chicken and the egg,
3 right? I mean, we would need, you know, like Agilent
4 or Roche or others to invest in building these adaptors
5 that could be compatible for us to be able to get our
6 sequencer out, but they might be, like, well, why are
7 we going to invest in changing these processes in the
8 adaptor design if you yet don't have a footprint in the
9 marketplace for your sequencer? So it is like a
10 chicken and egg problem.

11 **Q. Are there any other challenges in changing**
12 **adaptors?**

13 A. Ah, there's -- depending upon the adaptor
14 sequences, that could or could not interfere with the
15 other aspects of the primer in terms of causing
16 aggregation or primer dimers or things like that, but,
17 again, that's why you need to invest in studying that,
18 to make sure that you don't run into those problems.

[Redacted text block]

Q. Is there anything else that a laboratory would need to do in order to run an existing assay on an Omniome sequencer?

A. Well, you mean -- aside from having to change out their entire primer design, I mean, I'll say that up front, but, you know, we would be able to -- I think we would be able to -- you know, again, maybe there's some other smaller things, but that's sort of the big one I would say.

Q. Does Omniome --

A. Oh, there is another thing where -- I'm just trying to -- I'm just thinking through. Depending on how they're doing their sequencing -- again, when you're sequencing, there's this concept of sequencing the DNA that you want, and then sometimes you'll sequence a second read, and sometimes people have adapted their work flow and even their primers and their flow to do this sort of second read. That could be a sample tag or that could be reading the DNA the opposite way again.

So they might need to change that because there is some -- there is some intellectual property out there that would require them -- that requires them to

bunch of samples together, and so you need to be able to sort of bin those. So that would be a common use of a second read, is in order to read that sample tag rather than having to read through the entirety of the DNA insert sequence.

Sometimes they might do a second read because you might want to read both a top and the bottom strand of a DNA template, because you want to maybe ensure that there's fidelity in your sequencing, because sequencing is subject to some errors. So those would be probably two of the more common uses of a second read.

Q. And you mentioned that there was intellectual property related to second reads. Who owns that intellectual property?

A. Illumina owns some of the second-read IP. I don't know if others own others, but -- but I do know that Illumina owns a second-read IP technology that is being widely practiced by customers today.

Q. Other than the adaptor issue and the second-read issue, does Omniome anticipate any other challenges for a company that wants to move an existing assay onto Omniome's instrument?

A. Like, technically, those are probably two of the big ones. I mean, if you put -- if you put those

do -- that they're doing where they could -- they could utilize an alternative method, but they would need to change their work flow a bit to accommodate for that as well.

All of these things are easily solvable, right, and a lot more I think easier to implement if you didn't have the adaptor issue or the second-read issue. Then it would be very simple for the customers to adopt another sequencing technology, at least operationally, but those two are posing some -- those two elements do pose barriers to adoption.

Q. What is the reason for a second read process?

A. What is the --

Q. Let me rephrase that.

Why would a company do a second read?

A. There's several reasons. One is you might -- the way you've constructed your sample, you might want to -- some people have this thing called a sample tag or another tag on the DNA in their primer, and they might not want to sequence the entirety of the DNA. They might want to just sequence part of it, and then they might just want to see a tag that they've put on the other end of the primer to sort of associate and understand what that sample -- where that sample belongs to, because sometimes you will mix a whole

aside, it probably really streamlines the ability to switch, you know, from an Illumina sequencer to like an Omniome or to anyone else's sequencer, right, for that matter. Anyone that's developing a sequencing instrument is going to face this same problem, you know, this stranglehold on these P-5/P-7 adaptors where the entire marketplace has sort of designed their primers to incorporate those, and then the second-read aspect, which becomes used by a lot of users out there.

But you take those two things away and you probably really open up the options that become available for customers in terms of what they can use.

[REDACTED]

Song

Illumina, Inc. and Grail, Inc.

3/24/2021

53

[REDACTED]

21 **Q. For clinical customers, will they need to**
22 **design their own tests to run on Omniome's sequencer?**
23 A. For a clinical customer that's using an
24 Illumina sequencer today, they can't just immediately
25 switch over and start running our system without some

55

[REDACTED]

8 **Q. Is having multiple kits available for your**
9 **instrument important for launching?**
10 A. It definitely helps with ease because some
11 customers don't have the sophistication or the
12 wherewithal to design their own primers, and so, you
13 know, they're literally just a consumer of -- and
14 purchaser of multiple different components to do a
15 sequencing run.

[REDACTED]

54

1 investment in modifying their up-front work flow, if --
2 because of the P-5/P-7 adaptor issue. If that -- if
3 the P-5/P-7 adaptor issue wasn't there, for instance,
4 if we could just -- if we could actually use P-5/P-7
5 adaptors in our clustering process or something like
6 that, then there would be -- then the customer would
7 not need to worry about that, and so they would have
8 more flexibility, right, without requiring that level
9 of investment to be able to utilize, you know, our
10 sequencer or someone else's sequencer if it were to
11 come to market.

12 I mean, I guess theoretically it's possible
13 that an instrument manufacturer could just ignore the
14 P-5/P-5 ID, but they're obviously subjecting themselves
15 to potential intellectual property issues.

16 **Q. To your knowledge, if a laboratory buys an**
17 **Illumina sequencer today, does it need to develop any**
18 **tests that -- all tests that it runs on it or are there**
19 **commercially available tests that it can purchase to**
20 **run on it?**

21 A. Yeah, my understanding is there's commercially
[REDACTED]

56

[REDACTED]

21 **Q. Are there any other challenges that Omniome**
22 **anticipates facing in obtaining broad acceptance of its**
23 **instrument?**

24 A. So there's several. I mean, we have already
25 touched upon some of the issues practically with some

Song

Illumina, Inc. and Grail, Inc.

3/24/2021

57	<p>1 of the adaptors and the secondary just challenges. 2 There's the -- I would say overall just concerns that, 3 you know, that's out there just for litigation in 4 general. You know, regardless of the adaptor or 5 regardless of the second read or whatever, I mean, 6 those are clear things that Illumina -- like, right 7 now, people use the Illumina P-5/P -- like the 8 customers use the P-5/P-7 adaptor without really 9 having -- I'm not sure if they have a license or what 10 to use it, but Illumina could obviously use that to go 11 after their customers, right? 12 Essentially, they don't care right now and they 13 don't care with the -- with the suppliers of this. 14 Like, I don't think this -- I don't know that the 15 sequencing library kit providers will necessarily have 16 a license from Illumina, right, to be able to make 17 these, because it works for Illumina. They're like, 18 oh, of course, you're developing this. They sell these 19 kits, and it helps obviously Illumina's sequencing 20 products to be utilized. 21 You know, if there's -- if there's a landscape 22 where the -- the -- like a sequencing library supplier 23 all of a sudden starts making kits that are compatible 24 with our instrument or someone else's, you know, 25 Illumina could go to them and be, like, oh, wait, you</p>	59	<p>1 but perhaps -- again, very discretely -- you know, 2 modify their supply agreement terms or perhaps their 3 service response time is a little bit slower. 4 Again, these things are very difficult to sort 5 of say they are being deliberate about it, but I think 6 that gets -- that gets customers nervous, is they're 7 relying upon the Illumina system still for other 8 things, or if they're running a clinical test, it's not 9 like they can just snap their fingers and switch the 10 instrument over, because they need to validate that. 11 But there are -- there are things that -- 12 tactics and other things that can be done by Illumina 13 that can -- I think customers are nervous about this, 14 right, because they've seen it. So that's a concern 15 for us as a barrier to adoption. 16 Q. Have customers mentioned any of these concerns 17 to Omniome? 18 A. Again, I don't know that they have. I can't 19 speak to that just because I haven't been involved in 20 those direct conversations, but, again, speaking on 21 behalf of a big -- of a laboratory that ran an Illumina 22 sequencing product and then it was selling products 23 against Illumina in my first company, I can tell you 24 that it was definitely a concern. 25 Q. A little while ago you mentioned that companies</p>
58	<p>1 need a license now for our P-5/P-7, and put those 2 sequencing suppliers at a disadvantage to others who 3 aren't working with us. You know what I mean? It's 4 like they haven't yet exerted that power, but that's 5 definitely something that's theoretically possible that 6 could be done. So that's on the sequencing library 7 providers. 8 But just in general, Illumina doesn't really 9 need -- you know, they can just sue you if they wanted 10 to or file an infringement against somebody, 11 regardless -- they can just point towards a patent that 12 may not be directly related or they could use -- you 13 know, I have a concern that, you know, Illumina, which 14 is providing their sequencing solution to a lot of 15 customers, I don't know if they have exclusive -- there 16 might be some -- again, I don't know, you could check 17 with others -- but there might be supply agreements 18 that kind of require customers to predominantly use 19 Illumina's reagents or whatever for specific 20 applications. So customers might be locked in on a 21 supply agreement or -- so that's a concern. 22 Yeah, it's just like the supply agreements or 23 even the -- if a customer started using our instrument 24 as well, would that -- would that -- would Illumina use 25 that knowledge to perhaps still supply that customer</p>	60	<p>1 running clinical tests would need to revalidate. What 2 does that mean? 3 A. So if you tweek any part of your testing 4 process, you need to do some type of validation to 5 ensure that ultimate end results are not materially 6 different. So using like a bridging type study, and 7 the size and the extent of those bridging studies can 8 vary depending upon the testing that you're doing and 9 the degree of the change that's being done and worked 10 through. 11 Q. To your personal knowledge, how long would that 12 process take? 13 A. So speaking personally, having had to do this 14 at Ariosa, where we had to switch off of the Illumina 15 platform to a different detection platform, we had to 16 first do research and development on that aspect, and 17 then we had to do the validation. It was all hands on 18 deck for our company for about a year to be able to -- 19 so we basically halted all other development efforts. 20 Now, ours was much more of a dramatic shift 21 because we were going from sequencing to an Affymetrix 22 array-based readout. You know, had there been -- had 23 there been another sequencing technology available that 24 could meet our throughput, that switchover would have 25 been probably much quicker and much more efficient.</p>

Song

Illumina, Inc. and Grail, Inc.

3/24/2021

61	<p>1 It would have still required us at Ariosa to</p> <p>2 have done a redesign of our adaptors, because we would</p> <p>3 have needed to come off the P-5/P-7, but, again, if we</p> <p>4 didn't have to do the P-5/P-7 change, that would have</p> <p>5 been even simpler and even faster there.</p> <p>6 So, again, you can see there's different</p> <p>7 elements of how much are you changing, right? We</p> <p>8 changed our detection platform, so that was a pretty</p> <p>9 substantive investment. If we -- if we could have gone</p> <p>10 to -- if Omniome had existed then, we would have then</p> <p>11 needed to redesign our primers and our libraries and</p> <p>12 validated that -- which could have been done, it would</p> <p>13 have still taken some level of investment -- or we</p> <p>14 could just use our exact same P-5/P-7 -- because we had</p> <p>15 P-5/P-7 primers. If we could just use those exact same</p> <p>16 primers, then actually the switching could have been</p> <p>17 done pretty quickly, because we would have just, side</p> <p>18 by side, run the same samples and the exact same</p> <p>19 sequencing library.</p> <p>20 We wouldn't need -- the only thing that's</p> <p>21 different is the readout, and we could have actually</p> <p>22 done that pretty fast, and as long as the results were</p> <p>23 comparable, we could have then switched over to a</p> <p>24 different sequencing platform.</p> <p>25 Q. So I have a somewhat foundational question to</p>	63	<p>1 A. Well, a tag could be -- again, a lot of times</p> <p>2 people will mix samples. So they might take ten</p> <p>3 patient samples and put them into a single sequencing</p> <p>4 run. You have to have a way to deconvolute, to know</p> <p>5 whose data belongs to which one of those ten original</p> <p>6 samples. So as part of that initial design on those</p> <p>7 primers, you may sometimes put a tag on it, like --</p> <p>8 that's called a sample tag, because that could be</p> <p>9 sequenced and then identified, which of that sequence</p> <p>10 belongs to which individual or which sample, and that</p> <p>11 comes into the whole second-read concept, is, you know,</p> <p>12 you might just need to read the first 30 bases of a</p> <p>13 sequence to know where it belongs. That's all the</p> <p>14 information that you want. You don't need to read the</p> <p>15 rest of the couple hundred. Then you can sort of stop</p> <p>16 and then say, okay, then we'll just now read that</p> <p>17 sample tag, which becomes like your second read in the</p> <p>18 sequencing reaction.</p> <p>19 Q. Let's change gears somewhat. You mentioned you</p> <p>20 previously were CEO of Ariosa. What was Ariosa?</p> <p>21 A. Ariosa was a company that we started in I</p> <p>22 believe late 2009, 2010, with the focus on developing a</p> <p>23 noninvasive prenatal test for pregnant women, and</p> <p>24 basically the general concept was to develop a -- first</p> <p>25 a CLIA-based test offering where we would receive blood</p>
62	<p>1 ask. What is -- you mentioned the term "library."</p> <p>2 What is a library in this context?</p> <p>3 A. A library means when you take any sample,</p> <p>4 right, so it could be a tissue sample, a blood sample</p> <p>5 or whatever, ultimately you're taking the -- you're</p> <p>6 purifying the -- let's, for instance, talk DNA. You</p> <p>7 purify the DNA fragments out of there. You can't just</p> <p>8 throw those DNA fragments into the sequencer and say go</p> <p>9 sequence, right? You have to make a sequencing</p> <p>10 library, because you have to put, like, tags or things</p> <p>11 on the ends of those DNA little fragments so that they</p> <p>12 can then be amplified, because, again, with Illumina's</p> <p>13 technology and ours, you can't just do single-molecule</p> <p>14 sequencing. You need to create more copies of it.</p> <p>15 And so the only way that you can create more</p> <p>16 copies of it and then put those copies on a slide that</p> <p>17 ultimately you can do a sequencing reaction on, you</p> <p>18 need to put these primers, right, that have these</p> <p>19 adaptors or these other sequencers on that, and so that</p> <p>20 process of -- you know, from taking the purified DNA to</p> <p>21 then creating in solution a mixture of DNA that has</p> <p>22 these now tags and primers on the end of it, that's</p> <p>23 what a sequencing library is.</p> <p>24 Q. And you mentioned a term "tag" as well. What</p> <p>25 is a tag in this context?</p>	64	<p>1 samples from pregnant women that were taken after their</p> <p>2 doctor ordered it, and then we could actually look at</p> <p>3 the fetal DNA, so actually the DNA from the fetus, that</p> <p>4 was circulating in the mother's blood. So through a</p> <p>5 maternal blood sample we could actually analyze the</p> <p>6 fetal DNA and report out on different genetic</p> <p>7 conditions, such as trisomy 21 or Down's syndrome, and</p> <p>8 also provide information on the -- you know, whether or</p> <p>9 not the fetus was a male or female.</p> <p>10 Q. Who founded Ariosa?</p> <p>11 A. So technically the founders was this husband</p> <p>12 and wife called the Mitchells, so Mike Mitchell and Aoy</p> <p>13 Tomita Mitchell, along with their sister, Haley</p> <p>14 Mitchell, and then I got to meet them when they were</p> <p>15 still very early stage, when I was at Venrock as an</p> <p>16 investor, and then I got involved because we provided a</p> <p>17 little bit of seed financing, and then I became the</p> <p>18 CEO.</p> <p>19 Q. When Ariosa first began -- I guess, when did</p> <p>20 Ariosa first begin providing NIPT?</p> <p>21 A. I think we commercially launched the test in</p> <p>22 2012.</p> <p>23 Q. And in 2012, when Ariosa first began providing</p> <p>24 NIPT, what was its relationship with Illumina?</p> <p>25 A. It was great. Illumina was an investor in</p>

Song

llumina, Inc. and Grail, Inc.

3/24/2021

65	<p>1 Ariosa. I believe we were the first customer -- one of</p> <p>2 the first, if not the first customer to take the HiSeq,</p> <p>3 getting installed in our laboratory. So we bought two</p> <p>4 instruments from Illumina.</p> <p>5 We had multiple meetings with their CEO and</p> <p>6 senior management to update them on our plans, and Jay</p> <p>7 Flatley, who was CEO at the time, actually was a</p> <p>8 personal investor himself in Ariosa as well, and so we</p> <p>9 had very close ties on multiple levels with them as an</p> <p>10 investor, you know, with them as a partner, with us as</p> <p>11 a customer, us giving feedback to them on HiSeq, and</p> <p>12 there were a lot of people that were at our company</p> <p>13 that were at Illumina previously.</p> <p>14 Q. What kind of information did Ariosa provide</p> <p>15 about its business to Illumina?</p> <p>16 A. Because they were an investor and they were an</p> <p>17 important strategic supplier to us, we provided a fair</p> <p>18 amount of detail. We shared with them our overall</p> <p>19 vision of what we wanted to do with the product. We</p> <p>20 shared with them pretty a detailed cost structure of</p> <p>21 what we had done to innovate, with the goal of us being</p> <p>22 able to provide our test to as many women as possible,</p> <p>23 because we really thought that this was a universal</p> <p>24 test for all pregnant women.</p> <p>25 Q. So shifting back a little bit, I have some more</p>	67	<p>1 test. The standard of care at the time had been to do</p> <p>2 a combination of both ultrasound as well as serum</p> <p>3 protein-based testing, but the issue with those tests</p> <p>4 were that they only picked up about -- they were only</p> <p>5 accurate 70 percent of the time in detecting those</p> <p>6 conditions of the fetus, but more importantly, they had</p> <p>7 a 5 percent false-positive rate.</p> <p>8 That meant that one in 20 women that would</p> <p>9 undergo this test would be incorrectly told that they</p> <p>10 were at high risk of having a fetus with genetic</p> <p>11 conditions when, in fact, they were not, and the</p> <p>12 consequence of having that false-positive was that</p> <p>13 these women would then be recommended to undergo an</p> <p>14 amniocentesis or another invasive procedure called CVS</p> <p>15 that would then pose a normal fetus at risk of</p> <p>16 inadvertent loss secondary to the invasive procedure.</p> <p>17 Q. What is amniocentesis?</p> <p>18 A. Amniocentesis is a sampling of the amniotic</p> <p>19 fluid. It involves the insertion of a fairly large</p> <p>20 needle, you know, externally into the pregnant belly of</p> <p>21 the mom. It's a -- it's pretty disconcerting. I mean</p> <p>22 it's a needle like this long (indicating). It gets</p> <p>23 poked through the skin into the -- into the womb,</p> <p>24 right, where the fetus is, and then they sample fluid</p> <p>25 out of that, and then they can take that fluid, send it</p>
66	<p>1 foundational questions about NIPT.</p> <p>2 When was NIPT first commercialized?</p> <p>3 A. I think the first test became available in</p> <p>4 2011.</p> <p>5 Q. At that time, in 2011, were there other</p> <p>6 diagnostic approaches that could detect the same fetal</p> <p>7 abnormalities?</p> <p>8 A. Most people working with cell-free DNA. So the</p> <p>9 first commercialized test that predated us, there was a</p> <p>10 company called Sequenom, and then I think -- it might</p> <p>11 have been in early 2012, a company called Verinata that</p> <p>12 Illumina ended up acquiring also had developed a test</p> <p>13 looking at the cell-free circulating fetal DNA, but</p> <p>14 their approaches were very different than ours.</p> <p>15 They used something called a shotgun approach</p> <p>16 or what we called random sequencing, which was much</p> <p>17 less efficient. They required about ten times more</p> <p>18 sequencing than we did and, as a result, obviously</p> <p>19 consumed a lot more of the sequencing reagents from</p> <p>20 Illumina. We were all using Illumina, by the way.</p> <p>21 Q. Were there any ways of detecting fetal</p> <p>22 abnormalities without using NIPT?</p> <p>23 A. People had tried to isolate fetal cells, but</p> <p>24 that technically became just very difficult. I still</p> <p>25 think to this day that's not the commercially available</p>	68	<p>1 to a specialty laboratory, and there they can directly</p> <p>2 analyze the genetics of the fetus that way.</p> <p>3 But because it's invasive, it carries with it a</p> <p>4 risk of up to 1 percent of fetal loss secondary to that</p> <p>5 invasive procedure. So we were trying to avoid -- we</p> <p>6 were trying to provide a solution that prevented</p> <p>7 unnecessary invasive procedures due to the high</p> <p>8 false-positive rate that was currently the standard of</p> <p>9 care.</p> <p>10 Q. At the time that Ariosa first launched this</p> <p>11 test in 2012, what kind of patients received NIPT?</p> <p>12 A. So it was predominantly women that were older</p> <p>13 age, those who would be considered higher risk women,</p> <p>14 or women who had received a positive screening result</p> <p>15 from, you know, one of the serum protein or</p> <p>16 ultrasound-based tests, but we also had women who</p> <p>17 didn't fit that category also be interested in our test</p> <p>18 because we initially marketed our test as a test for</p> <p>19 all pregnant women, not just a subset of them, and we</p> <p>20 used -- we wanted to ensure that pricing was</p> <p>21 established such that it could be affordable to the</p> <p>22 broad population.</p> <p>23 Q. Why did Ariosa target a wider patient set?</p> <p>24 A. Because clinically it was the right thing to</p> <p>25 do, right, and it was a test that was -- that had value</p>

Song

Illumina, Inc. and Grail, Inc.

3/24/2021

69	71
<p>1 to every single pregnant woman, not just a subset of</p> <p>2 them.</p> <p>3 Q. How would Ariosa have been able to offer the</p> <p>4 test to all women?</p> <p>5 A. Well, I mean, just through marketing, right,</p> <p>6 and through talking with physicians.</p> <p>7 Q. Was price important for offering the --</p> <p>8 offering NIPT to a wider patient set?</p> <p>9 A. Absolutely.</p> <p>10 Q. Why is that?</p> <p>11 A. Well, it was just the realities of our</p> <p>12 healthcare system and also affordability. So what we</p> <p>13 launched and that came onto market, the two predecessor</p> <p>14 tests from us, the ones that were using the shotgun or</p> <p>15 the random approach from Sequenome and Verinata, they</p> <p>16 were pricing their tests at about \$2,700 to my</p> <p>17 recollection, and we came to market, I believe, at</p> <p>18 \$795, you know, which was considerably less, obviously</p> <p>19 by about \$2,000, which actually shocked them as well.</p> <p>20 But we were able to do that because we had</p> <p>21 developed a targeted approach where our consumption of</p> <p>22 Illumina sequencing reagents, again, was tenfold lower.</p> <p>23 So we had purposefully designed and developed our test</p> <p>24 to be one that would have a lower cost structure and,</p> <p>25 in turn, that gave us the flexibility to offer a lower</p>	<p>1 things were progressing because, you know, they were an</p> <p>2 investor in ours, and we continued to have strategic</p> <p>3 discussions with them.</p> <p>4 Q. At that meeting that you mentioned where you</p> <p>5 showed the slide to Jay Flatley, when was that meeting?</p> <p>6 A. That was pretty early on, I think when we were</p> <p>7 still doing our development. So probably, if I had to</p> <p>8 guess, it would have either been later 2010 or in 2011.</p> <p>9 Q. What was the purpose of that meeting?</p> <p>10 A. They had reached out and said, you know, we</p> <p>11 would love to sort of understand how people are, you</p> <p>12 know, going to use sequencing. NIPT looks like a great</p> <p>13 application for it. You know, we were buying</p> <p>14 instruments from them, and we wanted to sort of share</p> <p>15 with them our -- you know, they asked, and we also were</p> <p>16 willing to share with them sort of our long-term vision</p> <p>17 for what we wanted to do with the products in the</p> <p>18 prenatal space.</p> <p>19 Q. Who was present at that meeting?</p> <p>20 A. So we had -- we had several meetings with them,</p> <p>21 like not just one. You know, Jay Flatley I believe was</p> <p>22 at -- was he at every single one? He was definitely at</p> <p>23 the majority, if not all of them. I remember Christian</p> <p>24 Henry being in the room, who I think might have been</p> <p>25 CFO at the time. I remember Crane Harris, he was a</p>
<p>1 price to the marketplace.</p> <p>2 Now, I will tell you that that was not well</p> <p>3 received by Illumina, the fact that we could use less</p> <p>4 sequencing and provide the same amount of information.</p> <p>5 Q. How did Illumina react when it found out that</p> <p>6 Ariosa planned on doing this?</p> <p>7 A. Well, I don't think that they did anything</p> <p>8 immediately. I mean, you know, we had a supply</p> <p>9 agreement in place with them. I remember when we first</p> <p>10 shared this with them, to Jay Flatley, the CEO, and</p> <p>11 other senior executives, I think like their head of</p> <p>12 diagnostics, their CFO, et cetera, I do remember we</p> <p>13 showed them the slide on how much sequencing we needed,</p> <p>14 and, like, their eyes popped up and were, like, wait,</p> <p>15 you don't need a football field of sequencers?</p> <p>16 And we were, like, no. Like, what other people</p> <p>17 need, like, a hundred sequencers for, we could get it</p> <p>18 done with, like, five or eight, and we were very proud</p> <p>19 of that actually, but they -- I know their eyes popped,</p> <p>20 and especially I remember Jay just be like, "Ah, that's</p> <p>21 interesting."</p> <p>22 But, yeah, when we first launched -- this was</p> <p>23 when all of us were independent companies -- we had</p> <p>24 great adoption of our product. You know, we continued</p> <p>25 to provide Illumina updates, business updates on how</p>	<p>1 business development person, in the room. I remember</p> <p>2 Greg Heath, he was a diagnostics person. And in some</p> <p>3 of those meetings also -- not all, because I remember</p> <p>4 he wasn't in all -- but Nick Naclerio was in those</p> <p>5 meetings. He was a business development or corporate</p> <p>6 development person.</p> <p>7 And then I think at one of these other</p> <p>8 meetings, I think like their chief medical officer at</p> <p>9 the time was also in one of them. I remember Jay was</p> <p>10 in that room because we were meeting in the conference</p> <p>11 room right next to his cubicle at Illumina.</p> <p>12 Q. And what was discussed at these meetings?</p> <p>13 A. It was like our corporate update, confidential.</p> <p>14 It was always done under confidentiality, under CDA.</p> <p>15 So we gave them a confidential update on our progress,</p> <p>16 what we thought about putting in the tests, the cost</p> <p>17 structure, launch plans, future product development</p> <p>18 plans.</p> <p>19 Q. Did -- did Illumina make any representations to</p> <p>20 Ariosa about firewall -- information firewalls between</p> <p>21 the information obtained from Ariosa and the rest of</p> <p>22 Illumina?</p> <p>23 A. Not -- not to my knowledge. I mean, the people</p> <p>24 we were negotiating the supply agreement with were in</p> <p>25 those meetings, so there wasn't a firewall there, so...</p>

18 (Pages 69 to 72)

Song

llumina, Inc. and Grail, Inc.

3/24/2021

73	<p>1 Q. Earlier in our conversation about NIPT, you 2 mentioned Illumina's acquisition of Verinata. Did 3 Illumina's acquisition of Verinata change Illumina's 4 relationship with Ariosa? 5 A. Absolutely. It was night and day different. 6 Q. What changed? 7 A. So I got a call from Jay Flatley on the -- 8 on -- just prior to the release of the press -- of the 9 announcement that Illumina was acquiring Verinata, and 10 I remember it distinctly because I was in the guest 11 bedroom of my house in Sunnyvale on a Sunday when I got 12 the call. 13 And he said, "Ken, I just want to let you know 14 that an announcement will be coming out later today 15 that we acquired Verinata." I said, "Whoa, that's 16 interesting." He said, "but I want to assure you we're 17 committed to, you know, supplying Ariosa. We want to 18 still see you guys be successful. We don't see this 19 being a conflict of interest," blah-blah-blah- 20 blah-blah. 21 So I believe right after that I made -- this 22 was right before JP Morgan, so it was January. I had 23 then sent an email to him saying, look, it would be 24 great if we could sort of sit down and meet to discuss 25 what the implications of this are. And so Jay agreed</p>	75	<p>1 care of that. I said great, we're happy to hear that, 2 although I was nervous until we actually could ink it 3 on paper, because I just -- you know, follow up with us 4 and we will give you that assurance. 5 Coming out of that JP Morgan meeting, I sent a 6 followup email immediately to Nick Naclerio and CC'd 7 Jay Flatley on it and said, great, as per our 8 discussion, this is what we would like, and then things 9 turned the other way. They were like, well, we need to 10 re-assess, we need to re-evaluate. Jay Flatley started 11 excusing himself from the discussions. We started 12 becoming very concerned because the simple ask that we 13 had all of a sudden didn't look like it was going to 14 happen so easily. 15 I actually reached out to Bill Rastetter, who 16 was chairman of Illumina at the time and also a venture 17 partner at Venrock, to try and intervene, to make sure 18 that we were just being treated as a -- that our 19 requests were being honored that Jay had verbally said 20 would not be an issue, but then this led towards many, 21 many months of back-and-forth with Illumina then coming 22 back to us and basically increasing the cost by a 23 factor of ten in our supply agreement, which obviously 24 was not going to work for us, because they wanted us to 25 have the same cost structure as Verinata and these</p>
74	<p>1 to that. So we had a breakfast meeting -- I still 2 remember it well -- in the Westin during JP Morgan with 3 Nick -- with Jay Flatley, Nick Naclerio, and John 4 Sponaule, who was my chairman, and myself. 5 And, again, Jay and Nick had given us 6 assurances -- it was really Jay doing the talking, 7 though, saying, like, look, we are committed. 8 Obviously we are investors in you, blah-blah-blah. We 9 want to work with you. We believe we can make this 10 work. 11 So we then discussed our interest that 12 obviously he has to understand we have some concerns, 13 because now our supplier is our competitor, so what we 14 wanted to do was to ensure that we would have longer 15 term supply, assurance from them, because we were now 16 commercial in offering this testing to patients, and we 17 wanted to have a continuation of the pricing that we 18 had negotiated with them before they acquired Verinata, 19 that they had agreed to. 20 And we also asked that they provide us with the 21 same field, you know, because we had been inside of a 22 very narrow field, trisomy and all, so we said give us 23 the same field as everyone else and honor the pricing 24 that you have given to us for several more years. And 25 I remember Jay Flatley said, not a problem, we'll take</p>	76	<p>1 others who had this random sequencing method. 2 Q. If Ariosa had the same cost structure as 3 Verinata, would it be able to offer its test to 4 average-risk women? 5 A. No. We would have had to have increased -- our 6 price point would not -- that would not have been 7 sustainable for us. 8 Q. Earlier you mentioned Ariosa's field. What 9 does "field" mean in this context? 10 A. Well, just like, you know, for prenatal 11 testing. We had visions of expanding beyond just 12 trisomy to also include the ability to detect 13 infectious disease and all these other things, where 14 literally in a single blood tube a pregnant woman and 15 their doctor could receive a richness of information. 16 And, again, because we were targeted, we would be able 17 to offer a lot of this information still at very low 18 cost. 19 Q. Is "field" something that would be included in 20 a supply agreement? 21 A. Yes. 22 Q. Does -- what purpose does "field" serve in a 23 supply agreement? 24 A. It tells you that if you're acquiring products 25 from somebody, what you can actually use those reagents</p>

Song

Illumina, Inc. and Grail, Inc.

3/24/2021

77

1 or those products for for commercialization. So, like,
 2 we couldn't buy -- we would have been restricted in our
 3 supply agreement to purchase Illumina's sequencing
 4 reagents and then use that for, you know, transplant
 5 rejection testing, right? We would need to go back and
 6 negotiate a separate field extension to do that.
 7 **Q. To your knowledge, why did Illumina include**
 8 **that field provision in its supply agreement?**
 9 A. Well, my assumption is that they would perhaps
 10 want to think about different pricing depending upon
 11 what the field is. They might sell you the exact same
 12 stuff at a different price, right? So, you know, I
 13 could imagine if you're going to do cancer testing
 14 where it's many thousands of dollars, they might say,
 15 well, okay, in that instance, your supply agreement
 16 terms might be a little bit different, even though
 17 you're purchasing the exact same thing. It's about
 18 maximizing, I think, you know, revenue for them.
 19 **Q. At the time that Illumina acquired Verinata,**
 20 **what was Ariosa's field?**
 21 A. I can't recall exactly what it was, but I know
 22 that we had the ability to at least test for trisomy --
 23 trisomy 21, 18, and 13, which were some of the three
 24 more common genetic conditions in the fetus. I believe
 25 that's -- yeah, I think that was -- I think it was

78

1 trisomies or copy number variants, something along
 2 those lines.
 3 **Q. Are there other things that NIPT can test for?**
 4 A. Yeah. It can test for a single gene. It could
 5 test for potentially single gene mutations. So this
 6 would not be a copy number variant, per se. I think
 7 that's what we had, like if the copy numbers were
 8 different, but, you know, there's mutations. It could
 9 detect fetal sex as to whether or not there's a boy or
 10 a girl in it. Those would probably be the primary
 11 ones.
 12 **Q. Were any other companies offering fetal sex**
 13 **detection at the time?**
 14 A. They were.
 15 **Q. Which companies were those?**
 16 A. Sequenome was reporting on it, and I believe
 17 Verinata was or did shortly thereafter.
 18 **Q. Was Ariosa able to report fetal sex?**
 19 A. No, not initial -- well, initially we didn't,
 20 and we did eventually report out on X and Y chromosome
 21 numbers.
 22 **Q. Did Ariosa ask Illumina for the ability to call**
 23 **fetal sex?**
 24 A. We did.
 25 **Q. What happened when Ariosa asked Illumina for**

79

1 **the ability to call fetal sex?**
 2 A. They said that they would modify our field so
 3 long as we agreed to the price adjustment that they
 4 wanted to put into the agreement.
 5 **Q. What price adjustments did Illumina want to put**
 6 **into the agreement?**
 7 A. So just to give you a sense, what we were doing
 8 at the time was we were just buying reagents from them,
 9 and, you know, what that translated into was about a
 10 \$10 per sample cost to us. Like, Illumina didn't
 11 know -- well, they knew it because we shared it with
 12 them on the slides, you know, but we only -- it only
 13 cost us about \$10 in sequencing reagents to be able to
 14 perform the testing, 10 or 15, somewhere around there.
 15 I believe in the early iterations -- and I
 16 can't remember which ones actually made it into the
 17 supply agreement, different drafts -- but I know that
 18 \$150 test fee was sort of thrown out there from
 19 Illumina, which obviously was going to increase our
 20 costs by more than an order of magnitude.
 21 I do believe we received at least a couple of
 22 different -- a couple of different drafts that had like
 23 a per-test fee of like a hundred dollars. You know,
 24 there was a lot of different models that were sent back
 25 and forth. It was always about a hundred dollars.

80

1 They wanted a per test fee, so they wanted to know how
 2 many tests we were running and then charge us a per
 3 test fee on top of our sequencing reagents that we were
 4 purchasing, which didn't make any sense to us.
 5 **Q. What was the -- did Illumina explain why it was**
 6 **charging the -- why it wanted to charge the per test**
 7 **fee?**
 8 A. Well, they first said we just want to make it a
 9 level playing field for everybody. So, I was, like,
 10 what does that mean? We're not using your reagents,
 11 because -- because they said, well, others that are
 12 doing NIPT, you know, this is how much we're getting
 13 from them, so we just want to level the playing field.
 14 Like, to me, that was the -- like, I remember that
 15 phrase specifically, because I said, how does that
 16 level the playing field?
 17 I mean, it levels the playing field for you in
 18 terms of the revenue you're getting, but we're -- we're
 19 paying more for the reagents and you're punishing us
 20 for innovation. They shifted gears and said, well, we
 21 have a lot of patents, so let's talk about it as a
 22 license fee to our patent. And we said we don't think
 23 any of your patents are relevant to this, you know, so
 24 why would we pay you this arbitrary per test fee when
 25 those patents aren't applicable?

Song

Illumina, Inc. and Grail, Inc.

3/24/2021

81	83
<p>1 But they never budged, and we were never able 2 to consummate a supply agreement amendment, despite an 3 enormous amount of back-and-forth, and that forced us 4 to have to switch to a different platform.</p> <p>5 Q. Did Illumina explain what it meant by "leveling 6 the playing field"?</p> <p>7 A. I just -- I remember Nick saying, well, we 8 think everyone should just, you know, compete along 9 sort of similar cost structures or it should be the 10 same for everybody. I remember this because it didn't 11 make sense to me, like how are you -- why does Illumina 12 have the right to dictate what the cost structure 13 should be for the industry? And they clearly were 14 trying to use their supplier power to do that, which 15 was incredibly disconcerting and concerning to us.</p> <p>16 Q. At this time, was Ariosa still offering its 17 tests at a lower cost than the other companies?</p> <p>18 A. Yes.</p> <p>19 Q. Was it your impression that Illumina was 20 attempting to raise Ariosa's costs to be in line with 21 the other companies?</p> <p>22 A. Yeah. They probably didn't care what we sold 23 our test for, but they definitely wanted to get -- they 24 definitely felt like -- I think Jay said this once, 25 like they didn't feel like they were getting their fair</p>	<p>1 this test fee, it sort of provides an equal playing -- 2 an equal level playing field, right? It sort of puts 3 everyone, you know, in the same boat.</p> <p>4 Q. And do you recall who it was that said that?</p> <p>5 A. I'm pretty sure it was Nick, but, I mean, he 6 was the one who I was dealing with the most in the -- 7 immediately post that JP Morgan meeting.</p> <p>8 Q. Did Ariosa ever pay Illumina the fees that it 9 requested?</p> <p>10 A. We paid them according to the supply agreement 11 that we had already executed, but we did not -- again, 12 there was no supply agreement amendment that was made 13 that had this concept of a test fee.</p> <p>14 Q. At the time that Illumina acquired Verinata, 15 who were Ariosa's largest customers?</p> <p>16 A. Well, we had a partnership with LabCorp, so, 17 you know, technically you could consider them maybe a 18 customer, but we also had -- you know, and so in the 19 U.S., most of our business was in collaboration with 20 LabCorp and leveraging their channel, but outside the 21 U.S., we had a lot of different customers.</p> <p>22 LabCo in Spain was a big customer. TDL 23 Genetics in the UK was a big customer. And Illumina 24 started going after all of them directly, and actually 25 Illumina ultimately was able to take LabCorp and form a</p>
<p>1 share. They felt like sequencing was such an integral 2 component to the test, they kind of felt like them 3 getting 10 or 15 bucks wasn't representative of the 4 value of what they were bringing. But then we said, 5 but we've innovated, and I remember asking them, why 6 are you punishing us for innovating?</p> <p>7 Q. Who made the statement that Illumina wanted a 8 level playing field?</p> <p>9 A. I think it was Nick Naclerio, because Jay's -- 10 it was amazing. Jay quickly tried to disappear into 11 the background after that JP Morgan breakfast that we 12 had, because he said, "Oh, Nick will take care of it." 13 And then we started getting passed around to other 14 people also, Charles Moehle, Crane Harris -- Crane 15 Harris first and then Charles Moehle ultimately.</p> <p>16 Q. And who was it who said that Illumina wanted 17 its fair share?</p> <p>18 A. I don't remember. I can't remember exactly 19 who -- who said that.</p> <p>20 Q. And do you recall who said that Illumina wanted 21 the NIPT companies to have the same cost structure?</p> <p>22 A. I think -- they didn't say it that way. I 23 think they just said we believe that, you know, this 24 test fee sort of -- you know, I don't know what the 25 exact words were, but it was sort of like by providing</p>	<p>1 separate agreement with them, which really, you know, 2 became an issue for us because we lost our main 3 distribution channel in the U.S.</p> <p>4 Q. Did Ariosa attempt to keep its business with 5 Labcorp?</p> <p>6 A. We tried. I flew out to North Carolina and met 7 with senior management, said, what can we do? How can 8 we figure this out? But ultimately LabCorp -- Illumina 9 did some type of deal with Labcorp. I don't know what 10 the specifics of that was, but it was definitely -- 11 they wanted to help LabCorp bring up their own NIPT 12 solution on a sequencer, I think with the -- you know, 13 I think with -- with one of the goals for Illumina 14 being to try to hurt us as much as possible.</p> <p>15 Again, this is hearsay, but I have heard that 16 inside the board room at Illumina, Ariosa, a small 17 little private company, became their number one target, 18 and their goal was to actually try to drive us out of 19 business, which was kind of scary and flattering at the 20 same time, but I was, like, why is a \$10 billion 21 company just trying to pick on us, right?</p> <p>22 But I think they saw us as being a threat, but 23 in a single test area, which didn't make sense to me, 24 and it was almost like, why are you picking on me, 25 right?</p>

21 (Pages 81 to 84)

Song

llumina, Inc. and Grail, Inc.

3/24/2021

85	<p>1 Q. How would Ariosa be a threat to Illumina?</p> <p>2 A. Well, we were obviously promoting and</p> <p>3 commercializing our NIPT test at a lower cost, and the</p> <p>4 bigger market share that we had compared to Sequenome</p> <p>5 or Verinata or who else would -- you know, at the time,</p> <p>6 NIPT was regarded as probably the most exciting and</p> <p>7 maybe the most successful diagnostic test that could be</p> <p>8 launched, and when you think about the fact that</p> <p>9 there's 4 million pregnant women in the U.S. or 4</p> <p>10 million births in the U.S. every year and then</p> <p>11 multiples of that outside the U.S., there's actually</p> <p>12 pretty sizeable revenue that could be realized from</p> <p>13 that.</p> <p>14 And I think Illumina realized that as well, but</p> <p>15 the more and more we were successful, you know,</p> <p>16 Illumina was just getting, you know, \$10 effectively</p> <p>17 per test that was run back to them, versus the more</p> <p>18 that Verinata or -- well, Verinata, they had a</p> <p>19 different incentive, because now they could get -- they</p> <p>20 could realize more of the economics because they're</p> <p>21 getting the test -- the overall test fee, but they</p> <p>22 liked Sequenom or Natera because they would get a 100</p> <p>23 or 150 bucks from them each time.</p> <p>24 Q. Did Illumina's changed treatment of Ariosa</p> <p>25 affect Ariosa's plans to offer NIPT to average-risk</p>	87	<p>1 technology, you know, that had an Illumina supply</p> <p>2 agreement. This was actually really fascinating. They</p> <p>3 had an Illumina supply agreement, and then they wanted</p> <p>4 to license our technology, which we were open to, but</p> <p>5 then Illumina would not honor their ability to actually</p> <p>6 continue with the supply agreement if they used our</p> <p>7 test, to be able to offer it at a lower cost for</p> <p>8 average-risk women.</p> <p>9 So I do think the net effect of this was that,</p> <p>10 you know, NIPT, while it continued to be a great</p> <p>11 success, ultimately, the broader adoption of it was</p> <p>12 probably stifled, you know, with a lot of that likely</p> <p>13 due to Illumina's actions and what they did post the</p> <p>14 Verinata acquisition.</p> <p>15 So as a result of that, fewer women actually</p> <p>16 ended up getting tested, which ultimately translated</p> <p>17 into more unnecessary amniocenteses needing to be done,</p> <p>18 which ultimately led toward more fetal loss --</p> <p>19 completely unnecessary -- of normal babies, and that to</p> <p>20 us was really upsetting, because that was the goal from</p> <p>21 our very start of Ariosa, was to make this test</p> <p>22 available to everybody so we could avoid the</p> <p>23 unnecessary amniocenteses and invasive procedures and</p> <p>24 not subject completely normal pregnancies to the risk</p> <p>25 of fetal loss. I think that is the price that society</p>
86	<p>1 women?</p> <p>2 A. Well, I mean, we still were committed to that,</p> <p>3 you know, so I don't think it changed our overall</p> <p>4 strategy. I think Illumina's imposition of this test</p> <p>5 fee, et cetera, I think as people started innovating --</p> <p>6 and sequencing actually started getting cheaper as time</p> <p>7 went on, because we knew -- you know, as things scaled</p> <p>8 up, but I think these supply agreements that Illumina</p> <p>9 was able to essentially come into, I think Sequenom</p> <p>10 ended up having to have a supply agreement and maybe</p> <p>11 Natera, and I don't know what the economics were, but I</p> <p>12 understood they got kind of locked in.</p> <p>13 I think what ended up happening is it prevented</p> <p>14 those other players from being able to lower their</p> <p>15 cost. So, if anything, it kind of -- I think for us we</p> <p>16 always maintained our cost, because we switched over to</p> <p>17 a different platform so that we could make the cost</p> <p>18 structure work, but I think Illumina's practices may</p> <p>19 have helped to keep the test price for our competitors</p> <p>20 still the same without seeing that cost reduction</p> <p>21 happen over -- that price reduction happen over time,</p> <p>22 which is really unfortunate because, you know, there</p> <p>23 were several of us, four players offering NIPT, and</p> <p>24 there were others that wanted to come into the space.</p> <p>25 There's others that wanted to license our</p>	88	<p>1 had to pay as a result of sort of all these shenanigans</p> <p>2 that happened post the acquisition of Verinata by</p> <p>3 Illumina.</p> <p>4 Q. You mentioned that Ariosa had a conversation</p> <p>5 with a company that wanted to license Ariosa's test.</p> <p>6 What company was that?</p> <p>7 A. There were several. I know Progenity, we had</p> <p>8 talked to Progenity, you know, we were talking with</p> <p>9 Quest, you know, we were talking with LabCorp. We</p> <p>10 talked with ARUP, and then we talked with partners</p> <p>11 outside the U.S., LabCo, TDL, and it became very clear</p> <p>12 that Illumina, once they got wind -- especially in the</p> <p>13 international markets that we were speaking to. Our</p> <p>14 partners told us that Illumina was making it very</p> <p>15 difficult for them to actually work with Ariosa, and</p> <p>16 they -- and that Illumina would send in their sales</p> <p>17 team, specifically on NIPT, to try and strike some type</p> <p>18 of different deal and to try to extract those customers</p> <p>19 away from us.</p> <p>20 And what would have been sensible was to allow</p> <p>21 those customers to just acquire the Illumina reagents</p> <p>22 as they had already and then license our technology and</p> <p>23 run the Ariosa test, but Illumina did threaten, you</p> <p>24 know, litigation against their own customers, saying,</p> <p>25 well, you know, that might be in violation of some</p>

Song

Illumina, Inc. and Grail, Inc.

3/24/2021

89	<p>1 patents, et cetera, and -- because those customers told</p> <p>2 me that that was Illumina's -- I'm not sure that</p> <p>3 Illumina ever sued them -- they might have sued TDL --</p> <p>4 but that became a legitimate fear, and then that spread</p> <p>5 through the community, right?</p> <p>6 And this was another example of them using --</p> <p>7 you know, again, the IP -- you know, it was like using</p> <p>8 IP against -- I think -- because we warned the FTC</p> <p>9 about this when they acquired Verinata. We wrote and</p> <p>10 said that this is not good for the industry, and</p> <p>11 unfortunately, it still went forward, and I think</p> <p>12 everything that we warned about ended up happening.</p> <p>13 You know, it was different back then. Maybe</p> <p>14 Illumina wasn't as litigious or people didn't think</p> <p>15 this was going to be that big of a deal, but there were</p> <p>16 remedies that could have easily been put in to avoid</p> <p>17 the depth of the fallout from this.</p> <p>18 But, yeah, Illumina used -- this is what I</p> <p>19 still think about. They literally used their position</p> <p>20 in the marketplace and their acquisition of Verinata</p> <p>21 and then their posturing of IP as a weapon. It</p> <p>22 literally was a weapon that they were using to try to</p> <p>23 annihilate us, Ariosa, as a company, and to try and</p> <p>24 scare their customers to be working only with them.</p> <p>25 Q. After Illumina acquired Verinata, did Ariosa</p>	91	<p>1 A. It required us to halt every single other</p> <p>2 development project that we had to be able to shift</p> <p>3 resources to try and make this work, and there was high</p> <p>4 risk. We weren't sure that it could be done. I think</p> <p>5 people were doubtful because no one had ever done this</p> <p>6 before. But, you know, we had phenomenal scientists</p> <p>7 and developers, and so, you know, it took us about a</p> <p>8 year.</p> <p>9 We started -- I remember -- after that JP</p> <p>10 Morgan meeting and then I think within the first month,</p> <p>11 when I could not get a supply agreement -- because,</p> <p>12 literally, this happened within, like, a week or two --</p> <p>13 I remember discussing it internally with my team, and</p> <p>14 I'm, like, I think we have to fire up this project,</p> <p>15 because I have got a really bad feeling about this.</p> <p>16 And so we pivoted while we were still in the</p> <p>17 negotiations with Illumina, and it's a good thing that</p> <p>18 we did, because had we not done that because -- you</p> <p>19 know, I think we would have been in a really bad</p> <p>20 situation at that point.</p> <p>21 Q. When did Ariosa begin the process of switching</p> <p>22 to Affymetrix?</p> <p>23 A. You mean in our laboratory?</p> <p>24 Q. I mean the research and development process.</p> <p>25 A. Oh, that was, like, within, you know, a couple</p>
90	<p>1 consider switching to another NGS provider?</p> <p>2 A. Absolutely. We even bought a SOLiD sequencer</p> <p>3 from Life Technologies, we had several Ion Torrents,</p> <p>4 but at that time those technologies were just not</p> <p>5 suitable for clinical laboratory testing and the</p> <p>6 throughput that we needed.</p> <p>7 Q. Why were they not suitable?</p> <p>8 A. They just didn't have the throughput or they</p> <p>9 were much slower, and so our turnaround times would be</p> <p>10 much longer. The SOLiD instrument was not reliable in</p> <p>11 terms of its performance. So that's why we needed to</p> <p>12 move to a completely different modality.</p> <p>13 Q. And what modality was that?</p> <p>14 A. We moved over to a gene array from Affymetrix,</p> <p>15 called a GeneTitan.</p> <p>16 Q. And what is a gene array?</p> <p>17 A. It's a -- think of it like -- like on a glass</p> <p>18 surface, there's a lot of different probes or binder</p> <p>19 sequences that are there, and then when you -- when you</p> <p>20 bind DNA fragments to it, those DNA fragments you can</p> <p>21 ultimately attack with a fluorescent-type signal. So</p> <p>22 you end up reading sort of a fluorescent signal from</p> <p>23 your DNA sample on the array.</p> <p>24 Q. What was the process for switching Ariosa's</p> <p>25 test to the Affymetrix array?</p>	92	<p>1 of months after Illumina acquired Verinata.</p> <p>2 Q. And when did Ariosa begin providing its tests</p> <p>3 on Affymetrix?</p> <p>4 A. I think it was about a little bit more than a</p> <p>5 year later maybe.</p> <p>6 Q. You mentioned that Ariosa paused its other</p> <p>7 research and development projects in order to make the</p> <p>8 switch. What were those projects that it paused?</p> <p>9 A. We were working on a test to be able to detect</p> <p>10 something called DiGeorge syndrome. DiGeorge syndrome</p> <p>11 refers to a deletion of a specific portion of the</p> <p>12 chromosome that leads to pretty significant cardiac and</p> <p>13 other defects within a fetus and then ultimately the</p> <p>14 newborn.</p> <p>15 We were -- we had a program there to try to</p> <p>16 develop a noninvasive way to detect that. Ultimately,</p> <p>17 it did get launched -- but, like, years later -- but we</p> <p>18 stopped that project, and there's benefits there,</p> <p>19 right? It can be detected earlier if there were things</p> <p>20 in the pregnancy, or you would have the child delivered</p> <p>21 in a specialized center so that they can be taken care</p> <p>22 of immediately.</p> <p>23 We had projects looking, again, at trying to</p> <p>24 combine infectious disease screening of the pregnant</p> <p>25 women, infectious disease that could impact the</p>

Song

Illumina, Inc. and Grail, Inc.

3/24/2021

93	<p>1 pregnancy, so that was put on hold, and ultimately we 2 just had to stop. We couldn't even develop the product 3 once we moved off the sequencer to an array. It just 4 wasn't possible to do. Yes, but those kind of 5 projects.</p> <p>6 Q. Why would it not be possible to do the 7 infectious disease screening on an array?</p> <p>8 A. We needed some better level of sensitivity to 9 detect that, and so the array, you know -- it's like 10 when you're counting chromosomes, you have many, many 11 different DNA fragments across the entirety of the 12 chromosome that you can look at, and so you have the 13 power of numbers.</p> <p>14 With infectious disease, you know, the genomes 15 are much smaller, and so we weren't sure that the 16 signals would be sufficient, but then also on the array 17 you're kind of limited by the number of different sort 18 of sequence-specific probes that you can analyze. 19 Like, you know, there's scalability that you could do 20 with sequencing, and that's just not possible when you 21 go to an array-based form.</p> <p>22 Q. You also mentioned that there was concern about 23 the risk of switching to an array-based test. What 24 would that risk be?</p> <p>25 A. Technically we just could not make it work,</p>	95	<p>1 have any impact on Ariosa's market share?</p> <p>2 A. Ah, it impacted ultimately, yes, our plans for 3 being able to transfer our technology. So what we did 4 is we moved from a CLIA-based offering to being able to 5 actually provide other laboratories the ability to run 6 our test, and I think it definitely impacted our 7 ability in that second phase of our business because 8 people just didn't like using microarrays. It required 9 them to buy a separate instrument, and they weren't as 10 familiar with -- you know, the sequencing was sort of 11 all the rage, so why would you go backwards and use an 12 array instead?</p> <p>13 So I think it definitely impacted there, and 14 our competitors that were offering testing tried to 15 talk about the fact that the array-based methodology 16 was not as robust, when in reality we published and we 17 shared data that the methods were comparable, but they 18 used that, you know, to sort of say, oh, sequencing is 19 the newer technology, and so, of course, it's going to 20 be better. So that was being counter-detailed against 21 us out in the field.</p> <p>22 Q. You mentioned that labs didn't want to buy a 23 second instrument. Why is that?</p> <p>24 A. Well, there's a capital cost, right, of a few 25 hundred thousand dollars to buy an instrument.</p>
94	<p>1 because we're going from a sequencing digital readout 2 to a fluorescent, more analog-based readout.</p> <p>3 Q. After Ariosa switched to microarrays, what 4 happened with its relationship with Illumina?</p> <p>5 A. Well, it was already pretty bad at that point, 6 so I think we kind of stopped -- we really stopped 7 talking after -- like, we stopped trying to figure 8 things out right after it seemed pretty clear that 9 there wasn't going to be a viable path forward. There 10 was still some back-and-forth trying to make 11 something -- like, because we had not yet switched over 12 to the array, we were still trying to figure out how we 13 could make something work.</p> <p>14 We ultimately succumbed to the idea of just 15 paying this arbitrary test fee to them, and I can't 16 remember if we sort of settled on, like, okay, fine, 17 we'll give you another 25 or 30 bucks per test, which 18 basically tripled our cost structure, and we would have 19 gone forward with that, but they did not accept that.</p> <p>20 Q. Did Illumina provide an explanation for why 21 they would not accept that?</p> <p>22 A. Well, they just felt like it wasn't -- that 23 wasn't the right price, right? They wanted a hundred 24 or whatever.</p> <p>25 Q. Did Ariosa's switch to a microarray technology</p>	96	<p>1 Sometimes there's the space, and then just needing 2 to -- you know, it would have been -- for the array, it 3 would have only been used for NIPT, right, our NIPT 4 test. They wouldn't be able to use it for anything 5 else, whereas with the sequencer, you could use it for 6 NIPT as well as a whole host of other things that they 7 might have been doing.</p> <p>8 Q. What ultimately happened to Ariosa?</p> <p>9 A. So ultimately we ended up getting acquired by 10 Roche, which was good, but -- we actually had plans to 11 go public before we got acquired, but that was 12 completely derailed.</p> <p>13 Q. How did that get derailed?</p> <p>14 A. So, you know, we were obviously going back and 15 forth with Illumina. We were still using their 16 sequencer because we had not yet made the complete 17 shift over to an array-based system. So, you know, we 18 were still dependent on them for supply, right, for -- 19 according to our supply agreement. You know, they had 20 made -- they had made allegations that we may have been 21 in breach of the agreement. We don't -- we never saw 22 it that way.</p> <p>23 But we had filed to go public. We were ready. 24 I was in New York, and it was the day before we were 25 getting ready to launch our IPO road show. We had just</p>

Song

Illumina, Inc. and Grail, Inc.

3/24/2021

97	<p>1 released -- NASDAQ had released a press release on the</p> <p>2 pricing range at 4:00 Eastern, and then five hours</p> <p>3 later I got a letter from Charlie Moehle at Illumina,</p> <p>4 business development, a formal letter saying that they</p> <p>5 were accusing us of breach of our supply agreement and</p> <p>6 that they had the right to terminate and cut off our</p> <p>7 supply to our laboratory, which -- and we were testing</p> <p>8 like a thousand samples a day at that point. So that's</p> <p>9 a material event.</p> <p>10 So we had to put things on pause, and then the</p> <p>11 next day Illumina filed a patent infringement lawsuit</p> <p>12 against us on an assay patent, and then there was a</p> <p>13 press release about that. So that obviously just</p> <p>14 killed the IPO.</p> <p>15 And I remember -- I did have a meeting with Jay</p> <p>16 Flatley -- I had seen him a few months later -- and I</p> <p>17 said, "Wow, Jay, that was -- that was pretty sneaky and</p> <p>18 pretty -- you know, targeted, what you did." He was,</p> <p>19 like, "What are you talking about? We had no idea you</p> <p>20 guys were going public. It was just a coincidence."</p> <p>21 That's, I'm sure, the stance they are going to</p> <p>22 take, but that's a really, really interesting</p> <p>23 coincidence, six hours after the public press release</p> <p>24 of our pricing, the day before our road show, they file</p> <p>25 a material breach and then file a patent infringement</p>	99	<p>1 so this is kind of all the rage now of what Guardant</p> <p>2 Health and Freenome and others are doing, but we were</p> <p>3 early on looking and thinking about this and</p> <p>4 contemplating, you know, how we would do this, but then</p> <p>5 again, once the -- once we couldn't do sequencing,</p> <p>6 those things got killed, because the level of detection</p> <p>7 and sensitivity required sequencing, and it would not</p> <p>8 be detectable on an array. So we -- that project got</p> <p>9 killed.</p> <p>10 Q. Why wouldn't that be detectable on an array?</p> <p>11 A. Just the array doesn't have the level of</p> <p>12 sensitivity to be able to detect such -- these are such</p> <p>13 rare events, even more difficult than looking at fetal</p> <p>14 trisomies, so it was going beyond the technical</p> <p>15 possibilities of an array system.</p> <p>16 Q. Could you have used polymerase chain reaction,</p> <p>17 or PCR?</p> <p>18 A. No.</p> <p>19 Q. Why not?</p> <p>20 A. PCR, you need to look at the -- we're not just</p> <p>21 trying to understand the -- we're looking for a single</p> <p>22 point mutation normally in cancer. So PCR is not going</p> <p>23 to -- you can try to do allele-specific PCR, but that,</p> <p>24 again, is not going to give you the same level of</p> <p>25 sensitivity or detection.</p>
98	<p>1 lawsuit against us. Maybe it's coincidence. It didn't</p> <p>2 look like it to me.</p> <p>3 Q. Did that have any impact on Ariosa's planned</p> <p>4 IPO?</p> <p>5 A. Yeah. We couldn't do it. We stopped. The</p> <p>6 bankers and us, we agreed that there was no way we</p> <p>7 would have been able to pull it off. And the narrative</p> <p>8 is, well, you got acquired by Roche at the end of the</p> <p>9 day. Yeah, we did get acquired. We got acquired for a</p> <p>10 multiple over what our investors had given us, but had</p> <p>11 we been able to do the IPO, had we been able to sort of</p> <p>12 stay on the sequencing platform -- you know, we got</p> <p>13 acquired, right, for up to \$600 million, you know, six</p> <p>14 months later, but we really were in the pole position.</p> <p>15 We were the leading provider of NIPT, and just</p> <p>16 sort of look at -- and we had plans to go into</p> <p>17 oncology, et cetera, and look at where Natera and other</p> <p>18 companies are now, right? Those are \$10 billion-plus</p> <p>19 companies, and I think we easily could have been the</p> <p>20 leader, right, leading the entire diagnostics field.</p> <p>21 So, yes, our investors made out fine, but it definitely</p> <p>22 thwarted our vision of what we thought we could have</p> <p>23 done.</p> <p>24 Q. What oncology plans did Ariosa have?</p> <p>25 A. We were looking at self-circulating tumor DNA,</p>	100	<p>1 Q. Could you have used digital PCR?</p> <p>2 A. No, because, again, with sequencing, sometimes</p> <p>3 these mutations are occurring at different parts in the</p> <p>4 genome, and that's what you ultimately want to detect.</p> <p>5 You know, with digital PCR and with PCR, you have to be</p> <p>6 specifically setting yourselves up and then you're</p> <p>7 reading a very short area, where the sequencing could</p> <p>8 be across a couple hundred bases or you could design</p> <p>9 your primers in a way to short of march up and down a</p> <p>10 gene of interest, and so both technically and also</p> <p>11 costwise, to try to replicate that in PCR, et cetera,</p> <p>12 would have been -- I think it would have been</p> <p>13 prohibitively expensive even if you could do it.</p> <p>14 Q. When did Ariosa first consider looking at</p> <p>15 circulating tumor DNA?</p> <p>16 A. I don't remember exactly when that was.</p> <p>17 Q. Do you remember what applications Ariosa was</p> <p>18 considering for circulating tumor DNA?</p> <p>19 A. Yeah, and it was for mutation detection, for</p> <p>20 early detection, or for treatment, and I know it was --</p> <p>21 I know that we were -- I know that that's what we were</p> <p>22 looking at, because after Roche acquired us, Roche had</p> <p>23 an interest in it, and I remember we were, like, we had</p> <p>24 already thought about this, right? So we were able to</p> <p>25 contribute to some of that thinking.</p>

Song

Illumina, Inc. and Grail, Inc.

3/24/2021

101

103

1 **Q. What kind of earlier detection -- what kind of**
2 **cancers was Ariosa considering detecting?**

3 A. Well, I mean, it was going to be like a
4 pan-cancer, we could look at multiple different types
5 of cancers.

6 MR. NAEGELE: Let's take a short break and go
7 off the record.

8 (A brief recess was taken.)

9 BY MR. NAEGELE:

[REDACTED]

22 **Q. Did you have any discussions with Grail at any**
23 **point?**

24 A. Yeah, we did, but that was a little bit ago.

25 **Q. What was the extent of Omniome's discussions**

[REDACTED]

102

104

1 **with Grail?**

2 A. We had different discussions -- interestingly,
3 with Alex Aravanis, who is now the CEO of Illumina --
4 and also had a discussion with their prior CEO -- I
5 forget her name, she was a woman -- and then actually
6 had met with Hans Bishop -- I think it was at JP Morgan
7 last year, you know, prior to Illumina's acquisition --
8 and it actually was -- we had talked to them about them
9 actually looking to bring in a solution such as ours
10 into Grail.

11 It was interesting because we thought they were
12 using Illumina, but I think they were -- think they
13 actually had a legitimate interest in working with us
14 to improve their cost structure.

15 **Q. How far did those discussions progress?**

16 A. It was mainly discussions. You know, I think
17 there was some understanding technically. We were kind
18 of early at some point -- our technology was still
19 quite early, but there was enough where at least the
20 construct or the idea was entertained of -- and I
21 thought it was interesting that they were open to
22 actually looking at alternative solutions besides
23 Illumina.

[REDACTED]

[REDACTED]

Song

Illumina, Inc. and Grail, Inc.

3/24/2021

<p style="text-align: right;">105</p> <p>[REDACTED]</p> <p>14 Q. Great.</p> <p>15 We're off the record.</p> <p>16 MR. LITVAK: Could I just put on the record at</p> <p>17 the end, that the transcript is, like, highly</p> <p>18 confidential?</p> <p>19 MR. NAEGELE: Yes.</p> <p>20 THE WITNESS: Back on the record?</p> <p>21 MR. NAEGELE: We are back on the record, yes.</p> <p>22 THE WITNESS: So maybe just in conclusion, just</p> <p>23 to share with you some thoughts having been through the</p> <p>24 deposition, and also -- and being sympathetic</p> <p>25 towards -- you know, you are probably talking to a lot</p>	<p style="text-align: right;">107</p> <p>1 alternative technologies that could come to the market</p> <p>2 and could service the needs of these other customers of</p> <p>3 Illumina today and actually provide a reasonable</p> <p>4 alternative, but there are still barriers, right,</p> <p>5 towards that adoption.</p> <p>6 Some of them are related to the P-5/P-7</p> <p>7 adaptors, because it's not just the customers. There's</p> <p>8 a whole ecosystem around that, but freeing that up</p> <p>9 could obviously really open up potential switching and</p> <p>10 make it less painful for customers.</p> <p>11 There's obviously the second read or the</p> <p>12 Paradigm read type technology that's also a big</p> <p>13 barrier, particularly for oncology sequencers, and so</p> <p>14 somehow opening that or not having that become an issue</p> <p>15 would be -- would be helpful.</p> <p>16 But maybe the biggest one is just also how do</p> <p>17 customers just get protected in a way that they feel</p> <p>18 like Illumina is not going to come after them, right,</p> <p>19 with some frivolous lawsuit? It doesn't even have to</p> <p>20 be, you know, potential infringement. And I think</p> <p>21 that's where the concern is.</p> <p>22 And so I think there's a world where Illumina</p> <p>23 could acquire Grail and you could still see good</p> <p>24 competition in the marketplace, but it's going to be</p> <p>25 with some concessions for Illumina to make, because if</p>
<p style="text-align: right;">106</p> <p>1 of people.</p> <p>2 A couple of observations, having -- me having</p> <p>3 operated a clinical laboratory and been a user of</p> <p>4 sequencing and now developing a sequencing technology</p> <p>5 that's meant to compete against Illumina, it's always</p> <p>6 interesting to go down memory lane.</p> <p>7 I think it's incredibly unfortunate what ended</p> <p>8 up happening at Ariosa and the relationship with</p> <p>9 Illumina, and ultimately, through really some Herculean</p> <p>10 efforts, we were able to get off the Illumina platform,</p> <p>11 but we were so nervous on whether or not that was going</p> <p>12 to be possible that really, right up until the end, we</p> <p>13 were still trying to make something work where we were</p> <p>14 willing to increase our cost structure by a factor of</p> <p>15 three or four because the technical risk of switching</p> <p>16 was so high, and I think most people believed it was</p> <p>17 virtually impossible, and yet we were able to get that</p> <p>18 done.</p> <p>19 And, you know, now that I sort of see Illumina</p> <p>20 trying to acquire Grail, I mean, that's definitely</p> <p>21 going to create some challenges, you know, a</p> <p>22 customer/competitor/supplier is also a very tricky</p> <p>23 relationship, but I think the marketplace is a little</p> <p>24 bit different today than it was five or six years ago,</p> <p>25 because I think there are credible sequencing</p>	<p style="text-align: right;">108</p> <p>1 Illumina just acquires Grail and nothing else changes</p> <p>2 and that acquisition happens, I think we're going to</p> <p>3 see exactly what happened in the NIPT space, but</p> <p>4 probably amplified even more, and ultimately I think</p> <p>5 that will be a disservice to, you know, the field of</p> <p>6 medicine, public health, and to patients.</p> <p>7 So, I mean, I just wanted to put that on the</p> <p>8 record. That's my own view, having been in a unique</p> <p>9 position of having experienced it firsthand and having</p> <p>10 lived through that, but then also having a pretty front</p> <p>11 row seat at sequencing technology development and where</p> <p>12 I think, you know, solutions and competition can still</p> <p>13 exist.</p> <p>14 MR. NAEGELE: Counsel -- actually, Dr. Song, is</p> <p>15 there anything that you want to clarify while we're</p> <p>16 still on the record?</p> <p>17 THE WITNESS: Ah, I think -- not that I can</p> <p>18 think of. I guess we'll see a transcript eventually to</p> <p>19 make sure that things are correct?</p> <p>20 MR. LITVAK: Yeah. I can drop in at this</p> <p>21 point. So we will reserve the right to read and review</p> <p>22 the transcript and also want to designate the</p> <p>23 transcript highly confidential because it includes</p> <p>24 sensitive business information and also Omniome's trade</p> <p>25 secrets. With that, Dylan, thank you very much. We</p>

27 (Pages 105 to 108)

Song

Illumina, Inc. and Grail, Inc.

3/24/2021

<p style="text-align: right;">109</p> <p>1 can go off the record. 2 MR. NAEGELE: We're off the record. 3 (Discussion off the record.) 4 (Whereupon, at, 2:02 p.m. Eastern Time, the 5 hearing was concluded.) 6 7 8 9 10 11 12 13 14 15 16 17 18 19 20 21 22 23 24 25</p>	<p style="text-align: right;">111</p> <p>1 CERTIFICATE OF WITNESS 2 3 4 I hereby certify that I have read and examined the foregoing transcript, and the same is a true and accurate record of the testimony given by me. 5 6 7 Any additions or corrections that I feel are necessary, I will attach on a separate sheet of paper to the original transcript. 8 9 10 I hereby certify, under penalty of perjury, that I have affixed my signature hereto on the date so indicated. 11 12 13 DATED: 14 15 16 17 18 _____ 19 KEN SONG 20 21 22 23 24 25</p>
<p style="text-align: right;">110</p> <p>1 DISTRICT OF COLUMBIA, to wit: 2 3 I, Susanne Bergling, the officer before whom the foregoing remote deposition was taken, do hereby certify that the within-named witness personally 4 appeared before me at the time and place herein set out, and after having been duly sworn by me, according to law, was examined by counsel. 5 6 I further certify that the examination was recorded stenographically by me and this transcript is a true record of the proceedings. 7 8 I further certify that I am not of counsel to any of the parties, nor an employee of counsel, nor 9 related to any of the parties, nor in any way interested in the outcome of this action. 10 11 As witness my hand and notarial seal on March 31, 2021. 12 13 14 15 _____s/Susanne Bergling Susanne Bergling Notary Public 16 17 18 MY COMMISSION EXPIRES: 19 3/31/2023 20 21 22 23 24 25</p>	<p style="text-align: right;">112</p> <p>1 WITNESS: KEN SONG 2 DATE: MARCH 24, 2021 3 CASE: ILLUMINA/GRAIL 4 Please note any errors and the corrections thereof on this errata sheet. The rules require a reason for any 5 change or correction. It may be general, such as "To correct stenographic error," or "To clarify the 6 record," or "To conform with the facts." 7 PAGE LINE CORRECTION REASON FOR CHANGE 8 9 10 11 12 13 14 15 16 17 18 19 20 21 22 23 24 25</p>

Song

Illumina, Inc. and Grail, Inc.

3/24/2021

[113]

A				
a.m 1:18	accurate 67:5 111:4	59:15 70:24 87:11	amniocentesis 67:14	appropriate 16:22
ability 28:3 52:1	accurately 6:1	107:5	67:17,18	16:23 28:6
53:11 76:12 77:22	accusing 97:5	advance 29:14	amniotic 67:18	appropriately 6:8
78:22 79:1 87:5	achieve 21:11,17,21	47:24	amount 14:15 20:9	approval 32:11,17
95:5,7	acquire 88:21	affect 85:25	65:18 70:4 81:3	33:1,3,14,17 34:2
able 5:25 7:11 9:4	106:20 107:23	affixed 111:10	amplified 62:12	34:7 35:19 36:2,24
14:9,14 15:11,24	acquired 6:22 11:13	affordability 69:12	108:4	approved 34:23
16:4 18:24 21:11	73:15 74:18 77:19	affordable 68:21	amplify 45:21	35:2,9,11,18 36:2
21:16,21 22:1,10	83:14 89:9,25 92:1	Affymetrix 60:21	analog-based 94:2	37:6
25:7,12 30:1,3	96:9,11 98:8,9,9	90:14,25 91:22	analysis 14:18	approximately 11:9
32:16 44:14 45:7	98:13 100:22	92:3	analyze 64:5 68:2	13:25 15:12
46:2 48:5 49:8,9	acquires 108:1	age 68:13	93:18	Aravanis 102:3
51:1 53:17,19 54:9	acquiring 66:12	aggregation 48:16	Anderson 2:20 4:22	arbitrary 80:24
56:2,19 57:16	73:9 76:24	aggressive 40:8,15	4:22	94:15
60:18 65:22 69:3	acquisition 6:5 73:2	45:8	annihilate 89:23	area 11:1 30:17,21
69:20 76:3,16	73:3 87:14 88:2	Agilent 48:3	announcement 73:9	84:23 100:7
78:18 79:13 81:1	89:20 102:7 108:2	ago 59:25 101:24	73:14	areas 5:19
83:25 86:9,14 87:7	action 110:9	106:24	answer 6:7 7:9,23	argue 23:20
91:2 92:9 95:3,4	actions 41:9 87:13	agreed 73:25 74:19	7:25 48:25	Ariosa 6:20,22 11:5
96:4 98:7,11,11	active 103:1	79:3 98:6	answered 7:22	12:15 40:20 43:13
99:12 100:24	activities 32:6	agreement 58:21	answers 7:7,10,12	44:2,3,17 46:10
104:3 105:3	actual 13:24 16:5,16	59:2 70:9 72:24	anticipate 9:5 14:2	60:14 61:1 63:20
106:10,17	104:13	75:23 76:20,23	15:8 25:22 27:16	63:20,21 64:10,19
abnormalities 66:7	adapted 49:19	77:3,8,15 79:4,6	28:8 29:7 31:3	64:20,23 65:1,8,14
66:22	adaptor 46:24,25	79:17 81:2 83:10	32:11 33:16 38:3	68:10,23 69:3 70:6
above-entitled 1:16	48:8,13 50:7 51:20	83:12 84:1 86:10	38:10,13 39:9	72:20,21 73:4,17
absolutely 46:1 69:9	54:2,3 57:4,8	87:2,3,6 91:11	44:13 51:21 52:13	76:2 78:18,22,25
73:5 90:2	adaptors 45:24 46:1	96:19,21 97:5	52:14,18 55:16	81:16 83:8 84:4,16
academic 29:4	46:4,13,15,19,23	agreements 19:18	anticipated 30:11	85:1,24 87:21 88:4
accept 94:19,21	47:6,9,12,13 48:4	47:16 58:17,22	anticipates 56:22	88:15,23 89:23,25
acceptance 31:25	48:12 49:2 52:6	86:8	Aoy 64:12	91:21 92:2,6 94:3
56:22	53:10 54:5 55:5	Ah 48:13 70:20 95:2	APPEARANCES	96:8 98:24 100:14
access 26:2,5,15	57:1 61:2 62:19	108:17	2:1	100:17 101:2
27:17 28:11,11,23	107:7	Alex 102:3	appeared 110:4	106:8
29:1,8,12,17 30:13	add 7:24	allegations 96:20	appearing 4:15 5:12	Ariosa's 76:8 77:20
30:22 31:8,10	additional 22:15	allele-specific 99:23	applicable 27:14	81:20 83:15 85:25
56:10,14 104:21	26:12,22 27:3	allow 26:13 88:20	39:7 80:25	88:5 90:24 94:25
105:12	additions 111:6	allowed 32:8	application 38:7	95:1 98:3
accessing 8:9	address 8:14	alpha 24:13,17,20	39:2 44:4 47:7	army 44:12
accommodate 20:16	adequate 43:18	alternative 50:2	71:13	arrangements 19:18
22:21 50:3	adjustment 79:3	102:22 107:1,4	applications 30:10	array 90:14,16,23
accomplished 26:21	adjustments 79:5	amassed 40:17	33:17 38:3,8,25	90:25 93:3,7,9,16
accuracy 20:17,19	admin 13:23	amazing 82:10	39:6 44:1 58:20	94:12 95:12 96:2
20:22,24 21:6	adopt 50:8 56:3	amendment 81:2	100:17	99:8,10,11,15
103:21	adopted 48:1	83:12	approach 35:22	array-based 60:22
	adoption 31:25	amniocenteses	66:15 69:15,21	93:21,23 95:15
	45:15 50:11 55:17	87:17,23	approaches 66:6,14	96:17

For The Record, Inc.

(301) 870-8025 - www.ftrinc.net - (800) 921-5555

Song

Illumina, Inc. and Grail, Inc.

3/24/2021

[114]

<p>ARUP 88:10 aside 49:6 52:1 asked 32:4 71:15 74:20 78:25 asking 6:9 9:6,12 82:5 aspect 37:8 40:9 52:9 60:16 aspects 23:8,12 25:25 48:15 assay 47:10 49:4 51:23 56:7 97:12 assays 45:11 52:14 52:17 assessment 41:16 assistance 34:3,6 56:12 associate 10:14 50:23 assume 35:13 36:16 37:14,15 assumption 77:9 assurance 74:15 75:4 assurances 74:6 assure 73:16 attach 111:7 attack 90:21 attempt 84:4 attempting 81:20 attention 40:8 attorney 4:16 8:23 attorneys 9:23 audience 31:4 audit 18:3 available 27:5 35:12 52:12,14 54:19,22 54:23 55:8,25 60:23 66:3,25 87:22 average 15:16 average-risk 76:4 85:25 87:8 avoid 68:5 87:22 89:16 aware 33:24 42:22</p>	<p>B</p>	<p>55:4 63:22 65:1 69:17 71:21 73:21 74:9 77:24 78:16 79:15,21 82:23 103:9 believed 106:16 belly 67:20 belongs 50:25 63:5 63:10,13 bench 20:14 benchtop 20:1 22:2 benefit 33:2 benefits 92:18 Bergling 110:2,14 110:15 best 7:13 beta 24:3,7,22,23 25:5,11,12 104:20 betas 28:18 better 93:8 95:20 beyond 22:16 26:8 76:11 99:14 BGI 39:9,11,14 big 17:2 44:16 47:15 49:10 51:25 55:7 59:21 83:22,23 89:15 107:12 bigger 85:4 biggest 38:1 107:16 Bill 75:15 billion 84:20 billion-plus 98:18 bin 51:2 bind 90:20 binder 90:18 binding 18:21 19:4 19:7,9 biochemistry 21:15 23:3 biology 10:4 Biosciences 38:14 38:17 biotechnology 11:19 births 85:10 Bishop 102:6 bit 7:5 11:16 14:1 30:16 40:23 41:8</p>	<p>50:3 59:3 64:17 65:25 77:16 92:4 101:24 104:1 106:24 blah-blah 73:20 blah-blah-blah 74:8 blah-blah-blah- 73:19 bleed 42:25 blood 62:4 63:25 64:4,5 76:14 board 5:9 12:4 16:19,20,22,25 17:6,15,18 18:1,4 18:7,12 84:16 boat 83:3 bottom 51:7 bought 65:3 90:2 Boulevard 2:22 box 13:6,9 boy 78:9 breach 96:21 97:5 97:25 break 8:2,4 9:3,16 37:19 101:6 breakfast 74:1 82:11 breakout 9:4 breaks 9:22 bridging 60:6,7 brief 10:10 37:22 101:8 briefly 10:1 bring 84:11 102:9 bringing 82:4 broad 22:6 35:4 56:22 68:22 broader 87:11 broadly 27:14 39:7 bucks 82:3 85:23 94:17 budgeted 81:1 buffers 19:16 build 28:3 building 48:4 built 46:16 bulk 34:9</p>	<p>bully 44:16 bunch 51:1 burden 34:9 bury 42:23 business 32:5 41:5 65:15 70:25 72:1,5 83:19 84:4,19 95:7 97:4 108:24 buy 34:21 54:22 77:2 95:9,22,25 buying 71:13 79:8 buys 54:16</p>
	<p>C</p>		<p>C 4:1 calculated 15:13 California 2:23 8:17 10:6,17 11:1 13:14 call 24:6,15,17,20 52:25 73:7,12 78:22 79:1 called 4:10 5:7 11:5 11:7 15:3 20:20 22:13 24:13 29:24 30:19 38:18 45:19 45:23 46:1 50:18 63:8 64:12 66:10 66:11,15,16 67:14 90:15 92:10 Campus 8:16 cancer 10:22 77:13 99:22 cancers 101:2,5 capital 10:25 25:17 95:24 capitalized 42:22 43:1 cardiac 92:12 care 57:12,13 67:1 68:9 75:1 81:22 82:12 92:21 career 10:11 careful 41:16 42:7 carefully 41:14 Carolina 84:6 carries 68:3 case 5:22 6:17 41:4</p>	

For The Record, Inc.

(301) 870-8025 - www.ftrinc.net - (800) 921-5555

Song

Illumina, Inc. and Grail, Inc.

3/24/2021

[115]

44:2,3 104:14 112:3 cash 25:19 42:25 category 68:17 causing 48:15 CC'd 75:6 CDA 72:14 cell 9:7 cell-free 66:8,13 cells 66:23 center 10:23 92:21 CEO 11:8,25 12:2 17:5 26:11 63:20 64:18 65:5,7 70:10 102:3,4 105:8 certain 38:15 Certainly 36:22 CERTIFICATE 111:1 certify 110:3,6,8 111:3,9 cetera 13:24 14:11 17:1 23:4 28:18 47:17 70:12 86:5 89:1 98:17 100:11 CFO 70:12 71:25 chain 99:16 chairman 5:9 11:24 12:4 16:18 18:5 74:4 75:16 challenge 55:17,19 55:24 challenges 48:11 51:22 56:21 57:1 106:21 change 47:21 49:6 49:23 50:3 60:9 61:4 63:19 73:3 112:5,7 changed 18:25 37:14 56:19 61:8 73:6 85:24 86:3 changes 19:6,8 30:12,14 108:1 changing 27:9 47:9 48:7,11 61:7 channel 83:20 84:3	charge 80:2,6 charging 80:6 Charles 82:14,15 Charlie 97:3 cheaper 86:6 check 58:16 chemistry 18:18,20 18:21,25 19:3,5,7 19:10 chicken 48:2,10 chief 11:4,20 72:8 child 92:20 Christian 71:23 chromosome 78:20 92:12 93:12 chromosomes 93:10 circulating 64:4 66:13 100:15,18 clarify 7:16 108:15 112:5 classify 23:12 24:1 clear 41:18 42:8 57:6 88:11 94:8 clearly 55:6 81:13 CLIA 29:21 32:10 33:7,9 CLIA-based 31:21 63:25 95:4 clinical 13:1 29:6,17 29:18 30:2,2,5 31:9 32:22 38:8 46:18 53:21,23 59:8 60:1 90:5 101:12 104:13 106:3 clinically 68:24 close 17:4 65:9 closest 37:24 38:1 clustering 16:6 45:23 54:5 clusters 15:25 coincidence 97:20 97:23 98:1 collaboration 83:19 college 10:2 COLUMBIA 110:1 combination 67:2	combine 92:24 come 28:14 37:19 41:2,21 42:3 54:11 61:3 86:9,24 107:1 107:18 comes 42:12 63:11 comfortable 24:24 26:13 28:7 coming 73:14 75:5 75:21 commercial 20:1 26:19 27:11 28:4,8 28:13,21 74:16 commercialization 23:19 26:4,9,20 42:13 77:1 commercialize 13:4 27:24 30:23 42:24 commercialized 66:2,9 commercializing 85:3 commercially 31:2 54:19,21,23 64:21 66:25 Commission 1:1,13 2:3,6 4:16,25 110:18 committed 73:17 74:7 86:2 committees 18:2,2,3 18:13 common 16:5 51:2 51:11 77:24 communicate 9:11 20:21 communicated 103:16 communication 8:22 9:1,21 17:19 communications 32:1 community 89:5 companies 11:3 31:14,18 32:19 40:15 42:23 46:7 47:18 48:19 59:25	70:23 78:12,15 81:17,21 82:21 98:18,19 101:11 101:12,14,14 company 5:7,21 6:15,17,19,20 10:13 11:5,8,10,13 11:19 12:23,24 13:8 17:9,12 25:18 25:18 37:16 39:24 42:21 43:19 50:15 51:22 52:25 59:23 60:18 63:21 65:12 66:10,11 84:17,21 88:5,6 89:23 comparable 61:23 95:17 compare 22:5 compared 85:4 compatible 36:10 48:5 53:10,20 57:23 compensation 18:2 compete 22:12 81:8 106:5 competing 38:4,10 38:13 39:9 competition 6:24 7:1 107:24 108:12 competitor 38:2 39:13 74:13 competitor's 43:9 competitors 37:25 86:19 95:14 complete 7:24 21:18 40:25 96:16 completed 10:16,19 completely 56:2 87:19,24 90:12 96:12 component 82:2 components 23:1 24:7,11 25:1 36:1 37:3,4 55:14 concept 49:16 63:11 63:24 83:13 concern 44:24 45:5	58:13,21 59:14,24 93:22 103:23 104:2 107:21 concerned 41:21,22 75:12 concerning 81:15 concerns 17:25 48:21,23 57:2 59:16 74:12 concessions 107:25 concluded 109:5 conclusion 105:22 conditions 64:7 67:6 67:11 77:24 conducted 7:6 conducting 7:18 9:19 conference 72:10 confident 41:24 confidential 72:13 72:15 105:18 108:23 confidentiality 72:14 configuration 19:25 conflict 73:19 conform 112:6 confusing 6:7 consents 17:1 consequence 67:12 consider 26:1 83:17 90:1 100:14 considerably 69:18 consideration 42:12 considered 68:13 considering 18:18 26:2 100:18 101:2 consistent 42:4 construct 102:20 constructed 50:17 consulting 10:13 consumables 19:13 19:19 consume 15:5 consumed 66:19 consumer 55:13 consummate 81:2
---	--	---	---	--

For The Record, Inc.

(301) 870-8025 - www.ftrinc.net - (800) 921-5555

PX7071-032

Song

Illumina, Inc. and Grail, Inc.

3/24/2021

[116]

consumption 69:21	counting 93:10	58:20 59:6,13,16	48:13 49:14 60:8	14:3 16:12 17:21
contact 9:5,15,22 17:5,19 18:15	couple 23:15 42:15 63:15 79:21,22 91:25 100:8 106:2	83:15,21 88:18,21 88:24 89:1,24 107:2,7,10,17	77:10	19:7 21:13 22:23
contemplate 35:8	course 57:18 95:19	cut 97:6	depends 26:11 30:15 36:20 53:11	23:5,9,11,13,14,14 23:16,20,23,24
contemplating 99:4	court 4:6 7:8,10	CVS 67:14	deposition 6:10,13 6:21 105:24 110:3	24:2,2,16 27:13
content 36:5	Crane 71:25 82:14 82:14	D	depth 89:17	28:20 32:6 42:6,9 55:23 60:16,19
context 62:2,25 76:9	create 42:16,17 62:14,15 106:21	D 3:1 4:1	derailed 96:12,13	71:7 72:1,5,6,17 91:2,24 92:7 97:4
continuation 74:17	creating 62:21	D.C 2:8,16	describe 10:1 12:21	108:11
continue 12:2 30:22 87:6	credible 106:25	data 14:15 32:9 63:5 95:17	DESCRIPTION 3:8	device 8:20
continued 12:3 70:24 71:2 87:10	cube 20:3	data-sharing 103:3	design 23:10 32:24 46:9 47:6 48:8	diagnostic 11:12 12:16 30:2,5 33:6 66:6 85:7
contracts 32:3,4	cubicle 72:11	date 23:1 25:14,20 111:10 112:2	49:7 52:22 53:9,9 53:22 55:12 56:5 63:6 100:8	diagnostics 11:6,10 31:17 32:22 43:13 70:12 72:2 98:20
contribute 100:25	cumbersome 30:20	DATED 111:13	designate 108:22	dialogue 103:1
control 36:2 44:19	current 5:6 8:14 14:5 15:10 19:25 21:12,12 23:24 24:21 32:1 56:16 104:10,17 105:5	Davis 2:14 4:20	designed 29:23	dictate 81:12
controlled 47:4	currently 5:10 8:9 29:2 30:6 31:6 39:14 41:19 68:8	day 66:25 73:5 96:24 97:8,11,24 98:9	designed 52:7 69:23	Diego 2:23 8:16 11:18 13:14
conventionally 15:1	customer 14:15 20:7 26:16,16,23 27:12 27:14 31:4,5,25 33:25 34:6,9,20 36:9,23 43:7,22,24 43:25 44:5 46:17 52:21,21 53:23 54:6 56:14 58:23 58:25 65:1,2,11 83:18,22,23	days 44:17	despite 29:24 41:20 81:2	difference 24:21 38:19
conversation 39:20 73:1 88:4	customer's 53:7	de 47:5	detail 34:1 65:18	different 5:19 19:3 21:16,19,20 22:7 22:20 23:1,4,4,18 24:16 25:6,25 27:21 30:7,24,25 34:17 35:5,19 36:1 38:17 40:15 46:24 54:25 55:14 60:6 60:15 61:6,21,24 64:6 66:14 73:5 77:10,12,16 78:8 79:17,22,22,24 81:4 83:21 85:19 86:17 88:18 89:13 90:12,18 93:11,17 100:3 101:4 102:2 106:24
conversations 31:17 59:20	customer/competi... 106:22	deal 84:9 88:18 89:15	detailed 65:20	DiGeorge 92:10,10
cooperation 34:24 35:3,5	customers 20:9,13 28:23,25 29:1,8,17 30:14 31:8,10 32:2 33:16 34:2,12 35:23 40:16 43:2,2 43:16,16 45:10,15 46:9 47:14 50:8 51:19 52:12 53:14 53:21 55:11,18,20 55:21 56:2,6,10,15 57:8,11 58:15,18	dealing 83:6	details 5:23	diligence 39:23 40:2 40:5
copies 62:14,16,16		debt 25:18	detect 18:24 66:6 76:12 78:9 92:9,16 93:9 99:12 100:4	
copy 78:1,6,7		decide 30:21 43:8	detectable 99:8,10	
corporate 72:5,13		deck 60:18	detected 92:19	
corporation 1:4,7		deconvolute 63:4	detecting 66:21 67:5 101:2	
correct 8:9 108:19 112:5		defects 92:13	detection 60:15 61:8 78:13 99:6,25 100:19,20 101:1	
correction 112:5,7		defined 23:23	develop 16:15 19:13 53:9 54:17 56:7 63:24 92:16 93:2	
corrections 111:6 112:4		definitely 33:4 43:22 55:10 58:5 59:24 71:22 81:23 81:24 84:10 95:6 95:13 98:21 106:20	developed 11:10,11 31:22 45:25 66:12 69:21,23	
cost 65:20 69:24 72:16 75:22,25 76:2,18 79:10,13 81:9,12,17 82:21 85:3 86:15,16,17 86:20 87:7 94:18 95:24 102:14 106:14		degree 10:7 60:9	developers 91:7	
costs 79:20 81:20		delay 28:20	developing 13:3 14:7,8 15:18,21 16:8 18:17 19:1 25:15 41:15,18 52:4 57:18 63:22 106:4	
costwise 100:11		deletion 92:11	development 13:19	
counsel 4:4 5:24 41:14 108:14 110:5,8,8		deliberate 59:5		
counter-detailed 95:20		deliberately 47:3		
		deliver 21:5		
		delivered 92:20		
		depend 35:25		
		dependent 96:18		
		depending 28:15		

For The Record, Inc.

(301) 870-8025 - www.ftrinc.net - (800) 921-5555

PX7071-033

Song

Illumina, Inc. and Grail, Inc.

3/24/2021

[117]

<p>dimers 48:16 direct 13:20 37:16 59:20 direction 17:11 directly 38:15 40:20 58:12 68:1 83:24 105:3 disadvantage 58:2 disappear 82:10 disclosures 16:23 disconcerting 67:21 81:15 discovery 11:20 discretely 59:1 discuss 9:4 73:24 discussed 5:24 18:1 19:20 33:15 72:12 74:11 104:25 discussing 26:11 91:13 discussion 75:8 102:4 104:13 109:3 discussions 31:15,16 33:25 71:3 75:11 101:13,15,22,25 102:2,15,16,25 103:4,7 104:11,16 104:18 105:6,7 disease 30:9 76:13 92:24,25 93:7,14 disposal 8:23 disservice 108:5 distinctly 73:10 distinguished 38:22 distraction 42:20 distribution 84:3 DISTRICT 110:1 DNA 12:6,12,16 13:4 14:8,10 15:19 15:20,22 45:21 49:17,21 50:19,20 51:5,8 62:6,7,8,11 62:20,21 64:3,3,6 66:8,13 90:20,20 90:23 93:11 98:25 100:15,18</p>	<p>dnaegele@ftc.gov 2:10 doctor 64:2 76:15 documentation 32:18 documents 5:21 doing 6:21 10:22 14:17 30:6,7,8 31:6 34:3 46:17 49:15 50:1 55:23 55:25 60:8 70:6 71:7 74:6 79:7 80:12 96:7 99:2 dollars 13:24 25:21 26:6,22 27:4 77:14 79:23,25 95:25 dominance 40:22 dominant 45:17 doubtful 91:5 Doug 2:13 4:20 douglitvak@dwt... 2:18 Down's 64:7 downstream 16:16 dozens 46:7 Dr 5:3 10:1 37:24 101:10 108:14 drafts 79:17,22 dramatic 60:20 drive 8:16 84:18 drop 108:20 drug 11:19 due 39:23 40:2 68:7 87:13 duly 4:11 110:4 Dylan 2:4 4:15 108:25</p> <hr/> <p style="text-align: center;">E</p> <hr/> <p>E 3:1 4:1,1 earlier 7:25 30:11 32:10 39:20 73:1 76:8 92:19 101:1 early 23:8,11,13,13 23:14 26:2,3,5,15 27:17,18 28:10,11 28:22 29:1,8,12,17</p>	<p>30:13,18,22 31:8 31:10,16 56:10,14 64:15 66:11 71:6 79:15 99:3 100:20 102:18,19 104:20 105:12 early-stage 11:2 ease 55:10 easier 7:12 33:6 50:6 easiest 25:16 easily 50:5 75:14 89:16 98:19 East 2:15 Eastern 1:18 97:2 109:4 economics 35:15 85:20 86:11 ecosystem 107:8 educate 53:15 educational 10:2 effect 87:9 effectively 85:16 efficient 60:25 66:17 efforts 16:7 42:24 60:19 106:10 egg 48:2,10 eight 70:18 either 9:18 22:18 43:17 47:3 55:22 71:8 elements 15:23 27:8 50:10 61:7 else's 52:3 54:10 57:24 email 73:23 75:6 emails 9:18 employed 5:10 employee 17:23 110:8 employees 13:15 employer 5:6,7 emulsion 30:19 55:3 ended 43:14 45:18 66:12 86:10,13 87:16 89:12 96:9 106:7</p>	<p>ends 62:11 engaged 41:13 engaging 39:21 enhancement 27:2 enormous 81:3 ensure 40:21 41:9 41:17 51:8 60:5 68:20 74:14 ensuring 17:3 enter 23:5 entered 16:12 23:10 23:22 entering 23:13 entertained 102:20 entire 49:7 52:7 98:20 entirely 22:20 entirety 16:14 21:17 50:20 51:4 93:11 enzymes 19:21 equal 83:1,2 equipment 20:15 errata 112:4 error 20:24 21:2,4 112:5 errors 51:10 112:4 especially 33:7 70:20 88:12 ESQ 2:4,5,13,20 essential 15:22 24:11,25 46:2 essentially 57:12 86:9 established 68:21 103:5 estate 40:18 42:1 et 13:23 14:11 17:1 23:4 28:18 47:17 70:12 86:5 89:1 98:17 100:11 evaluate 105:3 evaluated 21:14 event 36:23 97:9 events 99:13 eventual 32:14,15 eventually 11:8 78:20 108:18</p>	<p>everybody 80:9 81:10 87:22 everyone's 105:12 evolve 15:10 19:24 exact 13:17 23:1 31:15 61:14,15,18 77:11,17 82:25 101:16 104:11 exactly 77:21 82:18 100:16 108:3 examination 3:3 4:10,12 110:6 examined 4:11 110:5 111:3 example 89:6 Excellent 8:8 9:25 exception 104:7 excited 104:3 exciting 85:6 exclusive 58:15 excusing 75:11 executed 83:11 executive 11:5,20,24 17:22 executives 17:6 70:11 exerted 58:4 EXHIBITS 3:8,12 exist 53:2 108:13 existed 61:10 existing 45:10 49:4 51:22 53:4,6 expanding 44:22 76:11 expect 19:23,25 20:17 22:4 25:10 37:24 53:14 expectation 40:24 41:1 42:2 expected 42:16 expensive 100:13 experience 34:5 43:12 experienced 40:19 108:9 experimental 21:20 expert 35:6 37:15</p>
---	--	---	--	---

For The Record, Inc.

(301) 870-8025 - www.ftrinc.net - (800) 921-5555

PX7071-034

Song

Illumina, Inc. and Grail, Inc.

3/24/2021

[118]

expertise 25:3 28:6	33:5,9,12 34:14,18	finalizing 29:10	follows 4:11 12:10	functions 13:23
EXPIRES 110:18	34:19,22 35:24	financing 17:22	followup 75:6	further 16:16 26:18
explain 7:5 80:5	37:10	64:17	101:10	27:4 28:21 37:7
81:5	fear 89:4	fine 94:16 98:21	football 70:15	105:11 110:6,8
explaining 40:11	features 27:2 32:24	fingers 59:9	footprint 20:10 48:8	future 22:17 42:5
explanation 94:20	Federal 1:1,13 2:3,6	finite 15:6	forced 81:3	72:17
explicit 32:13	4:16,24	fire 91:14	forecast 14:5	
explicitly 33:19	fee 79:18,23 80:1,3,7	firewall 72:20,25	foregoing 110:3	G
expressed 44:23	80:22,24 82:24	firewalls 72:20	111:4	G 4:1
48:20 103:23	83:1,13 85:21 86:5	firm 10:13,25	forget 102:5	Gabe 101:18
extension 18:23	94:15	first 4:10 14:4 20:1	form 8:22 9:1 83:25	Garrett 2:20 4:22
77:6	feedback 26:16,16	22:19 27:16,19	93:21	gastroenterology
extent 9:14 38:15	26:23 27:12 28:17	28:12 29:8,22	formal 18:4 23:16	10:20
60:7 101:25	30:13,15 32:23	32:20 40:4 55:1	23:20 97:4	gears 18:16 39:18
externally 67:20	65:11	59:23 60:16 63:12	forth 79:25 96:15	45:9 63:19 80:20
extract 88:18	feel 24:24 26:13	63:24 64:19,20,23	forward 89:11 94:9	gene 78:4,5 90:14,16
eye 23:19	28:7 41:24 81:25	65:1,2,2 66:2,3,9	94:19 104:3	100:10
eyes 70:14,19	107:17 111:6	68:10 70:9,22 80:8	found 30:19 70:5	general 20:12 57:4
	feeling 91:15	82:15 91:10	foundational 61:25	58:8 63:24 112:5
F	fees 83:8	100:14	66:1	generally 32:19 34:8
face 52:5	feet 20:3	firsthand 108:9	founded 12:24	generate 14:14 15:5
facing 56:22	fellowship 10:19,20	Fisher 38:11,16 39:7	13:11,12 64:10	15:24
fact 67:11 70:3 85:8	felt 27:1,13 81:24	55:2,5	founders 64:11	genetic 14:10 64:6
95:15	82:1,2 94:22	fit 20:13 68:17	founding 11:6	67:10 77:24
facto 47:5	female 64:9	fitting 20:15	four 86:23 106:15	genetics 68:2 83:23
factor 75:23 106:14	fetal 64:3,6 66:6,13	five 11:9 70:18 97:2	fragments 62:7,8,11	GeneTitan 90:15
factors 42:11,14	66:21,23 68:4 78:9	106:24	90:20,20 93:11	genome 39:4 100:4
facts 112:6	78:12,18,23 79:1	Flatley 65:7 70:10	Francisco 10:7,14	genomes 93:14
fair 14:15 65:17	87:18,25 99:13	71:5,21 73:7 74:3	10:18 11:1	getting 26:5 28:18
81:25 82:17	fetus 64:3,9 67:6,10	74:25 75:7,10	Fred 10:22	32:23 65:3 80:12
fairly 34:10 40:5,8	67:15,24 68:2	97:16	free 42:8	80:18 81:25 82:3
40:17 67:19 104:5	77:24 92:13	flattering 84:19	freedom 40:25	82:13 85:16,21
fall 11:18,21 39:6	fewer 38:25 87:15	flew 84:6	41:10	86:6 87:16 96:9,25
fallout 89:17	fidelity 51:9	flexibility 54:8	freeing 107:8	103:9
false-positive 67:7	field 70:15 74:21,22	69:25	Freenome 99:2	giant 45:7
67:12 68:8	74:23 76:8,9,19,22	flow 16:14 49:19,20	101:17,18 105:6	gigabases 15:3,4,12
familiar 95:10	77:6,8,11,20 79:2	50:3 53:19 54:1	frequent 18:14	15:17
familiarity 29:2	80:9,13,16,17 81:6	56:17 104:4	frivolous 107:19	girl 78:10
far 8:11 102:15	82:8 83:2 95:21	fluid 67:19,24,25	front 49:8 108:10	give 10:10 14:1 45:5
fascinating 87:2	98:20 108:5	fluidics 23:3	FTC 89:8	74:22 75:4 79:7
fast 61:22	figure 32:21 84:8	fluorescent 90:22	full 8:14 26:3,9,20	94:17 99:24
faster 61:5	94:7,12	94:2	full-scale 26:18	given 6:10 20:25
FDA 32:11,17,25	file 58:10 97:24,25	fluorescent-type	28:13,20	40:8 74:5,24 98:10
33:3,14,17 34:2,7	filed 41:7 96:23	90:21	full-time 5:7	111:4
35:2,19 36:13,24	97:11	focus 13:3 14:12	fully 6:1 24:8 27:10	giving 65:11
37:1,7	filing 42:18	63:22	30:22 104:2	glass 19:16 90:17
FDA-approved 33:5	finalize 29:13	follow 75:3	function 12:3 25:8	go 16:4 26:18 27:10

For The Record, Inc.

(301) 870-8025 - www.ftrinc.net - (800) 921-5555

PX7071-035

Song

Illumina, Inc. and Grail, Inc.

3/24/2021

[119]

27:12 33:10 40:15 43:8,15 45:7,22 57:10,25 62:8 77:5 93:21 95:11 96:11 96:23 98:16 101:6 106:6 109:1 goal 12:24 22:1 32:14,15 65:21 84:18 87:20 goals 84:13 going 5:23 6:3 17:10 17:20 26:13 37:18 41:2 43:22 45:7 48:7 52:5,25 53:2 60:21 71:12 75:13 75:24 77:13 79:19 83:24 89:15 94:1,9 95:19 96:14 97:20 97:21 99:14,22,24 101:3 103:1 106:11,21 107:18 107:24 108:2 good 4:14 17:23 31:14 89:10 91:17 96:10 105:7 107:23 governance 16:21 graduated 10:5 Grail 1:6 6:5,6 101:22 102:1,10 106:20 107:23 108:1 great 31:22 52:24 64:25 70:24 71:12 73:24 75:1,7 87:10 105:14 Greg 72:2 group 13:21 Gs 15:4 Guardant 31:18 99:1 101:16 104:18,22,25 guess 25:16 29:16 36:6,19 54:12 64:19 71:8 108:18 guest 73:10 guidance 56:18	guided 42:10 guys 73:18 97:20 <hr/> H <hr/> Haley 64:13 half 25:13 27:19 28:12 halt 91:1 halted 60:19 hand 110:10 hands 60:17 Hans 102:6 happen 17:7 26:24 28:1 47:23 75:14 86:21,21 happened 46:14 78:25 88:2 91:12 94:4 96:8 108:3 happening 9:9 45:18 86:13 89:12 106:8 happens 28:16 108:2 happy 7:16 9:15 75:1 hard 24:15 30:16 hardware 13:6 24:11 Harris 71:25 82:14 82:15 head 7:11 70:11 health 11:12 30:10 31:18 99:2 101:16 104:18,22,25 108:6 healthcare 11:3 69:12 hear 7:15 75:1 heard 84:15 hearing 1:12,17 5:18 7:6,19 8:20 9:6 109:5 hearsay 84:15 Heath 72:2 help 9:8 19:20 56:11 84:11 helped 86:19	helpful 107:15 helps 55:10 57:19 Henry 71:24 Herculean 106:9 hereto 111:10 high 12:21 14:13 67:10 68:7 91:3 106:16 higher 22:21,22 68:13 highly 105:17 108:23 HiSeq 65:2,11 history 27:22 Hmm 34:4 hold 93:1 holds 103:25 honestly 39:16 honor 74:23 87:5 honored 75:19 hope 25:12 hopes 13:4 host 96:6 hour 37:19 hours 97:2,23 house 73:11 HR 13:23 human 39:4 hundred 41:22 63:15 70:17 79:23 79:25 94:23 95:25 100:8 hundreds 38:23 hurdle 56:4 hurt 84:14 husband 64:11 Hutchinson 10:22 <hr/> I <hr/> ID 3:8 54:14 idea 94:14 97:19 102:20 identified 28:22 31:10 63:9 identifying 23:17 ignore 54:13 IH 9:19	Illumina 1:3 6:4,4,6 6:18 12:17 22:5,6 22:13 36:8 38:1,4 38:16 39:6 40:13 40:14,25 41:2,21 42:2,16,23 43:10 43:15 44:5,7 45:11 45:17,24 46:2,13 47:1 51:16,18 52:2 53:24 54:17 57:6,7 57:10,16,17,25 58:8,13,24 59:7,12 59:21,23 60:14 64:24,25 65:4,13 65:15 66:12,20,20 69:22 70:3,5,25 72:11,19,22 73:9 75:16,21 77:7,19 78:22,25 79:5,10 79:19 80:5 81:5,11 81:19 82:7,16,20 83:8,14,23,25 84:8 84:13,16 85:1,14 85:16 86:8 87:1,3 87:5 88:3,12,14,16 88:21,23 89:3,14 89:18,25 91:17 92:1 94:4,20 96:15 97:3,11 102:3,12 102:23 103:14,25 106:5,9,10,19 107:3,18,22,25 108:1 Illumina's 40:8,12 41:13,14 43:2,25 57:19 58:19 62:12 73:2,3,3 77:3 85:24 86:4,18 87:13 89:2 102:7 ILLUMINA/GRA... 112:3 imagine 77:13 imaging 18:24 immediately 53:24 70:8 75:6 83:7 92:22 impact 42:6 92:25	95:1 98:3 impacted 95:2,6,13 implement 50:6 implications 73:25 important 7:18 9:17 20:4,7 29:22 55:9 65:17 69:7 101:20 importantly 67:6 imposition 86:4 impossible 106:17 impression 81:19 improve 102:14 improvements 22:19 26:18 in-house 24:10 inadvertent 67:16 Inc.'s 6:4 incentive 85:19 include 13:5 25:18 38:8 76:12 77:7 included 76:19 includes 29:4 108:23 including 12:17 inclusive 13:22 incorporate 46:23 52:8 incorrectly 7:22 67:9 increase 79:19 106:14 increased 76:5 increasing 75:22 incredibly 81:15 106:7 independent 70:23 indicated 111:10 indicating 67:22 indirect 43:4 indirectly 44:7 47:3 individual 46:9 63:10 industry 14:13 20:20 81:13 89:10 infectious 30:8 76:13 92:24,25 93:7,14
--	--	--	--	---

For The Record, Inc.

(301) 870-8025 - www.ftrinc.net - (800) 921-5555

Song

Illumina, Inc. and Grail, Inc.

3/24/2021

[120]

influenced 42:10	56:23 57:24 58:23	invest 27:4 45:3	iterations 32:21	7:24 8:3,24 9:2,3
inform 53:16	59:10 90:10 95:9	48:4,7,17 52:22	79:15	9:15,17 13:1,7,9
informatics 23:3	95:23,25	55:23		13:16,21,22 14:9
information 16:24	instrument's 37:6	invested 11:2 41:25	J	14:17 15:2,14,23
17:13 63:14 64:8	instruments 12:25	investigational 1:12	January 73:22	16:5,13,20,24 17:4
65:14 70:4 72:20	30:1 34:12 37:8,9	1:17 7:6	Jay 65:6 70:10,20	17:4,7,8,10,20
72:21 76:15,17	39:12,15 43:3	investing 39:24 40:3	71:5,21 72:9 73:7	18:15 19:15,15,19
108:24	45:11 65:4 71:14	investment 26:22	73:25 74:3,5,6,25	20:8,11,12,15 21:5
infringe 42:1	integral 82:1	40:6 47:15,19,22	75:7,10,19 81:24	21:6,9,20,24 22:9
infringement 58:10	integrated 24:8	53:7 54:1,9 55:22	82:10 97:15,17	22:12,18 23:6,7,10
97:11,25 107:20	intellectual 39:19,24	56:4 61:9,13	Jay's 82:9	23:11,16,19,21,21
infringing 41:18	40:2,4,5,16 41:4	investor 18:11,12	job 17:12	24:1,15,16,18 25:2
43:19,20	41:10 49:24 51:13	45:5 64:16,25 65:8	John 74:3	25:13,20 26:1,3,6
initial 15:11 22:10	51:15 54:15	65:10,16 71:2	joined 10:24 11:14	26:21 27:2,3,6,7
63:6 78:19	intend 103:17	investors 18:6,7,10	11:18 12:1	28:5,12,14,15 29:5
initially 68:18 78:19	intended 20:21	18:14 39:22,22	JP 73:22 74:2 75:5	29:11 30:9,18,20
ink 75:2	intends 103:16	40:1 41:25 44:23	82:11 83:7 91:9	30:22,23 31:13,17
innovate 65:21	intent 33:20	45:2,5,6 74:8	102:6	31:21,23,24 32:5,7
innovated 82:5	interact 18:9	98:10,21	June 11:24 12:1	33:7,20 34:24 35:6
innovating 82:6	interaction 18:6	Invitae 31:14		35:8,15 37:10,12
86:5	interactions 17:17	101:15 102:25	K	38:16 39:11,16
innovation 80:20	33:25 36:13 37:1	103:11,11	K 2:15	40:17,18,19,23
input 17:10,13 28:6	interest 31:14 32:22	involve 6:24 12:6,12	Karius 31:16	41:5,23,24 42:21
32:24 34:10 56:18	73:19 74:11	involved 6:16,17	keep 84:4 86:19	42:25 43:3,4,5,8
insert 51:5	100:10,23 102:13	9:17 16:4 18:13	Ken 3:4 4:9 5:5	43:17,17,19,21
insertion 67:19	103:13 105:2	23:2,7 33:24 36:3	73:13 111:17	44:6,7,12,13,15
inside 17:9 74:21	interested 31:6	36:4,12,15,25	112:1	45:6,13,18 46:6,17
84:16	68:17 103:19	59:19 64:16 104:4	killed 97:14 99:6,9	46:24 47:3 48:3
insinuating 43:23	104:19 105:9,12	involvement 18:14	kind 9:14 28:25	49:1,8,9 51:17,17
installed 65:3	110:9	27:23 35:23 36:17	29:15,18,22 30:5	52:2,6,24,25 53:18
instance 40:7 54:3	interesting 26:10	37:16	30:14 35:3 36:17	54:9 55:13 56:17
62:6 77:15	47:2 70:21 73:16	involves 30:18 39:21	42:14 44:13,13,16	57:3,4,14,21,24
instances 32:7	97:22 102:11,21	67:19	45:16 55:20 56:12	58:3,9,13,13,15,16
103:21	106:6	Ion 55:6 90:3	58:18 65:14 68:11	59:1,18 60:22
instantaneously	interestingly 102:2	IP 40:17 41:14,19	82:2 84:19 86:12	62:20 63:4,11,13
31:1	interfere 48:14	42:1,5 44:18 45:1	86:15 93:4,17 94:6	64:8 65:10 67:20
Institute 10:4	internal 10:16 33:11	45:2 49:1 51:16,18	99:1 101:1,1	68:15 69:18 70:8
institutions 29:4	internally 16:7 42:9	89:7,8,21 103:25	102:17 104:14	70:19,24 71:1,10
instrument 15:9	91:13	IP-related 7:1	kit 15:6 34:19,21	71:12,13,15,21
19:22 20:2,4 24:5	international 88:13	IPO 96:25 97:14	35:1,11,15,16,18	73:13,17 74:21
25:23 29:22 30:4	interrogation 18:23	98:4,11	35:22,24 36:24	75:3 76:10 77:4,12
32:17,20 33:5 34:8	intervene 75:17	isolate 66:23	37:3,4 55:24 57:15	77:18,21 78:8 79:9
34:15,18,19,21,22	intimidating 40:23	issue 17:23 50:7,7	kits 15:22 33:6 46:8	79:11,12,17,23
34:25 35:2,11,17	intimidation 42:17	51:20,21 54:2,3	47:19 54:22,23	80:1,12,23 81:8
36:3,14 37:5,11	introduce 4:18	67:3 75:20 84:2	55:4,8,16 57:19,23	82:23,24,24 83:3
51:23 52:5,15	invasive 67:14,16	107:14	knew 79:11 86:7	83:17,18 84:1,9,12
54:13 55:1,9 56:3	68:3,5,7 87:23	issues 54:15 56:25	know 5:20,23 6:7	85:5,15,16 86:3,7

For The Record, Inc.

(301) 870-8025 - www.ftrinc.net - (800) 921-5555

PX7071-037

Song

Illumina, Inc. and Grail, Inc.

3/24/2021

[121]

86:11,22 87:1,10 87:12 88:7,8,9,24 88:25 89:7,7,13 91:6,7,19,25 93:9 93:14,19 95:10,18 96:2,14,17,19 97:18 98:12,13 99:4 100:5,20,21 100:21 102:7,16 103:3,4,20,22,23 104:20 105:25 106:19,21 107:20 108:5,12 knowing 46:12 knowledge 20:6 39:14 43:10 54:16 58:25 60:11 72:23 77:7 known 41:6 43:5 knows 45:8	largest 83:15 late 23:22 63:22 late-stage 24:1 later-stage 28:19 launch 20:1 21:6 22:10,19 25:6,24 28:4,9,13,21 29:23 32:20 52:24 55:1 72:17 96:25 launched 21:19 64:21 68:10 69:13 70:22 85:8 92:17 launches 52:15,20 54:24 launching 25:23 55:9 law 110:5 lawsuit 97:11 98:1 107:19 lawsuits 41:7 42:18 lawyers 44:12 leader 98:20 leadership 104:16 leading 98:15,20 leads 92:12 led 75:20 87:18 left 10:15,24 11:16 11:25 legitimate 89:4 102:13 lend 26:17 28:19 length 15:16 let's 37:19,19 62:6 63:19 80:21 101:6 letter 97:3,4 level 12:22 25:8 34:1 47:19,21 54:8 56:4 61:13 80:9,13,16 82:8 83:2 93:8 99:6,11,24 leveling 81:5 levels 65:9 80:17 leveraging 83:20 liable 43:20 libraries 53:19 61:11 library 45:20 46:8	46:15 53:8 57:15 57:22 58:6 61:19 62:1,2,3,10,23 license 57:9,16 58:1 80:22 86:25 87:4 88:5,22 lie 36:7 life 12:23,25 90:3 liked 85:22 limited 20:9 93:17 line 9:21 22:13 47:20 81:20 112:7 lines 78:2 list 29:10 literally 44:17,18 55:13 76:14 89:19 89:22 91:12 litigation 6:17 42:5 43:6 45:1,2 57:3 88:24 litigious 40:9 89:14 litigiousness 40:12 little 7:5 11:16 14:1 30:15 40:11 59:3 59:25 62:11 64:17 65:25 77:16 84:17 92:4 101:24 104:1 106:23 Litvak 2:13 4:20,20 105:16 108:20 lived 108:10 located 13:13 location 8:15 lock 32:24 locked 58:20 86:12 locking 23:18 log 20:23,23 21:3,8 long 60:11 61:22 67:22 79:3 long-read 38:18,19 38:22 long-term 71:16 longer 38:25 74:14 90:10 look 15:2 41:14 44:10 55:6 64:2 73:23 74:7 75:13	93:12 98:2,16,17 99:20 101:4 looked 5:21 19:2 looking 5:20 16:13 16:14 22:18 24:9 27:8 41:12 66:13 92:23 98:25 99:3 99:13,21 100:14 100:22 102:9,22 looks 20:24 71:12 loose 24:14 loss 67:16 68:4 87:18,25 lost 84:2 lot 19:8 23:10 32:16 41:7,12 46:14 50:6 52:9 58:14 63:1 65:12 66:19 76:17 79:24 80:21 83:21 87:12 90:18 105:25 lots 32:17,18 love 71:11 low 22:8 76:17 lower 69:22,24,25 81:17 85:3 86:14 87:7 Lusk 2:22	management 10:13 17:3,25 65:6 84:7 manufacturer 34:10 34:25 35:17 36:4,8 43:6 54:13 manufacturers 47:5 47:17 53:8 manufacturing 32:19 36:6,15 37:2 47:20 march 1:10 29:9 100:9 110:11 112:2 market 38:12 39:8 39:13 44:22 45:18 54:11 55:7 69:13 69:17 85:4 95:1 107:1 marketed 68:18 marketing 69:5 marketplace 7:2 26:25 40:10,14 44:19 48:9 52:7 70:1 89:20 106:23 107:24 markets 88:13 Massachusetts 10:3 material 14:10 17:21 97:9,25 materially 60:5 maternal 64:5 maternal-fetal 11:12 30:9 matter 1:5,16 6:14 52:4 matters 6:24 16:25 20:8 37:17 maximizing 77:18 McKinsey 10:13,15 mean 8:24,25,25 9:7 16:10 17:23 19:14 21:1,14 23:6 30:16 33:19 34:14 36:3 37:4 38:5 40:12 44:10 46:4 48:3 49:6,7 51:25 52:16 54:12 56:9,14,24
L				
lab 29:21 LabCo 83:22 88:11 LabCorp 83:16,20 83:25 84:5,8,9,11 88:9 laboratories 29:18 29:18,20,25 30:3,6 32:10 48:20 95:5 104:8 laboratory 20:10,14 34:7,24 35:8,14 49:3 53:4 54:16 59:21 65:3 68:1 90:5 91:23 97:7 106:3 labs 31:9,20 33:6,8,9 46:11 95:22 lack 55:16 landscape 27:9 28:17 57:21 lane 106:6 laptop 8:21 large 19:23 20:10 40:17 44:21 67:19 larger 22:8,9,21				
			M	
			M.D 10:7,11,12 machine 15:7 16:6 27:4 45:23 46:2,25 104:21 machinery 16:3 machines 24:10 magnitude 79:20 main 84:2 maintain 40:21 maintained 86:16 major 10:5 majority 71:23 making 12:25 16:21 30:12,12 57:23 88:14 male 64:9 man 35:16	

For The Record, Inc.

(301) 870-8025 - www.ftrinc.net - (800) 921-5555

PX7071-038

Song

Illumina, Inc. and Grail, Inc.

3/24/2021

[122]

57:5 58:3 60:2 67:21 69:5 70:8 72:23 76:9 80:10 80:17 83:5 86:2 91:23,24 101:3 104:14 106:20 108:7 meaning 14:14 means 12:24 14:17 62:3 meant 67:8 81:5 106:5 measure 20:24 measured 14:23,24 mechanics 23:3 medical 10:6,7,21 72:8 medicine 10:16,17 10:24 108:6 meet 17:15 18:3 25:5 60:24 64:14 73:24 meeting 17:18 71:4 71:5,9,19 72:10 74:1 75:5 83:7 91:10 97:15 meetings 16:23 17:7 18:4 65:5 71:20 72:3,5,8,12,25 member 16:20 members 17:9 18:1 memory 106:6 mentioned 12:6,12 15:19 22:16 29:16 30:11 32:2,10 33:22 35:22 39:20 51:13 59:16,25 62:1,24 63:19 71:4 73:2 76:8 88:4 92:6 93:22 95:22 101:11,12 104:15 merit 41:3 42:19 messages 9:18 met 84:6 102:6 Metacrine 11:18,25 method 50:2 76:1 methodology 95:15	methods 95:17 metric 20:19 21:17 microarray 94:25 microarrays 94:3 95:8 mid 14:13 22:11 mid/high 22:11 Mike 64:12 million 13:21,25 14:1,6 15:13 25:21 26:6,22 27:3 85:9 85:10 98:13 mind 8:1 33:13 40:11 101:13 mindful 30:17 minimal 34:10 missing 101:19 Mitchell 64:12,13 64:14 Mitchells 64:12 mix 50:25 63:2 mixture 62:21 modality 90:12,13 models 79:24 modifications 26:12 37:7 modified 37:13 modify 6:8 59:2 79:2 modifying 54:1 module 23:9 Moehle 82:14,15 97:3 molecular 11:9 mom 67:21 moniker 29:25 month 12:1 91:10 months 11:17 28:15 29:14 75:21 92:1 97:16 98:14 Morgan 73:22 74:2 75:5 82:11 83:7 91:10 102:6 morning 4:14 mother's 64:4 move 51:22 90:12 104:3	moved 10:25 11:17 90:14 93:3 95:4 moving 24:2 31:8 multiple 55:8,14 65:5,9 98:10 101:4 multiples 85:11 multiply 15:17 multitude 19:2 21:15 mutation 99:22 100:19 mutations 78:5,8 100:3 <hr/> N <hr/> N 3:1 4:1 Naclerio 72:4 74:3 75:6 82:9 Naegle 2:4 3:5 4:13 4:15 5:1,2 12:19 14:22 37:18,23 101:6,9 105:19,21 108:14 109:2 name 4:15 5:3 29:25 31:11 102:5 narrative 98:7 narrow 74:22 NASDAQ 97:1 Natera 31:15 85:22 86:11 98:17 101:15 103:8,15 103:15 nature 48:23 necessarily 16:12 57:15 necessary 7:17 26:13 46:5 111:7 need 7:8 8:2 9:16 15:24 19:16,16,20 20:14 22:20 25:2 26:7 32:18 33:10 37:10,13,15 43:25 44:8 46:12,22 47:11,12,13,22 48:3,17 49:4,23 50:2 51:1 53:21 54:7,17 56:6,18	58:1,9 59:10 60:1 60:4 61:20 62:14 62:18 63:12,14 70:15,17 75:9,10 77:5 99:20 needed 9:2 27:1 34:24 37:8 61:3,11 70:13 90:6,11 93:8 needing 87:17 96:1 needle 67:20,22 needs 32:21 44:5 103:6 107:2 negotiate 77:6 negotiated 74:18 negotiating 72:24 negotiations 91:17 nervous 59:6,13 75:2 106:11 net 87:9 NET-Seq 22:13 never 81:1,1 96:21 new 47:13 52:22 96:24 newborn 92:14 newer 95:19 next-generation 31:6 38:6 39:19 NGS 38:7 44:22 90:1 niche 39:2 Nick 2:5 4:24 72:4 74:3,3,5 75:6 81:7 82:9,12 83:5 night 73:5 NIPT 44:3 64:20,24 66:1,2,22 68:11 69:8 71:12 73:1 78:3 80:12 82:21 84:11 85:3,6,25 86:23 87:10 88:17 96:3,3,6 98:15 108:3 nod 7:11 non-RUO 34:12,14 noninvasive 63:23 92:16 nonsequencing-ba...	43:15 norm 104:9 normal 67:15 87:19 87:24 normally 99:22 North 84:6 notarial 110:10 Notary 110:15 note 112:4 notice 1:17 nucleotide 19:21 number 13:17 14:24 20:25 21:9 28:14 45:2 78:1,6 84:17 93:17 numbers 15:17 78:7 78:21 93:13 <hr/> O <hr/> O 4:1 oath 5:16 7:21 observations 106:2 obtained 72:21 obtaining 56:22 obviously 9:1 13:22 14:4 15:21 17:2 18:11 19:15 27:25 29:2,14 37:12 47:20 54:14 57:10 57:19 66:18 69:18 74:8,12 75:23 79:19 85:2 96:14 97:13 107:9,11 occur 25:4 occurring 100:3 occurs 18:23 offer 22:1 31:21 69:3,25 76:3,17 85:25 87:7 offered 22:5 offering 29:21 33:12 63:25 69:7,8 74:16 78:12 81:16 86:23 95:4,14 offers 22:6 officer 11:5,21 72:8 110:2
---	--	--	---	---

For The Record, Inc.

(301) 870-8025 - www.ftrinc.net - (800) 921-5555

PX7071-039

Song

Illumina, Inc. and Grail, Inc.

3/24/2021

[123]

<p>oh 12:14 49:13 52:24 57:18,25 82:12 91:25 95:18 okay 9:20 63:16 77:15 94:16 105:13 older 68:12 oligo 47:20 Omniome 2:12,21 4:21,23 5:9 11:24 12:4,5,7,11,21,23 13:3,11,12,13,15 13:18 14:2,7 15:8 15:18 16:8 18:18 18:25 19:2,2,6,12 19:23 20:17 21:11 21:22 22:4,15,23 24:4,22 25:10,14 25:22 27:16,21 28:8,22 29:7 30:11 30:14 31:2,9 32:11 33:2,13,16 34:3 36:3,8,12,23,25,25 37:24 38:3,10,13 38:20 39:9 41:9,20 45:3,9,10 46:21 48:20 49:5,12 51:21 52:3,13,13 52:18 53:13 55:16 56:6,7,12,21 59:17 61:10 101:11,12 103:12,16 104:23 105:1 Omniome's 16:18 17:15 18:6,9,17 23:25 27:20 33:18 35:23 37:2 39:22 39:22,23 40:2 42:6 44:23 51:23 53:3 53:22 54:24 101:25 102:24 103:7,16,17 104:10,17 105:5 108:24 once 6:13 39:12 52:23 81:24 88:12 93:3 99:5,5</p>	<p>oncology 98:17,24 107:13 oncology-type 30:8 103:20 ones 51:25 69:14 78:11 79:16 ongoing 6:23 open 52:11 87:4 102:21 107:9 opening 107:14 operate 24:25 40:25 41:10 operated 106:3 operating 29:21 operation 25:9 operationally 50:9 opposite 49:22 opt 41:24 optics 23:3,9 optimistic 28:2 optimization 19:8 option 9:2 43:15 options 52:11 orally 7:9 order 21:3 46:21 47:23 49:4 51:3 53:1 56:19 79:20 92:7 ordered 64:2 original 63:5 111:7 outcome 110:9 output 15:4,12 22:22 outside 18:4 41:14 83:20 85:11 88:11 overall 19:10 22:1 27:9 57:2 65:18 85:21 86:3 overlap 39:3 overly 28:2 overview 10:10 owns 51:14,16,18</p> <hr/> <p style="text-align: center;">P</p> <hr/> <p>P 4:1 P-5/P 57:7 P-5/P-5 54:14</p>	<p>P-5/P-7 46:1,12,19 46:23,25 47:6,12 49:2 52:6 54:2,3,4 57:8 58:1 61:3,4 61:14,15 104:6 107:6 p.m 109:4 Pacific 37:20 38:13 38:16 PAGE 3:12 112:7 paid 83:10 painful 107:10 pairs 15:16 pan-cancer 101:4 paper 75:3 111:7 Paradigm 103:23 107:12 part 11:14,15 14:4 15:22 29:10 30:18 46:16 50:21 53:7 55:5 60:3 63:6 particular 40:7 particularly 7:18 107:13 parties 19:12,14,17 110:8,9 partner 65:10 75:17 105:13 partners 88:10,14 partnership 83:16 parts 100:3 party 24:25 25:2 36:9 passed 82:13 patent 43:18 58:11 80:22 97:11,12,25 patent-related 6:25 patents 41:13,16 47:1 80:21,23,25 89:1 path 94:9 patient 63:3 68:23 69:8 patients 68:11 74:16 108:6 pause 45:5 97:10 paused 92:6,8</p>	<p>pay 80:24 83:8 88:1 paying 80:19 94:15 PCR 30:19 55:3 99:17,20,22,23 100:1,5,5,11 penalty 111:9 pending 8:4 26:16 26:23 people 15:2,3,25 16:5 24:17 26:2 35:19 38:7,21 44:19 49:18 50:18 57:7 63:2 65:12 66:8,23 70:16 71:11 72:23 82:14 86:5 89:14 91:5 95:8 106:1,16 per-test 79:23 perceived 40:14 percent 41:22 44:6 67:5,7 68:4 perform 14:16 79:14 performance 90:11 period 15:6 26:14 32:23 perjury 111:9 person 72:1,2,6 personal 43:10 60:11 65:8 personally 60:13 110:3 persons 4:18 perspective 25:20 42:9 56:18 phase 95:7 phenomenal 91:6 phone 9:7 phrase 80:15 physically 13:6 physicians 69:6 pick 9:9 84:21 picked 67:4 picking 84:24 piece 13:6 pinpoint 22:25 pivot 30:24</p>	<p>pivoted 91:16 place 17:3 70:9 110:4 placing 47:5 plan 27:20 45:10 56:10 planned 70:6 98:3 plans 22:15,17 27:24 32:13 65:6 72:17,18 85:25 95:2 96:10 98:16 98:24 platform 53:5 60:15 60:15 61:8,24 81:4 86:17 98:12 103:14 106:10 platforms 12:18 players 86:14,23 playing 80:9,13,16 80:17 81:6 82:8 83:1,2 please 5:3 6:7 7:8,24 10:1,10 12:9,21 112:4 point 7:21 8:2,16 9:21 11:14 21:22 21:25 26:7 42:3 58:11 76:6 91:20 94:5 97:8 99:22 101:23 102:18 108:21 poked 67:23 pole 98:14 polymerase 99:16 popped 70:14,19 population 68:22 portion 19:19 37:11 92:11 portions 23:4 pose 50:11 67:15 posed 104:1 posing 50:10 position 11:4 16:18 39:21 89:19 98:14 105:10 108:9 positive 68:14 possibilities 99:15</p>
---	---	--	---	---

For The Record, Inc.

(301) 870-8025 - www.ftrinc.net - (800) 921-5555

Song

Illumina, Inc. and Grail, Inc.

3/24/2021

[124]

possibility 33:22 43:23	president 11:2,20,25 12:2	40:7 42:11 49:1 51:11,24 52:1,11 56:15 60:25 71:7 78:10 81:22 85:6 87:12 101:18 105:25 108:4	92:18 99:8	published 41:19 95:16
possible 22:22 26:20 26:23 28:14 33:19 34:5 54:12 58:5 65:22 84:14 93:4,6 93:20 106:12	press 73:8 97:1,13 97:23	probes 90:18 93:18	projections 27:22	pull 98:7
possibly 30:12	pretty 12:15 17:8 19:10 22:6 31:14 35:4 41:5 44:21 47:6 61:8,17,22 65:20 67:21 71:6 83:5 85:12 92:12 94:5,8 97:17,18 105:7 108:10	problem 48:10 52:5 74:25	projects 92:7,8,23 93:5	punishing 80:19 82:6
post 83:7 87:13 88:2	prevented 68:6 86:13	problems 48:18	promoting 85:2	purchase 26:14 43:8 54:19 77:3
posturing 89:21	previously 63:20 65:13	procedure 67:14,16 68:5	promotions 17:24	purchaser 55:14
potential 28:22 29:17 31:10,24 39:3 42:17 43:5 54:15 107:9,20	price 21:22,25 69:7 70:1 76:6 77:12 79:3,5 86:19,21 87:25 94:23	proceedings 110:7	proper 17:3	purchasing 77:17 80:4
potentially 13:1 21:18 22:20 29:5 43:7,20 78:5	price-competitive 22:2	process 19:7 30:18 32:25 33:10 34:8 34:13,17 36:1 47:9 50:12 54:5 55:3 60:4,12 62:20 90:24 91:21,24	property 39:19,24 40:3,4,5,16 41:4 41:11 49:24 51:14 51:15 54:15	purely 42:19
power 58:4 81:14 93:13	pricing 68:20 69:16 74:17,23 77:10 97:2,24	processes 30:4 48:7	proposed 6:4	purified 62:20
PowerPoint 104:12	primarily 29:20	produce 25:8	proprietary 42:1	purify 62:7
practically 56:25	primary 78:10 105:2	produced 24:4	prospect 42:5	purifying 62:6
practiced 51:19	primer 46:16 47:6 47:11,19,21 48:15 48:16 49:7 50:19 50:23 52:22	product 11:12 15:11 16:12 22:13 23:16 23:20,23 24:2,16 25:6 27:2,12 28:11 28:20 33:9,12 38:5 59:22 65:19 70:24 72:17 93:2	prospective 43:16	purpose 71:9 76:22
practices 86:18	primers 36:5 45:21 46:9,19,22 47:16 48:25 49:19 52:8 53:1,10 55:12 61:11,15,16 62:18 62:22 63:7 100:9	production 36:4,7 47:22	protected 107:17	purposefully 69:23
practitioner 4:23	prior 6:16,17,19,20 43:12 45:19 73:8 102:4,7	products 7:2 14:7 15:18 16:8,10,15 41:17 43:9 44:1 46:14,15 52:19 53:4,6 57:20 59:22 71:17 76:24 77:1	protection 43:18	pursuant 1:17 5:13
predated 27:23 66:9	principles 19:10	Progenity 88:7,8	protein 68:15	pursue 34:6
predecessor 69:13	priorly 6:16	program 30:22 92:15	protein-based 67:3	pursuing 32:11 33:14,17 34:2
predict 30:16	private 84:17	progress 72:15	prototype 24:3,4,10 24:19,22,23 25:11 25:12	put 45:21 47:13 50:22 51:25,25 58:1 62:10,16,18 63:3,7 79:4,5 89:16 93:1 97:10 105:16 108:7
predominantly 58:18 68:12	probably 15:1 20:2 22:14 23:10,12 28:2 29:10 38:1	prohibitive 100:13	prototypes 24:6	puts 83:2
pregnancies 87:24		project 91:2,14	proud 70:18	putting 72:16
pregnancy 92:20 93:1			provide 14:12,25 15:11 17:10,13 19:15 22:11 24:8 30:24 32:9 46:8 47:18 56:12,17 64:8 65:14,22 68:6 70:4,25 74:20 94:20 95:5 103:5 107:3	<hr/> Q <hr/>
pregnant 63:23 64:1 65:24 67:20 68:19 69:1 76:14 85:9 92:24			provided 64:16 65:17 103:2	Q-30 20:25
preliminary 31:17			provider 34:25 35:9 35:14 90:1 98:15	Q-40 21:2
premed 10:5			providers 57:15 58:7	Q-45 21:17
prenatal 63:23 71:18 76:10			provides 83:1	Q-45-ish 21:7
prep 45:20			providing 27:13 29:6 58:14 64:20 64:23 82:25 92:2	Q-score 20:21,23 21:11
preparation 16:4			provision 77:8	quality 20:20
prepare 5:18			public 96:11,23 97:20,23 108:6 110:15	quarterly 17:16,18 18:4
prepared 5:22				Quest 88:9
present 4:4 71:19				question 7:15,22 8:4 12:9,11 35:5 36:6 61:25
presentations 104:13				questions 6:3 7:4,7 7:9,12,15 9:6,12 18:16 39:18 66:1 101:10

For The Record, Inc.

(301) 870-8025 - www.ftrinc.net - (800) 921-5555

PX7071-041

Song

Illumina, Inc. and Grail, Inc.

3/24/2021

[125]

<p>quicker 60:25 quickly 61:17 82:10 quite 41:7 102:19</p> <hr/> <p style="text-align: center;">R</p> <p>R 4:1 R-A-Y-Z-E-B-I-O 5:8 R&D 13:20,25 rage 95:11 99:1 raise 81:20 raised 25:19 ran 36:24 59:21 random 66:16 69:15 76:1 range 13:17 21:7 97:2 rare 99:13 Rastetter 75:15 rate 20:24 67:7 68:8 RayzeBio 5:8 12:1 re-assess 75:10 re-evaluate 75:10 reached 71:10 75:15 react 70:5 reaction 15:1 16:17 62:17 63:18 99:16 read 12:9,10 15:14 15:15 39:1,5 49:18 49:20 50:12,15 51:3,3,4,6,7,12 57:5 63:12,14,16 63:17 107:11,12 108:21 111:3 reading 9:18 49:21 90:22 100:7 readout 60:22 61:21 94:1,2 reads 15:14 51:14 ready 28:3 46:5 96:23,25 103:9 reagent 15:21 reagents 13:7 33:5 36:15 37:5,8 58:19 66:19 69:22 76:25 77:4 79:8,13 80:3 80:10,19 88:21</p>	<p>realities 69:11 reality 39:5 95:16 realize 7:21 85:20 realized 85:12,14 really 24:16 27:14 39:1 44:9,20 52:1 52:11 57:8 58:8 65:23 74:6 84:1 86:22 87:2,20 91:15,19 94:6 97:22,22 98:14 106:9,12 107:9 reap 35:15 reason 5:25 6:6 50:12 112:4,7 reasonable 25:8 107:3 reasonably 14:13 reasons 50:16 recall 77:21 82:20 83:4 receive 63:25 76:15 103:10 received 10:7 68:11 68:14 70:3 79:21 receiving 10:11,12 recess 37:22 101:8 recollect 13:17 recollection 69:17 recommended 67:13 record 4:19 5:4 7:10 7:11,13 12:10 101:7 105:15,16 105:20,21 108:8 108:16 109:1,2,3 110:7 111:4 112:6 recorded 7:7 110:6 redesign 46:22 47:11 61:2,11 reduced 45:2 reduction 86:20,21 refer 6:5 15:25 REFERENCED 3:12 refers 92:11 regarded 85:6</p>	<p>regardless 41:3 42:18 57:4,5 58:11 regards 6:15 40:16 regulatory 37:15 rejection 77:5 relate 16:25 related 9:8 15:20 39:23 51:14 58:12 107:6 110:9 relationship 64:24 73:4 94:4 103:5 106:8,23 relatively 34:20 release 27:17 29:12 73:8 97:1,13,23 released 53:3 97:1,1 releases 31:2 53:13 relevant 80:23 reliable 90:10 reliant 44:8 relies 18:23 rely 36:9 relying 19:14 59:7 remedies 89:16 remember 7:22 44:16 70:9,12,20 71:23,25 72:1,3,9 73:10 74:2,25 79:16 80:14 81:7 81:10 82:5,18,18 91:9,13 94:16 97:15 100:16,17 100:23 remind 7:20 remote 1:12,16 110:3 REMOTELY 4:5 remove 47:12 renamed 11:7 repeating 101:13 rephrase 7:16 50:14 replicate 100:11 report 64:6 78:18,20 reporter 4:6 7:8,10 14:19,21 reporting 78:16 representations</p>	<p>72:19 representative 31:19 82:3 request 8:4 requested 83:9 requests 75:19 require 34:2 49:25 53:6,18 58:18 103:21 112:4 required 5:20,23 32:16 39:23 40:2 61:1 66:17 91:1 95:8 99:7 requirements 53:17 requires 49:25 55:22,22 56:4 requiring 54:8 research 10:20,22 10:23 13:1,18 14:3 29:24 46:18 60:16 91:24 92:7 researchers 29:5 researching 16:11 reserve 108:21 residency 10:16 resources 91:3 respond 9:16 responding 9:18 response 59:3 responsibilities 16:19,21 rest 63:15 72:21 restricted 77:2 result 66:18 68:14 87:15 88:1 results 60:5 61:22 returned 10:15 revalidate 60:1 revenue 41:5 77:18 80:18 85:12 reversion 28:19 revert 27:1 review 108:21 reviewed 5:19 richness 76:15 right 7:25 14:18 15:6 31:24 33:8</p>	<p>35:10 36:21 39:17 41:6 42:8 44:1 46:3 48:3,25 50:5 52:3 53:1,2,17 54:8 55:5 57:6,11 57:12,16 59:14 61:7 62:4,9,18 67:24 68:24,25 69:5 72:11 73:21 73:22 77:5,12 81:12 83:2 84:21 84:25 89:5 92:19 94:8,23,23 95:24 96:3,18 97:6 98:13 98:18,20 100:24 101:17 104:4,8,16 106:12 107:4,18 108:21 rightfully 28:1 ring 9:7 risk 67:10,15 68:4 68:13 87:24 91:4 93:23,24 106:15 RNA 14:11 road 96:25 97:24 robust 95:16 Roche 6:22,22 11:13 11:14,15,16 48:4 96:10 98:8 100:22 100:22 role 11:24 18:5 room 8:18 9:4 20:16 71:24 72:1,10,11 84:16 roughly 20:2 25:21 row 108:11 rule 104:7 rules 112:4 run 14:16,17 15:5 17:12 25:3 26:15 32:8 33:17 35:4 45:11 46:2 48:18 49:4 52:19 53:4,17 53:22 54:20 55:15 56:20 61:18 63:4 85:17 88:23 95:5 103:2</p>
--	--	--	---	---

For The Record, Inc.

(301) 870-8025 - www.ftrinc.net - (800) 921-5555

Song

Illumina, Inc. and Grail, Inc.

3/24/2021

[126]

running 14:18,19 53:25 59:8 60:1 80:2	screening 68:14 92:24 93:7	99:12,25	52:4 53:8,11 55:15	shifted 80:20
runs 54:18 56:7	se 78:6	sent 73:23 75:5	56:16 57:15,19,22	shifting 18:16 45:9 65:25
RUO 29:24,25 30:1 32:20 34:8	seal 110:10	79:24 104:22	58:2,6,14 59:22	shocked 69:19
<hr/>	seat 108:11	separate 9:3 18:3	60:21,23 61:19,24	short 37:19 100:7,9 101:6
<hr/>	second 25:13 49:18	33:10 77:6 84:1	62:9,14,17,23 63:3	short-read 38:21
<hr/>	49:20 50:12,15	95:9 111:7	63:18 66:16,18,19	shorter 39:5
<hr/>	51:3,6,11,14 57:5	sequence 11:11 14:9	69:22 70:4,13	shortly 26:21 78:17
<hr/>	63:17 95:7,23	15:15,24 39:3 46:6	71:12 76:1 77:3	shotgun 66:15 69:14
<hr/>	107:11	49:18 50:20,21	79:13 80:3 82:1	show 96:25 97:24
<hr/>	second-read 50:7	51:5 62:9 63:9,13	86:6 93:20 94:1	showed 70:13 71:5
<hr/>	51:16,18,21 52:8	sequence-specific	95:10,18 98:12	side 32:19 61:17,18
<hr/>	63:11 103:24	93:18	99:5,7 100:2,7	signal 90:21,22
<hr/>	secondary 57:1	sequenced 16:2 21:4	103:6,24,24 106:4	signals 93:16
<hr/>	67:16 68:4	63:9	106:4,25 108:11	signature 111:10
<hr/>	secrets 108:25	sequencer 14:8	Sequenom 66:10	signed 32:3,5
<hr/>	securing 47:15	15:19,20,23 16:5	85:22 86:9	significant 12:15 92:12
<hr/>	see 26:24,24 35:1	18:17,19 19:1,4,13	Sequome 69:15	similar 7:2 19:11 22:12,14 81:9
<hr/>	41:16 50:22 53:9	19:23 20:7,18	78:16 85:4	simple 50:8 75:12
<hr/>	61:6 73:18,18	21:23 22:4,24 23:2	serum 67:2 68:15	simpler 61:5
<hr/>	101:19 106:19	23:25 25:15 27:23	serve 5:8 12:2 42:19	simplest 18:21 20:22
<hr/>	107:23 108:3,18	28:9,23 30:12 31:3	76:22	single 35:20 44:25
<hr/>	seed 64:17	32:12 33:18 36:25	served 11:8	47:7 63:3 69:1
<hr/>	seeing 86:20	38:6 42:6 46:22	serves 18:12	71:22 76:14 78:4,5
<hr/>	seek 32:17	48:6,9 49:5 52:2,3	service 12:3 59:3	84:23 91:1 99:21
<hr/>	seen 59:14 97:16	52:19 53:3,13,22	107:2	single-molecule
<hr/>	seismic 20:15	53:24 54:10,10,17	services 29:6	62:13
<hr/>	selecting 29:7	54:24 56:8,20 62:8	servicing 45:10	sister 64:13
<hr/>	self-circulating	84:12 90:2 93:3	set 15:24 21:24	sit 18:7 73:24
<hr/>	98:25	96:5,16 103:17,18	68:23 69:8 110:4	site 104:20
<hr/>	sell 43:14 57:18	sequencers 22:3,5,7	setting 100:6	situation 35:13 91:20
<hr/>	77:11	22:16 62:19 70:15	settings 21:16,19,20	situations 43:13
<hr/>	selling 39:11,14 43:3	70:17 107:13	settle 21:25	six 11:17 97:23 98:13 106:24
<hr/>	59:22	sequences 18:24	settled 19:4 94:16	size 19:22 20:4,6 60:7
<hr/>	sells 53:1	48:14 90:19	sex 78:9,12,18,23	sizeable 85:12
<hr/>	send 32:8 67:25	sequencing 12:6,13	79:1	skin 67:23
<hr/>	88:16	12:16,17 13:5,5,9	shake 7:11	slide 62:16 70:13 71:5
<hr/>	sending 24:24	14:16,16,25 15:5,7	share 45:18 55:7	slides 79:12
<hr/>	104:25	16:14,17 18:21	71:14,16 82:1,17	slightly 37:14 45:9
<hr/>	senior 17:5,22,25	19:3,6,9 21:1	85:4 95:1 105:23	
<hr/>	65:6 70:11 84:7	22:21 25:3 26:25	shared 16:24 17:13	
<hr/>	104:16	27:6,9 28:16 29:3	65:18,20 70:10	
<hr/>	sense 79:7 80:4	31:7,23 36:11	79:11 95:17	
<hr/>	81:11 84:23	38:23,24 39:8,20	shareholders 16:25	
<hr/>	sensible 88:20	40:22 43:25 45:19	sheet 111:7 112:4	
<hr/>	sensitive 108:24	45:20,25 46:3,8,15	shenanigans 88:1	
<hr/>	sensitivity 93:8 99:7	47:8,25 49:15,16	shift 39:18 60:20	
<hr/>		49:16 50:9 51:9,10	91:2 96:17	

For The Record, Inc.

(301) 870-8025 - www.ftrinc.net - (800) 921-5555

PX7071-043

Song

Illumina, Inc. and Grail, Inc.

3/24/2021

[127]

slower 59:3 90:9	space 20:9,11 32:20	39:15	subsystems 23:5	56:1 59:9 60:14
small 22:7 23:7	40:22 71:18 86:24	status 23:24 32:1	25:7	81:4 92:8 94:25
84:16	96:1 108:3	102:24 103:7	subtle 43:4	switched 61:23
smaller 42:21 49:10	Spain 83:22	104:10,17 105:5	success 87:11	86:16 94:3,11
93:15	speak 7:9,13 33:21	stay 41:18 42:8	successful 44:11	switching 55:23
smart 44:11	41:23 43:12 59:19	98:12	53:12 73:18 85:7	61:16 90:1,24
snap 59:9	speaking 59:20	stayed 11:15	85:15	91:21 93:23
sneaky 97:17	60:13 88:13	Stebinger 2:5 4:24	successfully 56:20	106:15 107:9
society 87:25	specialized 20:15	4:24	succumbed 94:14	switchover 60:24
sold 13:6,8 81:22	25:3 92:21	stenographic 112:5	sudden 57:23 75:13	sworn 4:5,11 110:4
SOLiD 90:2,10	specialty 68:1	stenographically	sue 41:2 42:3 43:22	sympathetic 105:24
solo 4:22	specific 21:25 36:5	110:6	58:9	syndrome 64:7
solution 30:25 31:23	44:2 45:21,24	steps 41:20 53:18	sued 89:3,3	92:10,10
44:21 58:14 62:21	58:19 92:11	stified 87:12	sufficient 47:15	synthesis 47:21
68:6 84:12 102:9	specifically 32:22	STIPULATE 4:4	93:16	system 13:5 14:9,14
solutions 102:22	80:15 88:17 100:6	STIPULATION 4:3	sufficiently 24:24	21:5,18 22:11,19
108:12	specifications 23:17	stop 14:17 56:2	25:7	22:21 23:8 24:19
solvable 50:5	24:9 25:5	63:15 93:2	suit 6:23,24	24:22 26:3,14
somebody 58:10	specifics 5:22 84:10	stopped 92:18 94:6	suitable 90:5,7	27:13 28:3 36:11
76:25	spectrum 35:4	94:6,7 98:5	suite 2:15 8:16 22:6	43:14 45:25 46:13
somewhat 61:25	spend 13:18,20 14:5	straight 13:20	Sunday 73:11	47:1 53:11,17,20
63:19	25:23 26:7	straightforward	Sunnyvale 73:11	53:25 59:7 69:12
Song 3:4 4:9,14 5:3	spending 14:2	34:20	super 42:7 44:11,11	96:17 99:15 105:3
5:5 10:1 37:24	spent 13:24 25:14	strand 51:7	supplier 57:22	105:9
101:10 108:14	25:20	stranglehold 52:6	65:17 74:13 81:14	systems 23:18 24:8
111:17 112:1	spoken 31:13	strategic 17:10	suppliers 47:24 55:1	25:1,6 32:25
sophisticated 104:5	Sponaugle 74:4	65:17 71:2	57:13 58:2	
104:8	spread 89:4	strategy 86:4	supply 19:18 47:16	T
sophistication 55:11	spring 11:23	streamlines 52:1	47:16 58:17,21,22	tactic 42:22
sorry 12:8 14:19	stage 21:12 23:14,20	Street 2:7,15	58:25 59:2 70:8	tactics 59:12
20:6	23:22 64:15	strike 29:15 88:17	72:24 74:15 75:23	tag 49:21 50:18,19
sort 6:7 15:6,14,15	stance 97:21	structure 65:20	76:20,23 77:3,8,15	50:22 51:3 62:24
15:15 17:6 20:3,23	standard 16:20	69:24 72:17 75:25	79:17 81:2 83:10	62:25 63:1,7,8,17
21:6,19 22:12	20:14 32:5 40:5	76:2 81:12 82:21	83:12 86:8,10 87:1	tags 45:22 62:10,22
24:14 25:19,25	67:1 68:8	86:18 94:18	87:3,6 91:11 96:18	take 8:3 9:16,22
27:1,11 28:10 40:9	start 6:9 14:17	102:14 106:14	96:19 97:5,7	14:1 26:8 27:3
44:7,15 45:13 46:5	23:17,18 28:18	structures 81:9	supplying 73:17	31:1 37:19 42:12
46:16 47:2,3,5	32:25 33:12 53:25	studied 10:4	support 13:22	52:10 53:19 60:12
48:2 49:10,20	87:21	studies 60:7	sure 16:11,22 36:21	62:3 63:2 65:2
50:23 51:2 52:7	started 58:23 63:21	study 60:6	42:8 48:18 52:16	67:25 74:25 82:12
53:16 56:1 59:4	75:10,11 82:13	studying 48:17	57:9 75:17 83:5	83:25 97:22 101:6
63:15 71:11,14,16	83:24 86:5,6 91:9	stuff 44:8 77:12	89:2 91:4 93:15	taken 37:22 61:13
73:24 79:18 81:9	starting 10:2	subject 51:10 87:24	97:21 108:19	64:1 92:21 101:8
82:24,25 83:1,2	starts 57:23	subjecting 54:14	surface 90:18	110:3
88:1 90:22 93:17	state 5:3	subpoena 5:13,19	Susanne 110:2,15	talk 15:2 38:21 62:6
94:16 95:10,18	statement 82:7	subset 68:19 69:1	sustainable 76:7	80:21 95:15
98:11,16 106:19	States 37:25 39:10	substantive 7:4 61:9	switch 52:2 53:25	talked 28:10 48:20

For The Record, Inc.

(301) 870-8025 - www.ftrinc.net - (800) 921-5555

PX7071-044

Song

Illumina, Inc. and Grail, Inc.

3/24/2021

[128]

88:8,10,10 101:17 102:8 talking 32:6 36:21 69:6 74:6 88:8,9 94:7 97:19 105:25 Tandem 11:7 target 15:11 21:5 22:10 31:3,4,5 68:23 84:17 targeted 69:21 76:16 97:18 targeting 21:22 27:18 28:12 29:12 TBD 48:1 TDL 83:22 88:11 89:3 team 88:17 91:13 technical 99:14 104:12,15 106:15 technically 19:17 51:24 64:11 66:24 83:17 93:25 100:10 102:17 technologies 11:7 90:3,4 107:1 technology 10:4 12:16 14:12 32:7 34:11 38:5,17,18 38:20,20,22 39:1,5 41:15 45:14 47:25 50:9 51:18 60:23 62:13 87:1,4 88:22 94:25 95:3,19 102:18 106:4 107:12 108:11 tell 8:11 9:11 43:16 59:23 70:2 tells 76:24 template 51:8 ten 63:2,5 66:17 75:23 tenfold 69:22 tens 21:9 term 6:8 15:3 62:1 62:24 74:15 terminate 97:6 terms 14:13 16:5,21	19:21,22 23:22 24:14 27:8 28:16 48:15 52:12 59:2 77:16 80:18 90:11 test 25:12 34:19,21 34:23 35:1,2,9,11 35:18 36:5,24 59:8 63:23,25 64:21 65:22,24 66:3,9,12 67:1,9 68:11,17,18 68:18,25 69:4,23 76:3 77:22 78:3,4 78:5 79:18 80:1,3 80:6,24 81:23 82:2 82:24 83:1,13 84:23 85:3,7,17,21 85:21 86:4,19 87:7 87:21 88:5,23 90:25 92:9 93:23 94:15,17 95:6 96:4 104:21 tested 21:15 87:16 testified 4:11 testify 6:1 testifying 5:15 testimony 6:10 111:4 testing 25:10 29:6 30:2,2,3,5,8,9,9 31:21 33:12 34:7 34:25 35:8,14,15 35:16 36:1 46:18 60:3,8 67:3 74:16 76:11 77:5,13 79:14 90:5 95:14 97:7 101:12 103:10,20 104:14 105:9 tests 12:17 44:6 53:22 54:18,18,19 60:1 67:3 68:16 69:14,16 72:16 80:2 81:17 92:2 text 9:18 thank 4:14 5:1 7:3 9:10 10:9 12:20 14:21 108:25	theoretically 54:12 58:5 thereof 112:4 Therma 38:10 Thermo 38:16 39:7 55:2,5 they'd 103:19 thing 20:11 49:13 50:18 61:20 68:24 77:17 91:17 things 5:24 9:4 13:8 16:1,13 17:2,24 19:16 20:13 26:12 32:18 37:13 46:4 48:16 49:10 50:5 52:10,22 56:18 57:6 59:4,8,11,12 62:10 71:1 75:8 76:13 78:3 86:7 92:19 94:8 96:6 97:10 99:6 103:20 108:19 think 11:21 18:22 20:8,22 21:8 24:16 24:23 25:16 26:12 27:24 28:1,2 29:9 30:17 31:5,22 34:4 35:4,6,7,18 40:1,6 40:13,18,24 41:5 41:22,24 42:15 43:23 44:6,12 45:4 48:25 49:8 50:6 55:18 57:14 59:5 59:13 64:21 66:3 66:10,25 70:7,11 71:6,24 72:7,8 77:10,18,25,25 78:6 80:22 81:8,24 82:9,22,23 84:12 84:13,22 85:8,14 86:3,4,5,8,9,13,15 86:18 87:9,25 89:8 89:11,14,19 90:17 91:4,10,14,19 92:4 94:6 95:6,13 98:19 100:12 102:6,12 102:12,16 103:13	103:19 104:1,5,19 105:8 106:7,16,23 106:25 107:20,22 108:2,4,12,17,18 thinking 49:14 99:3 100:25 Thinkpad 8:21 third 19:12,14,17 21:1 24:25 25:2 36:9 third-party 47:17 thought 44:16 65:23 72:16 98:22 100:24 102:11,21 thoughts 105:23 thousand 21:2 95:25 97:8 thousands 21:10 38:24 77:14 threat 45:1,2 84:22 85:1 threaten 43:24 88:23 threatens 41:4 three 77:23 106:15 throughput 14:13 14:23,24 15:8 22:8 22:9,11,22 60:24 90:6,8 throw 62:8 thrown 79:18 thwart 42:24 thwarted 98:22 ties 65:9 time 1:18 6:20,23 7:14 8:12 11:6 14:15 15:10 19:24 23:10 26:15 31:1 32:23 37:20 41:15 59:3 65:7 66:5 67:1,5 68:10 71:25 72:9 75:16 77:19 78:13 79:8 81:16 83:14 84:20 85:5 85:23 86:6,21 90:4 109:4 110:4 timeline 33:13	timelines 28:6 32:13 times 6:12 18:4 63:1 66:17 90:9 tissue 62:4 today 4:15,18 5:13 5:16 6:1,3 51:19 53:24 54:17 55:25 73:14 106:24 107:3 today's 5:18 7:5 told 8:5 67:9 88:14 89:1 Tomita 64:13 ton 20:11 tools 12:23 top 27:4 51:7 80:3 Torrent 55:7 Torrents 90:3 total 25:17 touch 17:8 touched 56:25 trade 1:1,13 2:3,6 4:16,25 108:24 transcript 105:17 108:18,22,23 110:6 111:4,7 transfer 95:3 translated 79:9 87:16 transpire 26:24 transplant 77:4 treated 75:18 treatment 85:24 100:20 Tremain 2:14 4:21 tricky 106:22 tried 66:23 82:10 84:6 95:14 tries 9:11 tripled 94:18 trisomies 78:1 99:14 trisomy 64:7 74:22 76:12 77:22,23 trivial 47:14 true 110:7 111:4 truly 23:12 try 16:15 17:12
--	---	--	--	---

For The Record, Inc.

(301) 870-8025 - www.ftrinc.net - (800) 921-5555

PX7071-045

Song

Illumina, Inc. and Grail, Inc.

3/24/2021

[129]

27:24 34:25 40:21 42:23,24 44:19 75:17 84:14,18 88:17,18 89:22,23 91:3 92:15 99:23 100:11 105:3 trying 9:5 21:8 27:7 27:10 34:4 36:19 39:3 43:14 49:14 53:7 54:25 68:5,6 81:14 84:21 92:23 94:7,10,12 99:21 106:13,20 tube 76:14 tumor 98:25 100:15 100:18 turn 69:25 turnaround 90:9 turned 75:9 tweek 60:3 two 10:14 15:17 50:10,10 51:11,24 52:10 65:3 69:13 91:12 type 14:10 34:8 38:17 40:6 60:4,6 84:9 88:17 103:4 107:12 types 19:3 21:16 22:7 30:7 35:5 101:4 typically 38:21	ultrasound-based 68:16 undergo 67:9,13 undergraduate 10:3 understand 5:12,15 7:8,14,17 8:5 17:9 27:10 50:24 56:13 56:15 71:11 74:12 99:21 understanding 20:12 54:21 102:17 understood 9:20 86:12 undertaken 41:9 unfortunate 86:22 106:7 unfortunately 89:11 unique 108:8 United 37:25 39:10 39:15 universal 65:23 university 10:6,17 10:21 31:20 unnecessary 68:7 87:17,19,23 up-front 54:1 56:17 update 65:6 72:13 72:15 updates 17:6 70:25 70:25 upsetting 87:20 upstream 16:16 use 12:17 16:6 17:11 29:24 33:7,8,11 34:21 40:18,20 43:8,24,25 44:9,18 46:21 47:4,7 48:25 51:2 52:12 54:4 56:16 57:7,8,10,10 58:12,18,24 61:14 61:15 70:3 71:12 76:25 77:4 81:14 95:11 96:4,5 103:12,16,17 104:20 useful 12:25	user 106:3 users 12:16 52:9 104:5 uses 13:2 42:23 51:11 103:15 usually 14:24 38:22 utilize 30:1 45:20 50:2 54:9 55:2 utilized 15:7 57:20 utilizing 38:7 103:19	W wait 57:25 70:14 want 7:20 14:15 17:11 20:10 26:15 27:5,10,11 29:3 30:23 35:9,10,14 35:19 36:20 49:17 50:17,20,21,22 51:7,8 56:7,13,15 63:14 73:13,16,17 74:9 77:10 79:5 80:8,13 95:22 100:4 103:12 108:15,22 wanted 27:22 35:20 35:23 36:1,2,23 58:9 65:19 68:20 71:14,17 74:14,17 75:24 79:4 80:1,1 80:6 81:23 82:7,16 82:20 84:11 86:24 86:25 87:3 88:5 94:23 108:7 wanting 26:17 34:6 wants 51:22 warned 89:8,12 Washington 2:8,16 10:21 wasn't 54:3 72:4,25 82:3 89:14 93:4 94:9,22,23 way 9:22 15:1,12 18:22 20:22 25:16 35:20 47:2 49:22 50:17 62:15 63:4 66:20 68:2 75:9 82:22 92:16 96:22 98:6 100:9 107:17 110:9 ways 35:19 43:4 66:21 we'll 63:16 74:25 94:17 108:18 we're 7:18 9:19 14:8 15:21 16:10,13,14 16:22 18:20 22:18	24:9,19 26:1 27:8 27:18 28:11 29:12 30:17 36:21 41:15 41:17,23 42:21,25 53:7 54:25 73:16 75:1 80:10,12,18 80:18 94:1 99:20 99:21 103:9 105:12,13,15 108:2,15 109:2 we've 21:14,15,20 25:20 31:22 32:7 37:18 82:5 weapon 40:21 44:18 89:21,22 Wednesday 1:10 week 91:12 weekly 17:8 went 5:20 10:5,12 46:17 86:7 89:11 weren't 91:4 93:15 95:9 105:9 Westin 74:2 Whereupon-- 4:8 wherewithal 55:12 Whoa 73:15 widely 27:5 35:12 41:6 51:19 wider 68:23 69:8 wife 64:12 willing 45:3 47:24 71:16 106:14 wind 88:12 wit 110:1 within-named 110:3 witness 2:12 3:3 4:5 4:10,21,23 12:14 14:20 37:21 105:20,22 108:17 110:3,10 111:1 112:1 woman 69:1 76:14 102:5 womb 67:23 women 63:23 64:1 65:22,24 67:8,13 68:12,13,14,16,19
U U.S 83:19,21 84:3 85:9,10,11 88:11 UK 83:23 ultimate 31:24 60:5 ultimately 11:10,11 13:4 19:4 45:22 62:5,17 82:15 83:25 84:8 87:11 87:16,18 90:21 92:13,16 93:1 94:14 95:2 96:8,9 100:4 106:9 108:4 ultrasound 67:2		V vague 27:7 validate 30:3 59:10 validated 61:12 validation 33:11 60:4,17 value 68:25 82:4 variant 78:6 variants 78:1 varies 18:11 vary 60:8 Venrock 10:25 11:2 64:15 75:17 venture 10:25 75:16 verbally 75:19 Verinata 66:11 69:15 73:2,3,9,15 74:18 75:25 76:3 77:19 78:17 83:14 85:5,18,18 87:14 88:2 89:9,20,25 92:1 verse 38:21 versus 27:11 85:17 viable 94:9 vice 11:1 view 108:8 views 28:2 violation 88:25 virtually 9:4 106:17 vision 65:19 71:16 98:22 visions 76:11 voting 16:24		

Song

Illumina, Inc. and Grail, Inc.

3/24/2021

[130]

69:4 76:4 85:9 86:1 87:8,15 92:25 word 17:11 words 82:25 work 10:12 12:5,6 12:11,12 16:14 29:3 34:9 35:16,16 35:17 37:20 41:12 45:25 46:13,24,25 49:19 50:3 53:8,15 53:19 54:1,25 56:6 56:10,17 74:9,10 75:24 86:18 88:15 91:3 93:25 94:13 104:4 106:13 worked 60:9 working 8:11 11:19 16:7 19:12 24:12 24:19,23 25:7 55:2 58:3 66:8 89:24 92:9 102:13 works 57:17 world 107:22 worry 54:7 wouldn't 24:6 61:20 96:4 99:10 Wow 97:17 Wright 2:14 4:20 wrote 89:9	92:5 102:7 years 10:14 11:9 23:15 74:24 92:17 106:24 York 96:24	2016 11:16,18,22 2017 11:23 12:4 23:7,11 2019 23:21 202 2:9,17 2020 11:25 12:1 13:19,20,25 23:21 2021 1:10 14:3 110:11 112:2 2022 27:19 29:13 21 64:7 77:23 24 1:10 112:2 25 94:17	858 2:24
<hr/> X <hr/> X 3:1 78:20	<hr/> Z <hr/> Zoom 7:19 8:9,11,25	<hr/> 2021 1:10 14:3 110:11 112:2 2022 27:19 29:13 21 64:7 77:23 24 1:10 112:2 25 94:17	<hr/> 9 <hr/> 9:03 37:20 92121 2:23 8:17 973-4200 2:17 9880 8:16
<hr/> Y <hr/> Y 78:20 yeah 17:19 21:14 24:14 28:24 39:11 53:11 54:21 55:18 55:18 56:9,9 58:22 70:22 77:25 78:4 81:22 89:18 98:5,9 100:19 101:18,24 108:20 year 10:8 11:16 14:5 25:13 26:25 27:25 28:13,16 29:11 60:18 85:10 91:8	<hr/> 0 <hr/> 1 <hr/> 1 68:4 1/2 20:2,2,2 10 20:23,23,25 79:10,13,14 82:3 84:20 85:16 98:18 10,000 21:3,4 100 25:21 85:22 11:00 1:17 13 77:23 1301 2:15 15 79:14 82:3 150 79:18 85:23 160 13:16 18 77:23 1996 10:5	<hr/> 3 <hr/> 3 3:5 20:2,2,2 3/31/2023 110:19 30 27:3 63:12 94:17 31 110:11 326-2433 2:9 360 8:16	
	<hr/> 2 <hr/> 2,000 69:19 2,700 69:16 2:02 109:4 20 67:8 200 15:16 2000 10:8 20005 2:16 20024 2:8 2007 10:24 11:3 2009 63:22 2010 11:3,4 63:22 71:8 2010144 1:5 2011 66:4,5 71:8 2012 64:22,23 66:11 68:11 2013 13:12 2015 11:14	<hr/> 4 <hr/> 4 85:9,9 4:00 97:2 40 13:21,25,25 400 15:13 400-7th 2:7	
		<hr/> 5 <hr/> 5 67:7 5-0 14:6 50 14:6 27:3 500 2:15	
		<hr/> 6 <hr/> 60 26:6,21 600 98:13 6965 2:22	
		<hr/> 7 <hr/> 70 67:5 795 69:18	
		<hr/> 8 <hr/> 80 15:12,17 25:21 26:6,22 44:5 832-2300 2:24	

ERRATA TO DEPOSITION OF DR. KENNETH SONG (June 2, 2021)

CASE NAME: Illumina, Inc., and Grail, Inc., In the Matter of, FTC Dkt. No. 9401

CORRECTIONS

Page	Line	Now Reads	Should Read	Explanation
3	4	FOR OMNIOME	FOR OMNIOME and SONG	Mr. Litvack represented the witness
8	22-24	Do you understand that you're appearing today in response to subpoenas, a tested condom issued by the Federal Trade Commission, and the Respondents...	Do you understand that you're appearing today in response to subpoenas issued by the Federal Trade Commission and the Respondents...	Mistranscription
14	18-19	...how much to do of the engineering internally...	how much of the engineering to do internally...	Mistranscription
19	3-7	...it became understood that the necessary steps to actually get to an instrument that would be available for commercial launch was, required, you know, more integration, more work to get it to that point.	...it became understood that the necessary steps to actually get to an instrument that would be available for commercial launch required more work to get to that point.	Clarification
26	9	...I'm not really under...	...I'm not really aware...	Mistranscription
42	6	...a incorporation...	...an incorporation...	Clarification
53-54	53:24-54:5	The details of that I'm not aware of in terms of how advanced those discussions have -- have taken place. But I am aware that there have been discussions with some entity around their interest to potentially be a prototype or, you know, an alpha/beta tester in the future.	I'm not aware of the details in terms of how advanced those discussions have been. But I am aware that there have been discussions with some entities around their interest to potentially use a prototype or be an alpha/beta tester in the future.	Clarification
59	6-7	...I would have seen likely the content of this.	...I would likely have seen the content of this.	Mistranscription

Page	Line	Now Reads	Should Read	Explanation
68	15	...the challenges of sequencing.	...the challenges of that sequencing.	Clarification
70	14	...that it could be useful...	...then it could be useful...	Mistranscription
79	21-22	...compatible with the Omniome beta or the Omniome sequencing system.	...compatible with the Omniome sequencing system.	Clarification
86	5	...the costing is still TBD...	...the cost is still TBD...	Mistranscription
88	16-18	...we've had a lot of changes at their leader -- at the, you know, in terms of leadership.	...we've had lots of changes in terms of leadership.	Clarification
90	8-9	...we're talking about now our -- our 2020 -- our early 2023 system?	...we're talking about our early 2023 system?	Clarification
127	17-20	... what we might have been paying on a, on the sequencing cost.	...what we might have been paying on a per sample basis compared to the sequencing cost.	Clarification
128	16	There is multiple conversations...	There were multiple conversations...	Mistranscription
141	5	Yeah, there's more than one NGS manufacturer...	No, there's more than one NGS manufacturer...	Clarification